

CANADA

PROVINCE OF NOVA SCOTIA

IN THE MATTER OF THE
FATALITY INVESTIGATIONS ACT
S.N.S. 2001, c. 31

THE DESMOND FATALITY INQUIRY

TRANSCRIPT

HEARD BEFORE: The Honourable Judge Warren K. Zimmer

PLACE HEARD: Guysborough, Nova Scotia

DATE HEARD: February 24, 2020

COUNSEL: Allen Murray, QC, Inquiry Counsel
Shane Russell, Esq., Inquiry Counsel

Lori Ward and Melissa Grant,
Counsel for Attorney General of Canada

Glenn R. Anderson, QC, Catherine Lunn and
Adam Norton, Esq.
Counsel for Attorney General of Nova Scotia

Thomas M. Macdonald, Esq., and
Thomas Morehouse, Esq.
Counsel for Richard Borden, Thelma Borden and
Sheldon Borden
Joint Counsel for Aaliyah Desmond

Tara Miller, QC,
Counsel for Estate of Brenda Desmond
(Chantel Desmond, Personal Representative)
Joint Counsel for Aaliyah Desmond

Adam Rodgers, Esq.
Counsel for Estate of Lionel Desmond
(Cassandra Desmond, Personal Representative)

Roderick (Rory) Rogers, QC, Karen Bennett-Clayton
and Amanda Whitehead,
Counsel for Nova Scotia Health Authority

Stewart Hayne, Esq.
Counsel for Dr. Faisal Rahman and Dr. Ian Slayter

INDEX

February 24, 2020

Page

DR. PAUL SMITH

Direct Examination by Mr. Russell 6

EXHIBIT LIST

<u>Exhibit</u>	<u>Description</u>	<u>Page</u>
P-000091	Dr. Paul A.S. Smith <i>Curriculum Vitae</i>	9
P-000141	Study - Medical Cannabis Use in Military and Police Veterans Diagnosed with Post-Traumatic Stress Disorder	18
P-000140	Desmond Medical Records, Dr. Smith, 48 pages	67

1 February 24, 2020

2 COURT OPENED (09:48 HRS)

3

4 THE COURT: Mr. Russell.

5 MR. RUSSELL: Yes, Your Honour. The Inquiry counsel will
6 proceed with calling the evidence of Dr. Paul Smith.

7 THE COURT: Good morning, Dr. Smith.

8 **A.** Good morning.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

DR. PAUL SMITH, Direct Examination

1 **DR. PAUL ASHLEY STAR SMITH, sworn, testified:**

2

3 **THE COURT:** Dr. Smith, during the course of the morning
4 you're likely to be shown some documents. The documents will
5 come up on the monitor in front of you. There will also be a
6 hard copy of the various documents in the binders in front of
7 you. I think most of the documents presently are in Volume 3.
8 There's a water pitcher in front of you, serve yourself if you
9 like, and if you would like a break before I call for a break,
10 just let me know and we'll find an opportunity to pause. Thank
11 you. Mr. Russell?

12

13 **DIRECT EXAMINATION**

14

15 **MR. RUSSELL:** Good morning, Dr. Smith.

16 **A.** Good morning.

17 **Q.** Thank you for coming today. The one thing I would
18 just indicate that the sound will be picked up but if you could
19 kind of be mindful to speak up, you know, so all of us can hear.

20 **A.** Yes.

21 **THE COURT:** Maybe ...

22 **A.** I am hearing impaired. Sometimes I hear it better

DR. PAUL SMITH, Direct Examination

1 than what it seems to project as so if I ...

2 **THE COURT:** So maybe what we'll do is we'll move Dr.
3 Smith's microphone just a little closer to him, move that volume
4 out of the way. There we go. It all goes to those cords on the
5 floor that seem to get in the way of the rollers of that chair
6 as well, Dr. Smith, but feel free to roll right over them if you
7 like, you won't hurt them. Thank you.

8 **MR. RUSSELL:** Doctor, if anything this morning, if I sound
9 too low or something's unclear, let me know, I can re-ask ...

10 **A.** Thank you.

11 **Q.** ... and we can direct it that way. So, Doctor, could
12 you state your full name?

13 **A.** Dr. Paul Ashley Star Smith.

14 **Q.** And Dr. Smith, what is your occupation?

15 **A.** A medical doc, family physician.

16 **Q.** And how many years have you been a physician?

17 **A.** Forty-two years.

18 **Q.** That's a long time.

19 **A.** Yeah.

20 **Q.** And 42 years, has it always been in family practice?

21 **A.** Yes.

22 **Q.** We're going to get into the particulars of your

DR. PAUL SMITH, Direct Examination

1 current practice and how it's structured in a little bit but
2 your family practice, I guess, where is that based out of?

3 **A.** I work in outlying areas, I work in McAdam and
4 Fredericton at this time and I have an office also in Harvey.

5 **Q.** So you also have an office in Harvey so McAdam, Harvey
6 and Fredericton, being from Nova Scotia, I guess geographically
7 how close or how far away are they?

8 **A.** Within 70 kilometers of one another.

9 **Q.** Do you have more than one office? You said you had an
10 office in Harvey?

11 **A.** My main office is in Harvey. I have a satellite
12 office in Fredericton and I work as a rural physician at a
13 health care center in McAdam.

14 **Q.** In your office are you the only physician in your
15 current practice?

16 **A.** Yes.

17 **Q.** Have you spent most of your career as a solo
18 practitioner?

19 **A.** Yes.

20 **Q.** We're going to look at an exhibit, it's going to be in
21 the second binder and it's also going to be on the screen,
22 exhibit number 91.

DR. PAUL SMITH, Direct Examination

1 **EXHIBIT P-000091 - CURRICULUM VITAE - DR. PAUL A.S. SMITH**

2 **A.** Okay.

3 **Q.** If we could zoom in a little bit and scroll down. So,
4 Doctor, this is your CV, it's something you're familiar with?

5 **A.** Yes.

6 **Q.** We're going to take you through your CV, I think it's
7 important as a doctor 40-some years to see the nature of your
8 practice and your training and experience. So you would have
9 gotten your medical degree when?

10 **A.** 1978.

11 **Q.** And from where?

12 **A.** From Dalhousie University in Halifax.

13 **Q.** Below that there's a CCFP 2009 active, what is that?

14 **A.** Canadian College of Family Physicians, it's an
15 additional certificate after further training and activity and
16 examination to upgrade your original degree. So it's a family
17 physician designation.

18 **Q.** I note as well that there's a number of additional
19 certificates and training that you've kind of taken over the
20 years.

21 **A.** Yes.

22 **Q.** I'm just going through some of those very briefly.

DR. PAUL SMITH, Direct Examination

1 Some of them are very self-explanatory but others may need
2 further elaboration. ACLS and ATLS, what's that?

3 **A.** ACLS is acute cardiac life support and ATLS is acute
4 trauma life support.

5 **Q.** You have training in it says acupuncture, hypnosis,
6 nutritional analysis, environmental medicine, family dynamics,
7 short-term dynamic therapy. What is, I guess we'll start with
8 hypnosis, is this part of your current practice?

9 **A.** Yes and no. The training, *per se*, I was quite
10 interested in the communication aspects of what hypnosis was all
11 about and why it worked and things like that so I don't use
12 hypnosis, *per se*, I've incorporated what I've learned into my
13 communication with patients and so on and so it would be part of
14 my understanding of how communication works.

15 **Q.** So, Doctor, currently in your practice is hypnosis
16 part of your practice today?

17 **A.** Not in a pure sense of hypnosis, I just incorporate it
18 into my understanding of how communication works.

19 **Q.** Okay. Environmental medicine, what is that?

20 **A.** It's just a study of how toxins and environment impact
21 on some people's lives and I did a clinical trainee shift with
22 Dr. Fox in Fall River in Nova Scotia here and separate training

DR. PAUL SMITH, Direct Examination

1 and courses otherwise just to understand the environmental
2 aspects. I had people that had multiple chemical sensitivity
3 and things like that, I was just trying to make an understanding
4 for myself more than anything else.

5 Q. Family dynamics, what is that training?

6 A. Family dynamics is how the past and the present and
7 transference works. Transference is how their past experiences
8 affect the current relationships that they have and so on so,
9 again, it's just a way to understand how family dynamics
10 basically are impacted by their past experience and current
11 experience.

12 Q. Short-term dynamic therapy, what is that?

13 A. That's basically the same idea, it has to do with
14 family therapy and how to communicate with a couple or
15 counseling and so on, along those lines.

16 Q. Sort of like a spousal situation, husband and wife?

17 A. Yes.

18 Q. In terms of training, there's CBT or referred to as
19 cognitive behavioural therapy, what's that and what sort of
20 training do you have in that?

21 **(09:57:59)**

22 A. The Canadian Association of Cognitive Behavioural

DR. PAUL SMITH, Direct Examination

1 Therapy has some excellent modules that they use to train family
2 physicians again in communication and how you can impact the way
3 people think about any aspect of their medical career or medical
4 life I should say. It's a way to impact the way they
5 understand, perhaps they are caught into thinking traps or ways
6 that they may have collective abnormal thinking about certain
7 aspects so it's just a way to analyze and to impact a new point
8 of view, really, that might be healthier.

9 Q. And so perhaps explain to me. My understanding, it
10 appears that sort of clinical therapist, psychologist or mental
11 health nurse would sort of actively use cognitive behavioural
12 therapy with a patient?

13 A. Correct.

14 Q. In your current practice do you use some of those
15 aspects in your treatment of patients?

16 A. Absolutely, yeah.

17 Q. And treatments of patients specifically with mental
18 health issues?

19 A. Mental health and any other aspects. Their belief
20 systems impact the way they ... the way their health results so,
21 you know, if you can impact the way they feel or their thinking
22 about things, you've been able to change the reality for that

DR. PAUL SMITH, Direct Examination

1 patient.

2 **Q.** So generally, I'm just going to hit some of the
3 highlights of your history of your practice which you said was
4 mostly or primarily in family medicine over the 40 years. You
5 were president of the medical staff, it says QNHC, from '97 to
6 '98, what was that role?

7 **A.** Queens North Health Center is just one of the ... it's
8 in Minto and we had a group of physicians where basically I was
9 just the head of that group, you know, as president. I
10 represented ... when we had meetings, I was in charge of
11 presenting facts or the topics for the day and controlling the
12 meetings and so on.

13 **Q.** And years later it looks as though you became
14 president again of medical staff, Chipman, New Brunswick between
15 '97 and '98?

16 **A.** Yes.

17 **Q.** Was that a similar role, I guess, but with a different
18 group of doctors?

19 **A.** Yes.

20 **Q.** Further down it indicates that you were medical
21 advisor for a nursing home?

22 **A.** Yes.

DR. PAUL SMITH, Direct Examination

1 **Q.** What were your duties there?

2 **A.** I was in charge of seeing the patients but also
3 helping policy changes and decision-making and just as topics
4 come up to help them advise which direction we go with things.

5 **Q.** What seems sort of, I guess, most relevant to today in
6 my mind anyway, from 2000 to 2001 it indicates you did some
7 contract work for DND, is that Department of Natural Defence?

8 **A.** Yes.

9 **Q.** National Defence. And it indicates ER room physician,
10 Gagetown.

11 **A.** Yes.

12 **Q.** If you could tell us a little bit about your
13 experience between 2000-2001 with the contract work for DND and
14 the physician in Gagetown ... ER physician.

15 **A.** I was hired on a contract, I didn't sign into the
16 military, I was just hired as a contract to work at their
17 medical unit in Gagetown doing basically family medicine again.
18 I worked there for the year to see any medical issues that came
19 up and to help direct therapies and so on in any direction.

20 **Q.** So I take it where it was contract work for National
21 Defence ...

22 **A.** Yes.

DR. PAUL SMITH, Direct Examination

1 **Q.** ... you would have had experience dealing with members
2 of the military, retired veterans, those sort of clients?

3 **A.** Not retired veterans, they were serving.

4 **Q.** They were serving veterans?

5 **A.** Yes.

6 **Q.** During that period of time are you able to sort of
7 estimate how many veterans, I know it's a long time ago and I
8 understand that it's hard to put a number, but could you
9 estimate roughly how many military members you might have
10 treated over that period of time?

11 **A.** Well, we worked five days a week and we'd see 20, 30,
12 40 depending on the demand that day, it was pretty busy.

13 **Q.** And would that involve complaints as it relates to
14 both physiological concerns and mental health concerns?

15 **A.** Yeah, everything family medicine incorporates, yeah.

16 **Q.** What about sort of military officers who had been
17 dealing with psychological disorders such as post-traumatic
18 stress disorder, major depressive disorder, would you see those
19 sort of things?

20 **A.** Yes.

21 **Q.** And from that period on we understand that you were in
22 solo practice in family medicine since 2001?

DR. PAUL SMITH, Direct Examination

1 **A.** Yes.

2 **Q.** It indicates as well from 2007 to present you're the
3 director of the methadone program in McAdam?

4 **A.** Yes.

5 **Q.** And what does that involve?

6 **A.** It's just again setting up policies and treating
7 people that had narcotic addiction issues and so on and
8 directing the program for that. It's a fairly small unit but
9 we'd see some of these smaller towns had quite significant
10 problems with addiction issues as usual so we were in charge of
11 looking after most of those patients.

12 **Q.** Was there any sort of special training or experience
13 required to be the director of the methadone program?

14 **A.** Yes, there's specified courses that are required to
15 become designated for that.

16 **Q.** And I understand you have noted on your CV, the second
17 page, that you were an expert witness, nine occasions, in courts
18 in New Brunswick?

19 **A.** Yes.

20 **Q.** Generally, without getting into the actual nine or the
21 details, what sort of expert evidence were you involved in
22 giving?

DR. PAUL SMITH, Direct Examination

1 **A.** It would be family medicine topics, anything from
2 family disputes to someone with dizziness or giving opinions on
3 whether there was malpractice perhaps and things like that. I
4 was designated by one of the law firms in Fredericton to be a
5 useful witness in some of their participants and so on. So we
6 would give opinions and occasionally called to court for that
7 opinion.

8 **Q.** Were you ever in a position where you gave expert
9 evidence as it relates to medical treatment, cannabis, and
10 psychological disorders?

11 **A.** Cannabis was never a topic, no.

12 **Q.** And I understand you indicated you participated to
13 some degree in three medical pharmaceutical research projects?

14 **A.** Yes.

15 **Q.** What were those?

16 **A.** It was research. Blood pressure and antibiotic side-
17 effect profiles, and effectiveness profiles and things like that
18 so just you would have "x" number of patients involved with the
19 use of their new medication. You'd be monitoring for side
20 effects and reporting that through the central collection of
21 information.

22 **Q.** And I don't mean to get into the details at this

DR. PAUL SMITH, Direct Examination

1 point, we will at some point later, if we could bring up Exhibit
2 141.

3 **EXHIBIT P-000141 - STUDY - "MEDICAL CANNABIS USE IN MILITARY AND**
4 **POLICE VETERANS DIAGNOSED WITH POST-TRAUMATIC STRESS DISORDER"**

5 Q. Doctor, do you recognize what this document is?

6 A. Yes.

7 Q. What is that?

8 A. Well, that's a study that we started in, I think, 2015
9 to monitor ... we did a retrospective study in terms of picking
10 random patients and monitoring their response to the treatments
11 that we offered in terms of medical marijuana use.

12 Q. So this particular study, is it fair to say it looked
13 into medical cannabis use as it relates to military and police
14 veterans diagnosed with PTSD?

15 A. Yes.

16 Q. And the study took place, did you say, 2000-?

17 A. I think it was '15 to '16, I believe.

18 Q. And it was published when?

19 A. In '17 or late '16.

20 Q. Typically research, or medical research such as this
21 and studies, who would have sponsored this particular study?

22 **(10:07:48)**

DR. PAUL SMITH, Direct Examination

1 **A.** This is a study we did on our own to make sure that we
2 were ... it was new in the industry so our College actually
3 recommended that we do some kind of study and monitoring to see
4 what we were doing, was it useful, and to publish something that
5 would indicate our activities and so on. So it really was we
6 started collecting just my clinical notes over that time period
7 to see the response but it was retrospective to it didn't meet
8 the standards of peer review, what do they call it, double-blind
9 studies and so on, it was just a retrospective study.

10 **Q.** So often we have studies that may be sort of sponsored
11 by different medical insurance companies or drug companies.

12 **A.** Yes.

13 **Q.** Was this study sponsored by any of those?

14 **A.** The only sponsoring was you'll notice the other people
15 on the list after my name there were people that were experts in
16 data analysis. The cost of their participation was sponsored by
17 one of the licensed producers, MedReleaf, because you know, they
18 knew that we were using their products and I think they thought
19 that was a good way for advertising and so on.

20 **Q.** And so MedReleaf was one of the providers of medical
21 cannabis?

22 **A.** Yes.

DR. PAUL SMITH, Direct Examination

1 **Q.** I guess, and now is the time I guess, as good as any,
2 I guess. We'll get into what was, if you can, without getting
3 into everything, p-values, the whole works, but what was the
4 general purpose of the study and what were the ultimate results
5 that you had found at the end of the day with respect to this
6 study?

7 **A.** I can't get into analysis of p-values and so on
8 because that's not my expertise but the general gist of this
9 study was simply to indicate before and after use of medical
10 marijuana, using the ten criteria that we used for the diagnosis
11 of PTSD, and on a scale of ten, ten being the worst and zero
12 being no symptoms, we monitored the response over a period of
13 time in terms of improvement of people just on medical
14 marijuana. They may also have been on medications and other
15 therapies at the same time so we didn't differentiate or
16 separate the groups, we just did a general retrospective
17 analysis of ...

18 **Q.** And how many participants ... I believe there was 100
19 veterans?

20 **A.** A hundred, yes.

21 **Q.** Participated in the study and ultimately what was sort
22 of the conclusion that was found and realizing that this is just

DR. PAUL SMITH, Direct Examination

1 one study but what was the end result?

2 **A.** Well, we found it was very effective in symptom
3 relief. I mean, our numbers are probably in the 50 to 60
4 percent range improvement and that there was some people that
5 were able to come successfully off of medications during that
6 time if they chose, under supervision, of course. And I think
7 the study speaks for itself in terms of the aspects of reduction
8 of suicide which was our initial goal for the study to begin
9 with.

10 **Q.** So ...

11 **A.** Sorry. And quality of life, that was the other big
12 aspect and so a reduction of alcohol use and things like that
13 too.

14 **Q.** Okay. And in the "Conclusion", we see down at the
15 bottom and I'll read it, it says: "Future studies should
16 consider involving larger sample sizes and controls to determine
17 the efficiency of medical cannabis in reducing PTSD-related
18 symptoms both as a first line and alternative treatment option."
19 So were there concerns with respect to the sample size perhaps
20 being overly small and there's room for further assessment?

21 **A.** Yes. I mean a hundred's reasonable for a study but I
22 mean it'd be nice to have 1,000 or 10,000 of course. You know,

DR. PAUL SMITH, Direct Examination

1 bigger studies would always give better information and I was
2 the only center probably because I think I was one of the few
3 docs interested in the topic at the time so, you know, there was
4 only one center being used as a source of information, too,
5 clearly that was a weakness in the study, of course.

6 **Q.** In Canada in particular, is it fair to say that
7 cannabis use, as a form of medical treatment as it relates to
8 psychological disorders or symptoms is a relatively newer
9 concept?

10 **A.** Yes, it's very new and there are very few studies. At
11 this point, it may have been one of the initial studies done in
12 Canada. There's several others, lots of research going on.
13 We're also involved in preparing to do other studies ourselves
14 but there's many more studies on the go now that things are
15 legalized and more acceptable.

16 **Q.** So is it fair to say, and I certainly don't want to
17 put words in your mouth so elaborate or correct me, but is it
18 fair to say we're still really early days when it comes to
19 evaluating the effectiveness of cannabis treatment for
20 psychological issues?

21 **A.** Yes.

22 **Q.** Yes?

DR. PAUL SMITH, Direct Examination

1 **A.** Yes.

2 **Q.** And is it fair to say that there isn't a whole lot of
3 sort of peer-reviewed research in the medical community as it
4 relates to PTSD, military, and cannabis treatment for those
5 underlying psychiatric or psychological disorders?

6 **A.** Yes. I mean growing numbers. I think other countries
7 have certainly evidence and we tend not to look at outside our
8 own country for evidence, mind you, because you know, the
9 Americans and Europeans have certainly done some excellent
10 studies I thought that were in the same direction of this one
11 but in Canada things are slow. We've only been legal for a
12 couple years here now so things are just in the beginning stages
13 of knowing how effective it can be if done correctly.

14 **Q.** Okay. If we look to page two of Exhibit 91 which is
15 your CV, and we're moving to sort of present day in your
16 particular practice. You note here, it says you have
17 specialized practice in assessment and utilization of medical
18 marijuana, 2014 to present. What is that specialized practice?

19 **A.** Well, we are looking at an understanding of how you
20 use medical marijuana in a very specific way, specific strains
21 and doses, to be safer and more effective. So I think we've
22 looked at what worked and what didn't work, we've learned from

DR. PAUL SMITH, Direct Examination

1 all our mistakes, and moving towards a point where we are able
2 to recommend and reproduce more effective ways of doing things.

3 **Q.** In terms of the breakdown of your present practice and
4 I realize numbers can fluctuate, approximately how many
5 patients, both for physiological symptoms and psychological
6 symptoms, that you may treat in the run of a year?

7 **A.** How many patients do I have?

8 **Q.** Yeah.

9 **A.** Ongoing? Somewhere between 1,500 and 2,000, in that
10 range.

11 **Q.** And out of the 1,500 to 2,000, realizing it's hard to
12 put an exact percentage, what percentage of those would you say
13 were affiliated with the military either presently in active
14 service, retired service, or military veterans? So out of the
15 1,500 to 2,000, what percentage of your practice would deal with
16 military affiliation patients?

17 **A.** I would estimate probably 75 percent, the others would
18 be RCMP and first responders.

19 **Q.** So your practice sort of is a particular subset, I
20 guess, of individuals of the population, is that fair to say?

21 **A.** Yes.

22 **Q.** And we'll get into how that developed momentarily.

DR. PAUL SMITH, Direct Examination

1 And a focus of your practice involves cannabis as a form of
2 treatment?

3 **A.** Yes.

4 **Q.** And it involves cannabis as a form of treatment for
5 both physical ... physiological symptoms and psychological
6 symptoms?

7 **A.** Yes.

8 **Q.** Are you able to estimate what percentage of your
9 ongoing patients, between 1,500 and 2,000, are on medical
10 prescribed cannabis?

11 **A.** There would be probably in the 85-90 percent range.
12 There's some that just don't do well with it and that's fine,
13 we're not here to, you know, just look after the patients that
14 do well. The pain aspect is a big deal. There would be, I
15 would say, 80, 90 percent of people have severe pain and would
16 like alternatives to narcotics or other pain therapies and so on
17 or an additional treatment while they're receiving other forms
18 of therapy as well.

19 **(10:18:16)**

20 **Q.** If you were to put a percentage of patients, the
21 category of patients that you have that are affiliated with the
22 military in some form, what percentage of those would you say

DR. PAUL SMITH, Direct Examination

1 are prescribed medical cannabis?

2 **A.** I'm not sure I understand your question there.

3 **Q.** Okay. So we know 1,500 to 2,000 patients.

4 **A.** Yes.

5 **Q.** I think you indicated approximately was it 85 percent
6 are affiliated with military?

7 **A.** Yeah, 75.

8 **Q.** Seventy-five, sorry. So out of that 75 percent of
9 patients, how many of those are prescribed medical cannabis for
10 treatment?

11 **A.** Most would have a trial of. End up with marijuana,
12 probably in the 85, 90 percent.

13 **Q.** Okay. When you look at your practice as it is
14 currently, are you able to sort of estimate how many of your
15 patients involve simply physiological symptoms or complaints
16 versus psychological only complaints versus a combination of
17 both?

18 **A.** The combination is majority. Pain causes
19 psychological issues. Psychological issues on their own would
20 be actually rare. Most military and RCMP have many physical
21 complaints and the pain obviously impacts their psychological
22 health, as well. so the combination is the vast majority.

DR. PAUL SMITH, Direct Examination

1 **Q.** So your current practice, as indicated, seems to be a
2 very subset, almost like a speciality in a sense. How did your
3 practice develop, what sort of led you to the point where you
4 have the current setup of your practice?

5 **A.** Well, I've prescribed medical marijuana for pain as
6 far back probably as 2004 or '05. That's how it began. In
7 terms of my significant involvement, in 2014, you know, I was at
8 a place where there were several suicides that year, a few of
9 the guys I knew quite well, and my question simply was, you
10 know, What are we doing for the guys? And the answer from the
11 vets was
12 simply if the pills didn't work, they were, basically, on their
13 own. So on their own they were finding that marijuana that they
14 could fine, typically off the street at that point, was their
15 best alternative to calm the symptoms of PTSD or help the pain
16 and things like that. So I became interested in the suicide
17 aspects of this because of the group where medication or
18 standard therapy, which would involve psychotherapy and pills,
19 seemed to fail this group and there seemed to be a fair, large
20 group in that category. So I became interested in the fact that
21 this could be an alternative.

22 I spoke to the College about this and they were cautiously

DR. PAUL SMITH, Direct Examination

1 optimistic and said, Yes, if you do this correctly and you do it
2 in a way that we can see what's going on; thus, they recommended
3 the study as soon as possible, that we would proceed and see if
4 this was a viable alternative or not.

5 Q. And when you spoke about the suicides, I take it
6 you're referring to suicides of veterans?

7 A. Yes.

8 Q. And when you were talking about this concept of
9 evaluating and the treatment of suicides in patients, again
10 you're talking about veterans?

11 A. Initially, yes, yeah. The RCMP and first responders
12 evolved into the process. You know, as they started to hear
13 that, Oh, there is an alternative to medications, which many
14 people did not do well with or did not appreciate because of
15 side effects, that they started to, you know, knock on the door
16 to see if they were a candidate, you know, to do a trial
17 therapy, at least, and see if it was something they could
18 incorporate into their lives.

19 Q. In terms of, and I should explain, when I use the
20 term "veterans" would it also include members that are in active
21 service or temporarily out of service, they're still ...

22 A. Typically out of service, yes; I mean after they've

DR. PAUL SMITH, Direct Examination

1 been released, yes.

2 Q. And so prior to the shift in focus, I take it you
3 probably had a fairly busy demanding general family practice?

4 A. Yeah, I was working rural medicine for probably 30
5 years, by that time, seeing 30 and 40 people a day, and I was
6 getting older and, you know, it was starting to wear me down a
7 little bit. So I was more than interested in spending more time
8 with people where I could actually slow down the number of
9 people I was seeing per day and talk to them more. I don't
10 appreciate necessarily at this stage of my life doing the 40
11 people, you know, 40 people a day. I don't think that's
12 effective medicine, either, but that's the way the system is set
13 up at this point. I like to spend a little more time with
14 people and get to know them and do it that way.

15 Q. Are you able to estimate how many patients you may
16 see a day? You have a pretty big patient base, 1,500 to 2,000.
17 Are you able to say how many you see a day now?

18 A. At this point I try to keep it down to between eight
19 and 12 per day. Some are quick and fast; most of them I spend
20 an hour with or more. So an initial patient might be an hour
21 and a half to two hours, to get to know them, originally, and
22 then follow-ups are easier and quicker once you know them

DR. PAUL SMITH, Direct Examination

1 better.

2 **Q.** And so at times how quick can a follow-up appointment
3 for, say, someone that you met with on a first occasion, they
4 have a pre-existing diagnosis for, we'll just use PTSD, for
5 example, you've determined they're a suitable candidate for
6 cannabis-based treatment, I know it can range, but how quick can
7 some of the follow-up appointments be?

8 **A.** 45 minutes to an hour.

9 **Q.** In terms of the development of how you get to where
10 you are today, and we'll get into your sort of structure that
11 you have, I understand that you were affiliated at some point
12 around 2014 with a group called Marijuana For Trauma.

13 **A.** Yes.

14 **Q.** What is that group Marijuana For Trauma? I'm
15 assuming it still operates?

16 **A.** I think it may have been dissolved at this point and
17 redefined or broken off or split into different groups but
18 originally it was a group of veterans that had a very similar
19 dream. They obviously had discovered marijuana for their own
20 use, typically off the street, initially, and they had found it
21 very effective and they were advocates for telling the world
22 that marijuana works for many things. That was their goal. But

DR. PAUL SMITH, Direct Examination

1 our unified goal was simply to develop programs and centers
2 where veterans could go and have a sense of identity and meet
3 their buddies and feel as though they were part of something
4 again. Because, you know, one of the biggest problems when
5 people get out of the Canadian Forces is that they have lost
6 their identity as a member of anything. The Armed Forces may
7 have been one of the only things that they've actually
8 identified with and now, out, they feel lost and can't work and
9 many times families are falling apart, and we were just trying
10 to offer a center where people could get together and talk and
11 become part of something again.

12 **Q.** So when were you, as a physician, when were you
13 connected with that group Marijuana For Trauma, around what
14 years, do you recall?

15 **A.** It was after 2014, in that range, yeah.

16 **Q.** Do you recall, roughly, how long it sort of lasted?

17 **A.** I think it lasted for about a year and a half, until
18 we finally hit a place where my philosophy was different enough
19 from theirs that I thought it wise to, you know, go our own
20 separate directions at that point.

21 **Q.** So what was it about the difference in philosophy that
22 you had compared to what this group had when you decided to part

DR. PAUL SMITH, Direct Examination

1 ways?

2 **(10:27:51)**

3 **A.** Well, we were finding that smaller doses combined
4 with CBD and THC together were much more effective and actually
5 our direction was more towards non-smoking altogether. You
6 know, that was the evolution of things. Their philosophy was
7 more towards, you know, bigger doses, smoke as much as you need
8 to get the effects you're looking for - if it's not better, then
9 smoke more. And I didn't appreciate that. I thought that
10 smaller doses combined with CBD were much more effective and
11 moving away totally from smoke to oil or edible versions of
12 therapy. So we were using them as coaches, I think we'll
13 probably get into that, and so because they were giving a
14 different way of doing things than what I was trying to teach, I
15 thought that was our basic breaking point.

16 **Q.** So when, are you able to roughly estimate as to when
17 you sort of make the break from that group and kind of go
18 towards your own structure?

19 **A.** I think it was by the end of 2015.

20 **Q.** And we know that, and we're going to get into the
21 details, we know Lionel Desmond had been a patient of yours.

22 **A.** Yes.

DR. PAUL SMITH, Direct Examination

1 **Q.** Had he been a patient of yours under the existing
2 structure of sort of you, alone, or was he back at a time when
3 you were affiliated with Marijuana For Trauma?

4 **A.** We were in the final stages of still with Marijuana
5 for Trauma at that point, yeah.

6 **Q.** I'm going to ask you a little bit about the coaching
7 structure as it was at that time with Marijuana For Trauma.
8 What was a coach and what was the purpose?

9 **A.** The coach was a teacher of how to use medical
10 marijuana. There's so many strains and variations and ways of
11 doing things. We were trying to narrow down from just "here's a
12 bag of marijuana, good luck" to, "no, I think we've learned some
13 things", we would like to pass that on to people and teach them
14 how to do it in a more effective way. That was the general ...
15 So when someone had a question, it had to go, for a technical
16 use of marijuana, the coaches were responsible for that.
17 Anything medical would come back to me. So the idea of a coach
18 helping people learn how to use marijuana correctly, connect
19 with licensed producers and all the paperwork that that
20 involves, which is quite extensive, that was the coach's job.

21 **Q.** What were ... Approximately how many coaches were
22 around at that time that you were aware of?

DR. PAUL SMITH, Direct Examination

1 **A.** I think on an average there was between six and 10
2 would be an average.

3 **Q.** What would their backgrounds be? What would a
4 coach's ... Would they have any sort of medical background of
5 any type or ...

6 **A.** No. Initially, they were basically veterans that
7 were just helping veterans to be there if there was issues.
8 They would not have medical training or anything like that.
9 That was my oversee job.

10 **Q.** But they would have sort of routine contact with the
11 patient?

12 **A.** Yes.

13 **Q.** Were they sort of compensated in any form to act as a
14 coach?

15 **A.** They may have had some benefits to the licensed
16 producers they used but other than that ... It was a lot of
17 volunteer work, for sure.

18 **Q.** And do you know if they got benefits from... When you
19 say licensed producers, those are the ones that provide the
20 cannabis?

21 **A.** Yes.

22 **Q.** When you say they might have gotten benefits, do you

DR. PAUL SMITH, Direct Examination

1 know if they did?

2 **A.** Well, it was standard that some of the licensed
3 producers, not all, would give a benefit for the education of a
4 patient to, you know, participate in the marijuana usage and so
5 on.

6 **Q.** And the benefit, would it be financial compensation
7 or cannabis or a combination of both, do you know?

8 **A.** I think more financial, probably. Yeah.

9 **Q.** Not to make myself as a witness, but I recall you
10 indicated that the group might have been more interested in
11 profits and you had taken a concern with that, I believe?

12 **A.** Yeah, I think as things went on they were giving more
13 emphasis to the profit end of things but, I mean, they did
14 require some funding to operate, of course, so that was ... But,
15 you know, there's a balance that I thought might have been
16 tipped in the direction of thinking more about profits instead
17 of what they were there to do, which was teach a patient and
18 make sure they were stable and be there for their questions and
19 so on.

20 **Q.** At this point, Doctor, I'm going to turn to a little
21 bit about cannabis, just to get into some details with respect
22 to that. Is it a fair comment to say cannabis as a drug is

DR. PAUL SMITH, Direct Examination

1 fairly complex?

2 **A.** Very.

3 **Q.** And what do we mean or what do you mean with agreeing
4 that it's a complex drug? How is it complex? What makes it so
5 complex?

6 **A.** Well, any one strain would have up to 140-plus
7 components. The two major components that we make decisions
8 from is CBD and THC still - even though there's many other well-
9 known components that are minor and less likely to make
10 decisions from, but, you know, they're getting more prominent -
11 so any one strain would have that.

12 There's sativas which are meant during the day, they're
13 typically, initially, THC, and indica is at night, which are
14 more for sleep and pain, and then when they combine the two,
15 they all become hybrids, which are combinations genetically of
16 those two initial strains, and so there'd be variations on a
17 spectrum between pure sativas to pure indicas and then with
18 varying amounts of CBD, so very complex.

19 **Q.** So we're going to get into sort of the nature of the
20 complexities and, I guess, first if we can start with what is
21 ... So cannabis would contain THC and CBD?

22 **A.** Yes.

DR. PAUL SMITH, Direct Examination

1 **Q.** What is THC and what is CBD?

2 **A.** THC is the component that would have psycho-active
3 effects, impairment and addiction and, if overused, could have
4 some side effects, if the doses were too high, and somewhat
5 useful for pain. Now the CBD is the component that was known to
6 be the major component for pain, no psychological impairment in
7 the vast majority, and no addiction, and very good for
8 controlling any of the psychiatric side effects that THC had, so
9 ...

10 **Q.** And I understand that cannabis sort of ... can it act
11 as both sort of a stimulant and, say, a depressant, or could
12 have stimulating effects and depressant effects?

13 **A.** THC in excess is the most unstable aspect of
14 marijuana. Too much THC has significant side effects - anger,
15 agitation, anxiety, paranoia - and if you have a genetic
16 tendency, you can have schizophrenia, bipolar exacerbations and
17 so on, THC only. Does that answer that question?

18 **Q.** Yes. I have a few more questions regarding it.

19 **A.** Yes.

20 **Q.** In terms of cannabis as a drug, we know sort of
21 certain antidepressants fit neatly in a category.

22 **A.** Yes.

DR. PAUL SMITH, Direct Examination

1 **Q.** Does cannabis really fit in any category or is it
2 it's own sort of thing out here?

3 **A.** I mean the word cannabis incorporates that whole
4 spectrum of what we just talked about. There's very specific
5 strains with some of these sub-chemicals, so to speak, the
6 terpenes and flavonoids, which are well known to help
7 depression, anxiety and things like that, as well as the CBD
8 aspects and so on. So certain strains would be known to be very
9 useful for anxiety and depression, to answer that question, in
10 small doses.

11 **Q.** So before I get into the details of the strains, when
12 you're prescribing cannabis for, in a treatment context, for
13 psychological symptoms or disorder, what is the role THC plays
14 in that in terms of a medical treatment aspect; for example,
15 post- traumatic stress disorder and depression, we'll use those
16 two. So you have a patient with PTSD and depression - what role
17 does THC play in the treatment of that underlying psychological
18 disorder?

19 **(10:38:08)**

20 **A.** The first thing, you know, that's real obvious with
21 THC is that indicas are excellent for sleep and pain, which was,
22 fit the bill quite nicely in most of these people, sleep being

DR. PAUL SMITH, Direct Examination

1 an extremely important aspect of stabilization and recovery.
2 CBD is known as probably the most Zen aspect of marijuana. It
3 makes you comfortable right here and right now, you don't
4 really care about the past or the future, so you can forget
5 about your past traumas very effectively, if done at a
6 reasonable dose. So it makes people able to get on with their
7 day without being intruded with all the memories from the past,
8 you know, such as PTSD, as well as control pain at the same
9 time. So THC is a useful component, again in small doses.

10 Q. In terms of, back to the concept of strains, so in
11 medical treatment in your practice, using cannabis as a form of
12 treatment for psychological disorders and symptoms, how many
13 different strains are available to a patient through you by way
14 of prescription?

15 A. Thousands.

16 Q. Thousands.

17 A. And each licensed producer, of which there's probably
18 over a hundred now, would have, you know, 10, 15, 20, some of
19 the big companies even more, strain choices and so on.

20 Q. So in terms of ... in your practice do you deal with
21 certain suppliers?

22 A. We work with the companies that the veterans would

DR. PAUL SMITH, Direct Examination

1 choose.

2 **Q.** Okay.

3 **A.** So their having tried probably most of the licensed
4 producers in Canada, vets will say, you know, There's my top
5 five and there's my top one or two. So based on the
6 effectiveness and availability of their strains ...

7 **Q.** So as a general rule, even though there are
8 thousands, you typically see how many sort of strains being
9 prescribed in the therapy?

10 **A.** Well, we're trying to narrow things down so we can
11 give people what we know. There's so many different strains
12 from different companies, you tend to use the companies that are
13 well-liked and get to know their strains very well so we know
14 what each strain would do. So any one patient would probably
15 deal with two or three, maybe four strains, and that's it.

16 **Q.** If there's thousands of strains out there that could
17 be prescribed, and you indicated that some act a little
18 differently than others, naturally, because they're different
19 strains, how did you educate yourself as to which ones to
20 prescribe for a particular patient? How do you know?

21 **A.** It was learning from the patients that tried things,
22 basically, and again following the rule that sativa's day,

DR. PAUL SMITH, Direct Examination

1 indica's night, CBD is there for safety, and following those
2 rules you can really narrow things down pretty fast. And any
3 one company would have those particular choices and you'd get to
4 know the strains from those particular companies pretty quick.

5 **Q.** And I understand, we'll talk THC and cannabis, and is
6 it fair to say it has an element of instability to it?

7 **A.** In excess dose, yes.

8 **Q.** And what sort of, in excess dose what sort of
9 instability ... what is instability of THC in an excess dose?

10 **A.** Again, the effective dose of THC is what ... It's
11 extremely small. Once you exceed the ability to or the person's
12 tolerance to THC at any given time, you're now in excessive
13 dose. So we typically start so low they feel nothing and slowly
14 work their way up within their tolerance, which takes a few days
15 to develop, until they reach a therapeutic level. So, again, to
16 answer your question, the instability of THC comes from too much
17 too fast or doses that are too high, which can cause anger,
18 agitation, anxiety, paranoia, and schizophrenia and bipolar in
19 those specific rare ... more rare groups but those things are
20 THC excess symptoms.

21 **THE COURT:** I have a question.

22 **A.** Sure.

DR. PAUL SMITH, Direct Examination

1 **THE COURT:** So the relationship between THC, CBD, and
2 the relationship between the quantity of THC for any given CBD
3 uptake, how do you work that out?

4 **A.** We, typically ... At this point it's evolved so that
5 there's no such thing as THC by itself.

6 **THE COURT:** Mm-hmm.

7 **A.** CBD is always there for safety because it prevents the
8 memory loss, much of the addiction, and dramatically reduces the
9 impairment based on the ratio between the two, and also CBD has
10 been shown increasingly to be very effective for the psychiatric
11 aspects of THC side effects.

12 **THE COURT:** And if you advise somebody that they should
13 be on CBD, whatever the quantity is and however often they take
14 it, is there, along with the CBD, is there some THC that is part
15 of that preparation, if I can put it that way?

16 **A.** You can use CBD alone, there's no impairment. That
17 would be the person with pain. It's not too bad for anxiety and
18 depression, but the THC becomes an important part of the
19 psychiatric part of that treatment. Our definition of what we
20 use at this point is CBD and THC as an oil, never THC alone, at
21 any point, in any one day. Every day would include some CBD
22 combined with THC, typically, as an edible or an oil, because

DR. PAUL SMITH, Direct Examination

1 it's reproducible, and the smoking of THC is unpredictable and
2 vastly, the chances of overdosing with smoke is much higher than
3 with an edible, right, you can measure in drops or mils or
4 dropperfuls or things like that. So it's ... the evolution of
5 what we call medical marijuana is now CBD and THC together as an
6 edible. So people don't go out the door anymore without being
7 told that that's what medical marijuana is - if you decide to
8 smoke a little bit here and there, you have to be careful with
9 the side effects and this is what you're looking to potentially
10 get into if you do that. Unfortunately, that's the definition
11 of recreational marijuana is you smoke THC and/or CBD perhaps,
12 which is not, I'm not in favour of the current use of marijuana
13 the way it is for recreational marijuana because of the safety
14 aspects of that.

15 **THE COURT:** Thank you.

16 **MR. RUSSELL:** So, Doctor, I want to go into, back to sort
17 of the elements of instability and THC, and you alluded to the
18 fact that somebody might have a pre-existing and may not even be
19 aware, say, of bipolar disorder or schizophrenia ...

20 **A.** Right.

21 **Q.** And are there some inherent risks that prescription
22 cannabis could compound or make those symptoms show or worse?

DR. PAUL SMITH, Direct Examination

1 **A.** Yes, when, especially if you smoke THC alone, because
2 you can't control the dose and you get excessive amounts of THC,
3 which is the place where those side effects are most likely to
4 exacerbate schizophrenia, bipolar, or just even people without
5 those two things would have side effects if they had too much
6 THC.

7 **Q.** So what about somebody that is coming to a doctor
8 such as you for cannabis treatment as it relates to anxiety,
9 depression? Can smoking cannabis as the method of sort of
10 treatment, can it, in fact, make those symptoms worse?

11 **A.** Yes, if done incorrectly, correct.

12 **Q.** And what are sort of scenarios where it can make the
13 very symptoms that they're trying to treat, by smoking it can
14 make them worse, what are the sort of scenarios where that can
15 happen?

16 **A.** If they decide to smoke where they can't control the
17 dose, they're going to have excessive THC, if they haven't
18 combined the CBD, which is much more stabilizing. So,
19 basically, if they smoke too much THC they would have potential
20 side effects.

21 **(10:47:50)**

22 **Q.** In terms of sort of an overall, and I realize that

DR. PAUL SMITH, Direct Examination

1 many psychological disorders have various subsets of components
2 to them, and what I mean is sort of depression can have a number
3 of symptoms with it, for example, repeated thoughts of ...

4 Well, we'll use Lionel Desmond, for example. There was
5 indications from the psychiatrist Dr. Slayter that he had sort
6 of broad or recurring thoughts of jealousy towards his wife. Is
7 there a system in that cannabis taken in the wrong dosage, too
8 high of a dose, could make those symptoms worse, those repeated
9 thoughts?

10 **A.** The potential of too much THC causing some paranoia is
11 a real issue. The nice thing about smoking something is that it
12 only lasts three hours. If someone has persistent paranoia it
13 probably is not from that, it could have just been an underlying
14 paranoia that the person has already unless the person smokes
15 all the time, in which case that could be an issue.

16 **Q.** And is it fair to say that, I guess, cannabis
17 treatment in the form of whether it's smoking or, as you say, in
18 terms of structure, oils, the proper treatment is very much
19 dose-dependent and considers pre-existing vulnerabilities as to
20 account for that?

21 **A.** Pre-existing what?

22 **Q.** I say pre-existing vulnerabilities. Sort of like a

DR. PAUL SMITH, Direct Examination

1 pre-existing mental health disorder such as bipolar?

2 **A.** Yes. Yes, those things have to be taken into
3 consideration. There may be some people you don't do a trial
4 for them if they have significant psychiatric history. If done
5 correctly at trial, it's simply a way to find out if this is
6 right for this person and sometimes it's not, and those people
7 should be off to other forms of therapy for sure.

8 **Q.** So in your current practice, what sort of
9 psychological disorder ... I guess we'll start with: Do you
10 make a diagnosis of a psychological disorder if a patient comes
11 in to see you?

12 **A.** No, I insist that that be done by other professionals
13 because I saw that as a conflict of interest and so did the
14 College.

15 **Q.** So prior to prescribing medical cannabis in your
16 practice for psychological symptoms or psychological disorder,
17 do you require a diagnosis first before you move to treatment
18 and a prescription for cannabis?

19 **A.** I do, yes. I ask other people to have that diagnosis
20 and for them to prove that there has been a diagnosis made by
21 another health professional of some sort.

22 **Q.** So in every occurrence, putting aside you putting a

DR. PAUL SMITH, Direct Examination

1 prescription or treatment for a physiological symptom or pain,
2 but as it comes to treating psychological disorders or symptoms
3 with cannabis you always require a diagnosis of some?

4 **A.** Yes.

5 **Q.** Okay. What sort of psychological disorders in your
6 practice do you treat with cannabis?

7 **A.** The full spectrum of anxiety, depression, PTSD,
8 insomnia, ADHD is common. So it really would include a fair
9 spectrum of things. We don't get into the sub-diagnoses like
10 personality disorders or things like that, they tend to belong
11 to the bigger groups anyway.

12 **Q.** In your current practice, a patient comes to you for
13 the first time, they present to you sort of proof that they have
14 a diagnosis, a psychological diagnosis, how do you go about sort
15 of assessing whether or not they're a suitable candidate to be
16 involved in your structure for cannabis treatment?

17 **A.** Well, marijuana is not a first line therapy; they must
18 have tried other things. And most people have tried many things
19 before they actually knock on our door to see if this is an
20 option for their therapy.

21 So it's not typically something that some ... you know, the
22 first line of therapy that anyone has tried, it's probably way

DR. PAUL SMITH, Direct Examination

1 down the line of things that could be offered for that person's
2 symptoms. Does that answer your question?

3 **Q.** Yes. How do you go about determining what dose they
4 should be on?

5 **A.** The dose is dependent on the trial, and trials always
6 start at subclinical levels, meaning that they're started on
7 doses so low they don't feel them and the tolerance is allowed
8 to develop over several days. It could probably take two to
9 three weeks to reach a therapeutic level. So if done in that
10 way started so low and increased very slowly every four to five
11 days they will reach a therapeutic level in a couple of weeks
12 and then the decision is made, Is this for you.

13 If it does not improve their symptoms, makes them worse in
14 any way we abort that program or that trial. If it has given
15 them some benefit we may adjust some strains and the doses
16 slightly and at no point should they reach a point where they
17 were impaired.

18 So the goal is simply titration so slow, starting very low,
19 to the point where a trial shows if it's useful or not. They do
20 not need to be impaired at any time during the process of
21 titration to the therapeutic level and that's an important
22 aspect.

DR. PAUL SMITH, Direct Examination

1 **Q.** How long do these trials typically last with a patient
2 from first time you see them to a decision that, okay, they are
3 a suitable candidate? How long of sort of trial are they on
4 usually?

5 **A.** For someone who's never tried marijuana on their own
6 it could be a month or a month and a half. For someone who's
7 been a long-term smoker before they walked in the door probably,
8 you know, strains off the street it's typically a little faster,
9 although those people are harder to train sometimes, you know,
10 in the correct way of doing things than someone who's starting
11 right from scratch.

12 **Q.** And what do you mean "harder to train"?

13 **A.** Well, these people tend to think they know what's best
14 for them, they've already tried. They're harder to teach: I
15 know ... I know how to do this, don't try to tell me what to do.
16 Those people are very difficult sometimes to bring down to a
17 non-smoke version of therapy which we feel is the safest way to
18 go at this point.

19 **Q.** And there are a number of things though I want to
20 explore, one is the cost of a therapeutic dose, the other things
21 are sort of some of the safeguards, including people that sort
22 of resistant to being told how to take it. But before I get

DR. PAUL SMITH, Direct Examination

1 there, so your current practice does it involve ... when you
2 prescribe today medical cannabis, what form of ingestion do your
3 patients take medical cannabis?

4 **A.** They will start with oils only.

5 **Q.** Okay. And the reason why you moved to oils was why?

6 **A.** Because it's reproducible and it's ... you know, when
7 you smoke something the difference between a small dose and a
8 big dose is indiscernible, whereas an oil you can titrate in
9 drops or mils to, you know, slowly up the scale to the
10 therapeutic level and it's reproducible once you hit that place
11 and reproducibility is called stability.

12 **Q.** So it's more accurate in the sense of the patient ...
13 you know, what the patient is getting at what dosage?

14 **A.** Yes.

15 **Q.** Versus smoking. It's a bit of a wild card and depends
16 on how they do it, how they ingest it?

17 **A.** Smoking is almost impossible to reproduce on a daily
18 basis because the dose changes so much and it's just a very
19 frustrating place to ... you get it one day and the next day
20 it's too little or too much and there's frustration there and
21 people don't benefit from it as often.

22 **Q.** Back when you were treating Lionel Desmond, and we're

DR. PAUL SMITH, Direct Examination

1 going to get into the interactions you had with him, how was he
2 consuming cannabis, medical cannabis, for his symptoms of PTSD
3 and major depressive disorder?

4 **A.** He came in as a smoker and he was one of those guys
5 that we tried to teach to use oils and I know ... and certainly
6 CBD as well, of course, but I think the last time I made notes
7 on the chart was that he was still smoking more than he should,
8 and he was implementing CBD, however, which is a major
9 stabilizing aspect to the use of marijuana. But he was still
10 smoking more than I'd like to see.

11 **(10:58:08)**

12 **Q.** So ideally, I guess, if Lionel Desmond were to be able
13 to be treated by you today would you have given him a
14 prescription for ... knowing now the shift, would you have given
15 him a prescription and said you ingest it by smoking or would
16 you have said you have to take it by way of oils?

17 **A.** The base treatment is still oils at this point.
18 People still like to have a little smoke here and there just for
19 maybe the effects or perhaps near bedtime, but the oils are
20 still in the background as main form of therapy.

21 **Q.** So you would have promoted that form of treatment in
22 terms of heavy focus on the oils?

DR. PAUL SMITH, Direct Examination

1 **A.** Yes.

2 **Q.** Because it was more controllable?

3 **A.** Controllable, yeah.

4 **Q.** And more consistent in assessing results?

5 **A.** Right.

6 **Q.** You talked a little bit about in general sort of the
7 concerns you had if somebody is ingesting it by smoking and how
8 it's hard to predict how much they're getting and how effective
9 ultimately it is. How do you safeguard between a patient who
10 has other pharmaceutical drugs, for example, for depression,
11 anxiety, post-traumatic stress disorder, they're given
12 traditional prescriptions in, say, pill form, how do you balance
13 that with a patient that wants to then start medical cannabis?
14 Are you concerned about an interaction between pharmaceutical
15 drugs and cannabis?

16 **A.** There are inter-reactions and, again, it starts so low
17 you feel nothing. If someone is on medication, for instance,
18 and they're even thinking in the future that they'd like to be
19 able to have the option to come off those medications by their
20 prescribing physician, a trial of medical marijuana would
21 include again start below where they feel anything and slowly
22 titrate. It gives the liver a chance to change the enzyme

DR. PAUL SMITH, Direct Examination

1 production for the removal of these products and it should have
2 little or no change in the effect of the current therapy at this
3 point.

4 So basically it's always go ... start so low they feel
5 nothing and titrate very slowly up to the point where they say
6 this is okay or not and the trial, again, if they don't do well
7 with it is done. If it does well and the people are benefiting
8 then they have the options to consider maybe with their current
9 prescribing physicians to consider trials off of some
10 medications.

11 **Q.** So do any of your current patients have sort of
12 traditional prescriptions for post-traumatic stress disorder,
13 depression, coupled with your prescriptions for cannabis?

14 **A.** Yes.

15 **Q.** And are there risks involved there?

16 **A.** There's risk with every prescription.

17 **Q.** Yes.

18 **A.** Every treatment has a risk. Pharmaceuticals have a
19 risk; I know they're significant. Marijuana has a risk so it's
20 co-monitor, and that's why we have coaches and that's why give
21 people the option to report any problems that they have with
22 therapies. And, you know, as we proceed we proceed carefully

DR. PAUL SMITH, Direct Examination

1 and with an open door to say if you've had problems you would
2 stop it or come in and talk about it.

3 **Q.** From your perspective in your program, do you prefer
4 to have a patient who has, say, PTSD, diagnosed PTSD, and major
5 depressive disorder, do you prefer that they not have
6 pharmaceutical drugs? Do you prefer if they're off of all of
7 those drugs and just cannabis or ...

8 **A.** It's not what I prefer it's what people walk in the
9 door with. They certainly ... they typically would not come in
10 ... if you're on medications they would not come in if they're
11 happy. If they're doing well, that's fine they're doing well.
12 And medications work for many people. I'm not ... you know I'm
13 not certainly saying that. Those people that are not happy,
14 they either can't see the rest of their lives on these
15 medications, they would like another option to potentially
16 reduce the pharmaceuticals or not. Some people remain on these
17 things and simply combine marijuana as an additional therapy for
18 additional benefits.

19 So really, I'm not telling people they have to come off
20 pills. If it works for them that's perfect. If they would like
21 to reduce them they may go back after a successful trial on
22 marijuana and ask their prescribing physician to try off some of

DR. PAUL SMITH, Direct Examination

1 the medications they're currently on to reduce those side
2 effects and there's many. Many side effects.

3 **Q.** I'm trying to think of a way to put this, obviously
4 you weren't present. Earlier a few weeks back, we heard from a
5 psychiatrist, Dr. Rahman, who had been a psychiatrist that
6 interacted with Lionel Desmond in the ER, and he was
7 specifically asked when prescribing the pharmaceutical drug, a
8 traditional drug, for therapy for PTSD/major depressive order,
9 he was of the view that a patient should not be consuming
10 cannabis combined with that traditional method of pharmaceutical
11 treatment.

12 Are you aware of any sort of consensus amongst doctors that
13 it should, could or should not be combined or are you aware that
14 there's a conflict there, different doctors take different
15 views?

16 **A.** Any time where there's more than one therapy it's
17 complex and it's hard to decide what's going on. So if someone
18 is on cannabis and they are trying a medication it adds too many
19 variables to allow a physician to say, you know, is this an
20 effective therapy or not. It sometimes is better to start with
21 just one thing and try that and see how that goes if that helps
22 some. And another therapy has ... maybe another pill has to be

DR. PAUL SMITH, Direct Examination

1 added to that for additional benefit that's fine, but it's one
2 thing at a time.

3 I think someone who is on marijuana, especially if it's
4 just a variable marijuana off the street that's too variable to
5 add a medication to that, a pharmaceutical medication, because
6 marijuana off the street is too variable and I would have to
7 agree that that should stop before a medication should try.

8 Now, if they're on medical marijuana and it's very stable
9 and it's reproducible there would be an option, I think, at this
10 point in the thinking to add another medication to that to see
11 if there's further benefit or not because then you have two
12 stable, you know, potential treatments on the go. It's like
13 adding one pill to another pill, you are simply adding things
14 and see how that goes. You don't know ahead of time, you know,
15 which one is going to work.

16 Q. In terms of a patient, such as Lionel Desmond, for
17 example, he appears in front of you he says he'd like to try
18 cannabis and see if that works for the treatment of PTSD and his
19 major depressive disorder and he indicates to you, I'm off all
20 my medications, do you go back and sort of check to see the
21 medical history with, say, Lionel Desmond or to confirm that, in
22 fact, he isn't getting those prescriptions?

DR. PAUL SMITH, Direct Examination

1 **A.** He should be a reliable enough source to say you know
2 I'm on pills or not on pills. I would have to ... I don't do
3 drug screens on people to say, you know, Is that correct or
4 incorrect.

5 I mean, he certainly was pretty straightforward. He had
6 stopped his medications ahead of time, he didn't like them and
7 had a lot of side effects. And he had tried the marijuana on
8 his own off the street, found it somewhat helpful and I think he
9 wanted to have, you know, a more medical idea of cannabis use.

10 **Q.** So in your practice you would take the information
11 directly from the patient as opposed to I'm going to double-
12 check by checking the charts of previous doctors with his
13 consent?

14 **A.** Yeah, I mean, if I had a suspicion of some sort I
15 might check, but, I mean, most people are pretty
16 straightforward. If they didn't know what I was on or things
17 like that, What pills are you taking? I don't know. Well, we'd
18 have to find out first. We would check with the pharmacy and we
19 typically ask them to bring in their pharmacy records anyway if
20 they're on pharmaceuticals. So we would have that information
21 right from day one.

22 **Q.** I'm wondering, Your Honour, we've hit about an hour

DR. PAUL SMITH, Direct Examination

1 mark. I just want to check with the witness to see if he would
2 like a break.

3 **A.** I'm okay so far.

4 **Q.** Okay.

5 **THE COURT:** All right. We'll give it another 15 minutes
6 or 20 minutes then we'll take a break.

7 **MR. RUSSELL:** Thank you, Your Honour.

8 **THE COURT:** Thank you.

9 **MR. RUSSELL:** Is it fair to say that each patient that
10 comes in and is treated for medical psychological disorders and
11 symptoms with medical cannabis that there's an element of a
12 trial with pretty much every patient?

13 **(11:08:01)**

14 **A.** Everything we do is a trial in medicine. You start a
15 pill it's a trial. You start medical marijuana in a
16 reproducible way it's a trial. So the decision is made after
17 the response. Everybody is different so we can't predict even
18 if we know something or we think we know something. It's
19 different for every patient.

20 **Q.** You used the phrase "therapeutic stability" earlier
21 on, I believe you used that phrase.

22 **A.** In context of marijuana?

DR. PAUL SMITH, Direct Examination

1 **Q.** Yes.

2 **A.** Yes.

3 **Q.** What is therapeutic stability in the context of
4 treating psychological disorders and symptoms with marijuana?

5 **A.** It would simply be the reduction of symptoms in a
6 predictable way.

7 **Q.** And how do you know you've reached the point of
8 therapeutic stability with a patient?

9 **A.** It's a subjective response of the patient in terms of
10 what symptom you're modify- ... which one you are monitoring.
11 Everything in psychiatry is based on the patient's subjective
12 reporting of anxiety is better, depression is better, sleep,
13 pain, whatever the factor is. There's no easy subject or
14 objective way to measure those things, it's simply a subjective
15 report of reduction of symptoms or not.

16 **Q.** How do you do this monitoring? How do you do that
17 check and balance to see if things are going towards therapeutic
18 stability? How do you ... how are you assessing that?

19 **A.** Follow-up appointments or talk to people. You know,
20 they can report through their coach or they can report to me.
21 And if they've been told ahead of time that things are worse you
22 stop the medication and you let us know what's going on.

DR. PAUL SMITH, Direct Examination

1 **Q.** I'm nearing sort of the end of sort of the general
2 finding out about your practice and the differences with
3 cannabis. You currently use coaches in your treatment
4 structure?

5 **A.** Yes.

6 **Q.** How many coaches to you have?

7 **A.** Eight, I think.

8 **Q.** What are the various sort of backgrounds of these
9 coaches?

10 **A.** They would be ... I would say all of them have
11 university degrees in something, they're at that level of
12 education. They're self-trained by our group to know what to
13 ask, what to monitor and how to do the process so it's in-house
14 training. There's no actual ... there are actual courses now
15 available but I think our in-house training is probably more
16 valuable than any of the courses that I've looked at to see if
17 they would offer anything above and beyond what we already
18 teach.

19 **Q.** And is there compensation for these coaches?

20 **A.** They work on the same basis of, you know, all coaches.
21 They would receive some benefit from the companies that they
22 work with.

DR. PAUL SMITH, Direct Examination

1 **Q.** And do they ... their sort of billing structure, so do
2 those coaches work for you?

3 **A.** They work with me I would say.

4 **Q.** Okay.

5 **A.** Yeah. I do not pay them.

6 **Q.** So they are paid by?

7 **A.** By whatever benefits they can achieve otherwise.

8 **Q.** From the supplier, I guess?

9 **A.** Right.

10 **Q.** The cannabis supplier?

11 **A.** Correct, yeah.

12 **Q.** What is their current role? What do they do in
13 relation to a patient in your current structure? What's the
14 role of the coach as it is today in your practice structure?

15 **A.** The biggest role is to answer daily questions. As
16 someone starts a trial we would outline a trial in a standard
17 way but everybody is so different that they need to have
18 feedback ability to someone who has experience to say, Well, if
19 this has happened this is what you do next. So they're
20 adjusting things based on the variability that each patient has
21 to reach the therapeutic point without side effects.

22 **Q.** So are they adjusting how much cannabis is consumed by

DR. PAUL SMITH, Direct Examination

1 a particular patient or are they recommending how much they
2 should or shouldn't consume?

3 **A.** The patient is ... determines that himself by the
4 response.

5 **Q.** Okay. But does the coach get involved in making any
6 recommendations about you should try some more, you should try
7 less?

8 **A.** Again, it's based on the patient's response. If
9 they're doing well at level A that's perfect. If they need to
10 go a little higher to get that same response that the next
11 person had at level A they might be on level B. So, you know,
12 it's an individual response.

13 **Q.** Very early on in your evidence you talked about ... I
14 can't remember your exact words but you had talked about
15 veterans and almost in the context of a social structure I
16 guess, an interaction ...

17 **A.** Yes.

18 **Q.** ... and the importance of that sort of structure and
19 support. In your current setup do you have any sort of support
20 systems for veterans outside of prescribed cannabis and ...

21 **A.** Yes, we have programs for vets to participate in what
22 we call weekly events. It's just an excuse to come in to do ...

DR. PAUL SMITH, Direct Examination

1 interact with people. And in the summer we would have at ... we
2 have a lodge where people would go fishing and learn archery or
3 music or boating and yoga. You know, we would have ten
4 different things for people to, you know, participate.

5 They're more just things that people might be interested
6 in, art therapy, music are the big ones, where they would just
7 ... every week they would look forward to coming and being with
8 the other guys and interact in any way. We try to keep it light
9 and there's ... you know, we try not to get people in to talk
10 about the wartimes too much, it's more social interaction.

11 Again, they have difficulty trying to find a sense of
12 identity in our society once they've lost it from belonging to
13 the military or the RCMP and some people need a new source of
14 identity to interact with friends and other like-minded people.

15 **Q.** And seeing and having an opportunity to discuss the
16 social aspect with your patients and those social supports, have
17 they found that that's been helpful in their treatment?

18 **A.** I think so. People make connections all the time and
19 they say, Oh, you just live down the street from me, I didn't
20 even know. Or they're increasing their social network and
21 becoming interested in things: I haven't played my guitar for 20
22 years or ... and some of the guys are now doing music to nursing

DR. PAUL SMITH, Direct Examination

1 homes or to clubs and places like that and starting to have fun,
2 for instance. And fishing is a big deal. You know, guys are
3 getting an excuse to go out and catch a fish together, learn
4 archery perhaps.

5 **Q.** And you indicated sort of almost a weekly thing. Do
6 you ... what sort of physical structures or buildings ... do you
7 own these buildings or rent these building to allow that to take
8 place or how does it work?

9 **A.** Our home in the wilderness is what we do in the
10 summer. We use that as the source and in the winter wherever
11 ... the roads are terrible out there, we ... I use my office in
12 Fredericton as a weekly meeting place.

13 **Q.** And roughly in the wintertime your office in
14 Fredericton as sort of a meeting place, how many patients
15 typically would you see maybe in the run of a week interacting
16 at your office?

17 **A.** At those events?

18 **Q.** Yes.

19 **A.** Could be 10, 15, 20.

20 **Q.** And are you, as a rule, present during this period of
21 time?

22 **A.** Yes, if I can be. I mean, I'll probably miss this

DR. PAUL SMITH, Direct Examination

1 week, but I'm there most of the time. And we have guys that are
2 what we call counsellors. They've taken counseling courses to
3 help people in crisis, and those same counsellors would be guys
4 that I would send out to say, Listen, I've heard so-and-so is
5 not doing well, do you mind doing a home visit and they would do
6 that on a regular basis as well. So they're on standby when I
7 hear someone is having an issue to make a social contact or see
8 how they're ... you know, how they're making out.

9 **Q.** Would you say that this structure is pretty time
10 consuming on your part ...

11 **A.** Very.

12 **Q.** ... for that?

13 **A.** Very, yeah. But worthwhile for sure, yeah.

14 **Q.** I just ... and I know it's a sensitive topic but I'd
15 like to cover it. So billings generally, a patient comes to
16 you, they have a pre-existing diagnosis, they're determined to
17 be suitable for cannabis-based treatment and they're prescribed
18 cannabis, how does the billing structure work?

19 **A.** For me?

20 **Q.** Yeah.

21 **A.** I bill Medicare and DVA for forms and things like that
22 and Workmen's Comp. and that's it. I do not have shares in any

DR. PAUL SMITH, Direct Examination

1 company and I do not participate in any of the funding that
2 comes from licensed producers to the educators, that's arm's
3 length.

4 **Q.** And is there any financial compensation from the
5 companies providing the cannabis to the patient and is there any
6 financial structure where they provide financial support to you
7 or payment to you?

8 **A.** No.

9 **MR. RUSSELL:** Your Honour, at this point I plan to turn to
10 Lionel Desmond and his interactions.

11 **THE COURT:** All right. So we'll take a break and come
12 back maybe around 11:30 then, 15 minutes or thereabouts. Thank
13 you, Dr. Smith.

14 **COURT RECESSED (11:19 HRS.)**

15 **COURT RESUMED (11:38 HRS)**

16 **THE COURT:** Mr. Russell?

17 **MR. RUSSELL:** So, Doctor, we know Lionel Desmond was your
18 patient. Do you recall when he first became your patient?

19 **A.** July of '15.

20 **Q.** July?

21 **A.** July 2015.

22 **Q.** All right. And do you recall how long he had been

DR. PAUL SMITH, Direct Examination

1 your patient?

2 **A.** That was the first time I met him ... is that what you
3 mean?

4 **Q.** Yes, I guess, yeah.

5 **A.** That was the first time I met him and up until
6 February of '16.

7 **Q.** So from July 2015 and the last contact with Lionel
8 Desmond, either by phone or in person, would have been?

9 **A.** February.

10 **Q.** February of 2016?

11 **A.** Right.

12 **Q.** So approximately seven months?

13 **A.** Yes.

14 **Q.** Do you know how he became either referred or reached
15 out to your office, do you remember how that happened?

16 **A.** He was friends with some of the personnel at MFT and
17 they had referred him to me as a potential, you know, patient
18 who would benefit from this therapy.

19 **Q.** MFT is Marijuana for Trauma?

20 **A.** Yes.

21 **Q.** I'm going to show you Exhibit 140.

22 **EXHIBIT P-140 - DESMOND MEDICAL RECORDS OF DR. SMITH - 48 PAGES**

DR. PAUL SMITH, Direct Examination

1 **Q.** Doctor, this in total, Exhibit 140, is 48 pages in
2 total. This ultimately is your records as it relates to all
3 your time with Lionel Desmond as your patient?

4 **A.** Yes.

5 **Q.** In particular, though, I want to start at page 21.
6 Zoom in a little bit. So there's a patient assessment form
7 here, it's filled out, Lionel Desmond, date of birth, and an
8 address. Patient assessment form for patient seeking a medical
9 cannabis prescription. Is this your form?

10 **A.** No, I think that was Marijuana for Trauma's form.

11 **Q.** All right. And somehow this ends up in your file as
12 it relates to Lionel Desmond.

13 **A.** Right.

14 **Q.** Do you know how you got it?

15 **A.** I think he brought it in with him.

16 **Q.** All right. And normally he would have brought that in
17 with him on the first visit?

18 **A.** Yes.

19 **Q.** If we could turn to page 23 and if we look down at the
20 bottom, Doctor, again it's the same patient assessment form,
21 which is the third page, three of three, and at the bottom it's
22 signed Lionel Desmond, February 2, 2015. So I presume this was

DR. PAUL SMITH, Direct Examination

1 signed prior to him ever engaging you in July of 2015?

2 **A.** Yeah.

3 **Q.** And the note indicates, "Cannabis helps me sleep
4 better without me waking up continuously through the night.
5 Also takes my mind off the therapeutic events that I have
6 endured in Afghanistan."

7 **A.** Yes.

8 **Q.** Now recognizing you obviously weren't there for this
9 being filled out but did you understand, is this sort of Lionel
10 Desmond's account?

11 **A.** His writing? Based on his signature it could be. It
12 might have been someone else's, I'm not sure.

13 **Q.** But it was your understanding when you received this
14 that this sort of was Lionel Desmond's account for ...

15 **A.** Yes, I mean, he'd signed it so either he had directed
16 what was written there as his information.

17 **Q.** So there are a few things on this page. First it
18 indicates six grams a day, do you know what that was in
19 reference to?

20 **A.** No, that was pre my involvement. So whether that's
21 what he was actually trying or doing on his own, you know,
22 people were buying off the street and using things like this.

DR. PAUL SMITH, Direct Examination

1 **Q.** And just below that it says ingested method and it
2 says "inhalation/smoke" and that's ticked off?

3 **A.** Yes.

4 **Q.** Is that consistent with what he indicated to you when
5 you first met with him?

6 **A.** Yes.

7 **Q.** And that was how he was consuming cannabis?

8 **A.** Right.

9 **Q.** And over to the right, and again recognizing you
10 weren't there for this sort of assessment form, it's hard to see
11 in that shaded box but it says what are your treatment goals.
12 It's checked off "improve sleep"?

13 **A.** Yes.

14 **Q.** Is that something that he discussed with you as well
15 on July 1st?

16 **A.** Yes.

17 **Q.** Or July 2nd, sorry.

18 **A.** Yes.

19 **Q.** What do you recall him indicating his treatment goals
20 were when you met with him on July 2nd, what was he looking for?

21 **A.** He was hoping to be relieved of some of his symptoms
22 of PTSD which includes insomnia and, you know, thinking about

DR. PAUL SMITH, Direct Examination

1 suicide and anger, all the symptoms that were on my question
2 list there. He was ... I think he had already found that it was
3 somewhat useful.

4 Q. And we're going to get into the details of what you
5 discussed with him and what he was indicating to you were his
6 sort of treatment goals or the underlying things he was dealing
7 with. I notice here on this form "reduce pain", "improve daily
8 function", "improve appetite", "improve mood", they are checked
9 off here but are some of those things items that you would have
10 discussed with him on July 2nd?

11 A. Pain is of primary importance and sleep, those are the
12 two key factors and then all the other symptoms are, you know,
13 we're interested in all that stuff.

14 Q. If we look to page 22 so this is page two of that
15 assessment form, this appears to be filled out, it's obviously
16 filled out by someone and it's a rating of symptoms, do you see
17 that? Well, I guess, first we see primary condition, we see
18 PTSD marked in?

19 A. Yes.

20 Q. At the top. When you first met with Lionel Desmond on
21 July 2, 2015, were you able to confirm that he was, in fact,
22 diagnosed with PTSD?

DR. PAUL SMITH, Direct Examination

1 **A.** Yeah, he brought notes from Dr. Joshi which was one of
2 the military docs at the time and, you know, he had a diagnosis
3 on there with his signature on that, Dr. Joshi.

4 **Q.** Below that there's a number of symptoms where
5 presuming there he was asked to rate out of five being the most
6 significant and what is scored as five are muscle spasms,
7 anxiety, depression, sleep disturbance, low energy. So those
8 five, five out of five scoring as symptoms that he was dealing
9 with being the most significant, did he discuss those items with
10 you on July 2nd?

11 **A.** I would have looked at that. I can't remember, you
12 know, dwelling on this one but I would have looked at the
13 information, I would have read Dr. Joshi's notes there, and that
14 would have been part of what we discussed.

15 **Q.** Before we get into specifics and we'll turn to maybe
16 page ten of that same Exhibit 140. What do you recall generally
17 of your interaction with Lionel Desmond on July 2, 2015? How
18 would you describe sort of his manner or presentation to you in
19 your meeting with him?

20 **A.** Well, Lionel was really a likeable guy right from the
21 beginning, easy to talk to. He was very easy to ... he had a
22 good memory and he talked about, well, we would have talked

DR. PAUL SMITH, Direct Examination

1 about his career and, you know, how he interacted in that way.
2 He was very forthcoming so, you know, if I asked him a question
3 there wasn't hesitation or I didn't have to draw things out of
4 him, it was always very flowing conversations and so on and he
5 had a really interesting sense of humor. He was ... he would
6 always have a pleasant way of, you know, framing things and does
7 that kind of answer your question.

8 Q. Yeah, and I guess did you ever get any sense that at
9 any point that he was ever sort of holding back sharing certain
10 information with you?

11 **(11:48:00)**

12 A. I didn't get that feeling, no. I mean, obviously I've
13 asked that question many times since then but I can't remember
14 him presenting that way, it was always so easy to flow, he
15 answered questions very quickly, he talked about his emotions
16 and feelings very easily and he wore his heart on his sleeve
17 sort of thing.

18 Q. Did you ever get any sort of sense that maybe what
19 he's giving me is untrue or sort of misrepresenting, did you
20 ever get any of that sense from him?

21 A. No, I didn't have that feeling at all, no.

22 Q. If we look, we're going to start going over a few

DR. PAUL SMITH, Direct Examination

1 things on page ten of your report, Doctor. If you do need it in
2 hard copy, it would be Volume 3 of 140.

3 **A.** I can read that pretty good, yeah.

4 **Q.** Okay. So we see, I guess, two different, if we scroll
5 down just a little bit, I guess we see what appears to be two
6 different handwritings or printings here. Did you fill out this
7 entire form, sort of a template where it says name, Lionel
8 Desmond, did you write down "Lionel Desmond" or who filled that
9 out?

10 **A.** No, that ... this form is one that we ask them to
11 complete on their own and then I would use that as a starting
12 place for me to make notes and talk. So the notes that are
13 written in on top of ... that's my writing for sure. Like, for
14 instance, "Lionel Desmond", the dates and so on, that's his
15 writing and so mine are the notes in between.

16 **Q.** When he appeared at your office that day, do you
17 recall if he was with anyone during your time with him or was he
18 alone?

19 **A.** I think he was alone.

20 **Q.** So we'll go through a few things and this is a
21 standardized form that you were using when you're assessing
22 patients for the first time?

DR. PAUL SMITH, Direct Examination

1 **A.** Yes, we've updated that many times but that's what we
2 were using then so ...

3 **Q.** If we look through down to family doctor, it indicates
4 no family doctor so that's what Lionel Desmond would have
5 advised you?

6 **A.** Correct.

7 **Q.** Did you get a sense of, did you ask him, do you
8 recall, if he had seen other doctors, what other doctors he had
9 been seeing?

10 **A.** He had been on medication so I assumed he'd had
11 contact with OSI which is usually responsible for that but I
12 don't think he had had other doctors that he was working with
13 really.

14 **Q.** It says "military service" and it indicates dates of
15 September 23, 2014 to June 26, 2015 and below it it says task
16 force 07, Opathena, am I pronouncing that right?

17 **A.** It's Ethiopia.

18 **Q.** Oh, Ethiopia. Afghanistan.

19 **A.** Yeah.

20 **Q.** This information presumably came from him?

21 **A.** Yes.

22 **Q.** Did you explore what it was that he did while he was

DR. PAUL SMITH, Direct Examination

1 in the military during that period of time?

2 **A.** Very superficially. My policy is not to get too much
3 into details on purpose because if they go into a flashback then
4 I've lost them to being able to talk to them, they're in a
5 different time and place if that happens so I would just briefly
6 talk about his career and what he did generally and where he
7 might have gone and on purpose don't ask him too many details
8 about things, especially at the first visit. If I don't know
9 someone, I don't ask them those questions.

10 **Q.** Do you need to know the particulars ... once you have
11 a diagnosis, do you need to know the particulars prior to sort
12 of coming up with a treatment plan, particulars of his trauma or
13 experience?

14 **A.** Well, if I know he has PTSD and pain and insomnia,
15 that's enough for me to talk to him about the other symptoms
16 that we did ask him about, that's enough for me to say, you
17 know, this could be a reasonable trial for you. Is that what
18 you're asking?

19 **Q.** Well, I just wanted to know what your sort of
20 assessment was, what information you had to know prior to
21 deeming him to be a suitable candidate.

22 **A.** Yeah, I need to have a diagnosis and a general sketch

DR. PAUL SMITH, Direct Examination

1 of where his ... what his career was all about, you know, where
2 did the trauma come from, those sorts of things and what kind of
3 treatments has he had so far and things like that.

4 **Q.** But the particular details of his direct trauma
5 experience, are you saying you didn't really need to know that?

6 **A.** Well, I knew the history of Afghanistan 2007 and I
7 think I've heard enough stories to be able to tell you a lot
8 about it and so when I hear '07, I purposely don't ask too much
9 because I know what went on and it was pretty nasty.

10 **Q.** So you sort of avoided the details with Lionel
11 Desmond?

12 **A.** Yeah.

13 **Q.** I notice you have circled June 26, 2015 and there's a
14 note underneath it, what does that note say?

15 **A.** "Just out of military." Where your hand is?

16 **Q.** Yes.

17 **A.** Yeah, he was out of the military June 26, '15.

18 **Q.** Okay.

19 **A.** Yeah.

20 **Q.** If we look below Afghanistan we see in brackets a word
21 here, what's that word?

22 **A.** Concussion.

DR. PAUL SMITH, Direct Examination

1 **Q.** So what information did you get about Lionel Desmond
2 and concussions?

3 **A.** I'm interested, you know, a concussion can affect the
4 cognitive ability of people and the unpredictability of who they
5 are because of ... it depends on where the brain was concussed,
6 but it's just information that we collect and it can become
7 useful later if we get into neurofeedback, we'll talk about that
8 I'm sure, Alpha-Theta therapy, because it's very useful for
9 recapturing memory and ability to ... you know, the cognitive
10 ability if someone has a concussion in addition to the PTSD.

11 **Q.** So I take it Lionel Desmond would have disclosed to
12 you that he had concussions in the past?

13 **A.** That's what that means, yeah.

14 **Q.** Do you recall whether or not he indicated how that was
15 affecting him in his day-to-day life or if it was affecting him
16 or he believed?

17 **A.** No, I don't think that's something that most people
18 could answer. It would be a pretty undefinable variable kind of
19 ... I wouldn't expect someone to say, you know, I can't remember
20 certain things necessarily because PTSD does similar things,
21 lack of sleep does that so, you know, to be able to
22 differentiate what concussion did in addition to PTSD or all its

DR. PAUL SMITH, Direct Examination

1 other symptoms would be impossible.

2 Q. And I understand it's a specialized subset, medical
3 subset, that could deal with what's referred to commonly as post
4 concussion syndrome?

5 A. Absolutely, yeah.

6 Q. Did you explore or turn your mind to how a concussion
7 may have played a role in Desmond's symptoms and treatment
8 ultimately?

9 A. At this point I'm just getting to know him a little
10 bit, I'm not analyzing the differential diagnosis from
11 concussion to PTSD and there's such an overlap, it's very
12 difficult. I'm just collecting information at this stage.

13 Q. So are there are any sort of medical concerns from
14 treating psychological disorders, in Lionel Desmond's case, PTSD
15 and major depressive disorder with medical cannabis, and this
16 sort of outlying possibility of a concussion? Is there anything
17 you're looking for or wanting to guard against?

18 A. It adds a degree of unpredictability. Concussion, it
19 depends on what part of the brain was involved, it can certainly
20 be a wild card, I guess that's how I think of it. It depends
21 what part of the brain. If it's frontal, it's very emotional,
22 you know, memories and visual and GPS kind of information,

DR. PAUL SMITH, Direct Examination

1 depends what part of the brain it is, it's a wild card in my
2 mind, it's unpredictable.

3 **Q.** So unpredictable and a wild card in the sense of the
4 presentation of symptoms or accounting for presentation of
5 symptoms?

6 **A.** Yes.

7 **Q.** Is there any sort of unpredictability and wild card as
8 it relates to symptoms as a result of a concussion and the
9 interaction with medical cannabis?

10 **A.** There wouldn't be enough ... I wouldn't have enough
11 information to make that discernment. Once you know the patient
12 and their symptoms that a treatment potentially could benefit
13 then it's simply proceed cautiously and do a trial in a
14 reasonable manner and follow up. So it's not that I'm sitting
15 here thinking about concussion necessarily when I'm sitting with
16 someone with PTSD. There's such an overlap it may be absolutely
17 nothing added, it could be significant.

18 **(11:58:04)**

19 **Q.** At this time when that's raised, did you consider
20 maybe before I gauge Lionel Desmond's suitability for this
21 method of treatment that perhaps we should get more information
22 or more of a specialized assessment as it relates to concussions

DR. PAUL SMITH, Direct Examination

1 before embarking on this sort of course of treatment?

2 Consulting another physician, for example.

3 **A.** Would it hold me back from doing a trial?

4 **Q.** Yes.

5 **A.** No. I would consider it a reasonable trial in the
6 presence of concussion.

7 **Q.** And at any point during your treatment of Lionel
8 Desmond did you ever seek out or receive information as it
9 relates to Lionel Desmond and concussions?

10 **A.** No. The reality of getting a concussion evaluation
11 done could be a year and a half, two years, to have a specialist
12 do that sort of thing and if you didn't report it, most
13 concussions probably were not reported, they just happened in
14 the line of duty so to speak, it would probably not be approved
15 for benefits that are paid by DVA. It could be 6 or 7000 bucks
16 to do that evaluation. So unless there's reasonable grounds for
17 a claim, DVA will say, We're not paying for it so there's
18 practicalities around those ideas and the expertise available to
19 look into that sort of thing is not readily available. It's
20 there but it could take a long time.

21 **Q.** There's another note just below we have a Medicare
22 number and below it I think there's a letter R.N. at, what is

DR. PAUL SMITH, Direct Examination

1 that note?

2 **A.** Oh, we're talking about marital status, he's married,
3 she is an R.N. at IWK in Nova Scotia.

4 **Q.** So it's referring to his wife?

5 **A.** Yes, yeah.

6 **Q.** Okay. And his wife you understood to be Shanna
7 Desmond?

8 **A.** Yes. And to the right is a "female, eight years old,
9 with mum".

10 **Q.** So that was understanding he had a daughter I believe?

11 **A.** Yes.

12 **Q.** At the very bottom, I guess you explored family
13 history and past history with him?

14 **A.** Yes.

15 **Q.** And anything notable about his family history?

16 **A.** Just the diabetes.

17 **Q.** And past history, what did he indicate?

18 **A.** Now I didn't put anything there although Dr. Joshi had
19 made some notes about that.

20 **Q.** And we'll get into the details of past history and
21 what you knew at some point later but you indicate there's, if
22 I'm reading this correctly, jaw surgery, laser eye surgery?

DR. PAUL SMITH, Direct Examination

1 **A.** Yes, that was his writing there.

2 **Q.** That was his writing?

3 **A.** Yeah.

4 **Q.** Below it it looks to be your writing and what is that?

5 **A.** He has a pension for PTSD and then major depressive
6 disorder, MDD.

7 **Q.** And did you explore how long that pension had been in
8 effect or ...

9 **A.** Yeah, again I would have looked at Joshi's reports and
10 I don't think he gave me pension information but he gave me the
11 notes from Joshi that was ... so I knew he was pensioned for
12 that.

13 **Q.** And the PTSD, major depressive disorder, where did
14 that information come from?

15 **A.** Dr. Joshi.

16 **Q.** And we see to the right across from diabetes something
17 circled and two words, what was that?

18 **A.** That's coach and Fabian was his chosen coach.

19 **Q.** So ...

20 **A.** I think he knew Fabian so he was ... you know, Fabian
21 had agreed to be ... I always make sure that the coach is
22 connected with the patient. Are you okay with being this

DR. PAUL SMITH, Direct Examination

1 person's coach?

2 Q. So in terms of your first meeting with Lionel Desmond
3 in July, did he already have Fabian as a coach?

4 A. Fabian was a friend of his. He had a lot of friends,
5 he was that kind of guy, but Fabian would have been his contact
6 probably through Marijuana for Trauma as well and a friend, does
7 that answer your question?

8 Q. Okay. So Fabian was, I guess, assigned to be his
9 coach?

10 A. Yes.

11 Q. And just, and I don't want to get into the details,
12 but earlier you indicated that there was like diverging sense of
13 philosophies between you and Marijuana for Trauma?

14 A. Yes.

15 Q. Was Fabian one of those members that you sort of had
16 the difference in philosophy and approach?

17 A. Yes.

18 Q. Okay. And generally what was the ... what were the
19 diverging views between you and Fabian?

20 A. Well, his attitude was smoke basically and if it
21 wasn't better, smoke some more and I'm saying, no, that's the
22 opposite to what we should be doing. So their attitude was

DR. PAUL SMITH, Direct Examination

1 smoke was the answer to most things.

2 Q. And at the time that Lionel Desmond is getting treated
3 by you, were the concerns there with respect to Fabian?

4 A. Yeah, I mean Fabian was exploring what worked and
5 didn't work as well. It's not like we all knew the right way to
6 do things at this point and he had his attitudes, I had mine,
7 they were both in evolution and, in fairness, what worked for
8 Fabian was lots of smoke and I think he probably told lots of
9 people that that's what worked for him and go ahead and try
10 that. I'm on the other hand saying, no, we're trying to do
11 something different here and add CBD to everything and go to
12 smaller doses.

13 Q. There are some notes, one in particular at the very
14 top of the page, that there's a reference to a date in December
15 but we're going to come back to that later, I want to keep
16 things sort of in sequence, in order.

17 A. Okay.

18 Q. If we look to page 24 and 25 of this exhibit. So,
19 Doctor, you referred to having information from Dr. Joshi who
20 was a psychiatrist that had previously been involved in treating
21 and assessing Lionel Desmond?

22 A. Yes.

DR. PAUL SMITH, Direct Examination

1 **Q.** And you indicated that Lionel Desmond provided you
2 with information regarding that?

3 **A.** He would have brought that on his first visit.

4 **Q.** So this is page one of two so you're saying Lionel
5 Desmond would have brought this with him the first time you met
6 with him in July?

7 **A.** Yes.

8 **Q.** And you're familiar with the contents of this?

9 **A.** Yes.

10 **Q.** And I'm going to get into some of the details later
11 which would flow in more naturally. Did you ever receive any
12 additional reports that were from Dr. Joshi as it related to his
13 treatment of Lionel Desmond?

14 **A.** No, I think this was the only piece of information.

15 **Q.** Did you ever request sort of ... are there any other
16 reports I should know about from Dr. Joshi, did you ever request
17 that information?

18 **A.** I don't think so.

19 **Q.** Was there a particular reason why you didn't do that
20 or didn't feel that you had to?

21 **A.** I thought this information was pretty complete in
22 terms of my involvement for a trial.

DR. PAUL SMITH, Direct Examination

1 **Q.** And so I'm just going to look very briefly. It
2 indicates the report is from September 28, 2011 and you meet
3 with Lionel Desmond July 2nd of 2015.

4 **A.** Right.

5 **Q.** And there appears clearly on this there was a
6 diagnosis and we'll review that. Was there a reason why maybe
7 you weren't looking for something a little more recent?

8 **A.** I had Lionel there to tell me what was recent, what
9 have you tried since then. He had tried several medications
10 which we charted, what kind of therapies have you had,
11 psychotherapy for instance or things like that. I mean, Lionel
12 was pretty clear on details like that so it wasn't that I had to
13 have, you know, back-up information more than, you know, his
14 memory.

15 **Q.** So in general, though, if you're trying to get a
16 handle on, you know, this is treatment of last resort, cannabis.

17 **A.** Yeah.

18 **Q.** And you're trying to get a handle on what has worked
19 in the past, how he's reacted to it, do you see that maybe
20 between 2011 and 2015 there's a bit of a gap there?

21 **A.** Yes, and Lionel gave information about the medications
22 he had tried and other therapies and so on as well.

DR. PAUL SMITH, Direct Examination

1 **Q.** And you didn't feel as though there was a need to sort
2 of pry further in getting actual charts and actual reports?

3 **A.** I thought his memory was pretty clear. He had a good
4 recount of, you know, what he had tried and what his response to
5 those things were.

6 **(12:08:02)**

7 **Q.** And do you recall if you reviewed elements of this
8 report with Lionel Desmond to confirm what was reported there?

9 **A.** Yes, I would have said I read your ... I mean, he
10 presented this so I would have read it and probably asked a few
11 questions. I can't remember those details now but we would have
12 gone through this together.

13 **Q.** Okay. And just I'm going to refer to certain
14 passages. Where it says "Personal History": "Corporal Desmond
15 describes his childhood as difficult. He experienced severe
16 physical and verbal abuse." Midway through: "After his
17 deployment to Afghanistan he became disillusioned with CF (which
18 is Canadian Forces I believe)."

19 **A.** Yeah.

20 **Q.** "He does not like his present job of working in the
21 band." Later on it says: "Corporal Desmond has significant
22 financial difficulties." Do you recall if you reviewed those

DR. PAUL SMITH, Direct Examination

1 things with him or got that information from him?

2 **A.** Yeah, I would have read it in front of or with him and
3 we probably had discussed some of this stuff.

4 **Q.** It says: "He had co-signed a loan for his wife so that
5 she can go to school to become an R.N. He is not sure about his
6 decision as he now feels that their relationship may be heading
7 toward separation."

8 **A.** Yeah.

9 **Q.** Did you review the sort of nature of how his
10 relationship was with Shanna Desmond in July?

11 **A.** It would have come up and he had talked about the fact
12 they've had struggles for a long time with, and this is back
13 four years prior I think, and he said that was still ongoing. I
14 can remember that.

15 **Q.** So you got the sense that the relationship struggles,
16 that was still an issue that was occurring in 2015?

17 **A.** Yeah, you know, I had the feeling that things just ...
18 they weren't right still. He had indicated they were still
19 having problems, I remember that much.

20 **Q.** Did he get into the details about or elaborate further
21 on this sort of he had gotten a loan to support her degree. Did
22 he talk about that a little bit or did he give any sense to you?

DR. PAUL SMITH, Direct Examination

1 **A.** Money was always an issue and he thought ... I think
2 she spent money quicker than he could make it. And he always
3 felt like he was always supporting her and things were not going
4 well that way. I don't remember any more details, really, than
5 that.

6 **Q.** So when you say money was always an issue, did you get
7 the sense that that was an ongoing issue or concern for him?

8 **A.** Yes. I did have that feeling. Yeah.

9 **Q.** Did you get a sense that he might have been frustrated
10 as a result of that?

11 **A.** Absolutely.

12 **Q.** On this particular report, it says, "Mental Status
13 Examination". And on this particular date, Dr. Joshi said his
14 mood was depressed, no suicidal ideation or violent thoughts.
15 So were you familiar with that aspect of the report?

16 **A.** Yeah.

17 **Q.** And then at the bottom we see, "Diagnosis". "Axis I,
18 post-traumatic stress disorder and major depressive episode."

19 **A.** Yeah.

20 **Q.** "Operational". What ... do you know what
21 "operational" means in that context?

22 **A.** In the line of duty.

DR. PAUL SMITH, Direct Examination

1 **Q.** Okay. And Axis III it says, "Recent jaw surgery."
2 Axis IV, it says, "Marital difficulty. Separation from family.
3 Deployment to Afghanistan in 2007." So you were aware of that
4 diagnosis?

5 **A.** Yes.

6 **Q.** And, finally, it says, Axis V, "GAF 55 to 60." Do you
7 know what that means?

8 **A.** It's a scale for depression. On a scale of ten being
9 normal and zero very suicidal, the opposite end. So between 50
10 and 60 is reasonably depressed. On a scale of ... on the GAF
11 scale, most people don't work under 70. That's a general ...
12 just to give you an idea. And under 40 would be pretty serious
13 suicidal thinking.

14 **Q.** Okay.

15 **A.** Yeah.

16 **Q.** And I understand that ... I guess if ... from a
17 medical standpoint, if somebody gets diagnosed with PTSD or they
18 get diagnosed with depression in a period of time, in say 2011,
19 does that diagnosis stand and stand for they always have it
20 every time forward?

21 **A.** No. Things can change. PTSD is a long-term
22 diagnosis. Depression would vary, although if depression is a

DR. PAUL SMITH, Direct Examination

1 part of PTSD, it's somewhat more likely to stay with time as
2 well as a part of.

3 **Q.** And patients that you see that might have a diagnosis
4 of PTSD and depression, they can present, I guess, differently
5 during different points of time in their treatment?

6 **A.** Absolutely. I mean the ... in the definition of PTSD
7 are mood swings. That's part of the definition of. So
8 depression would be an intrinsic part of that diagnosis. You
9 know, you wouldn't need major depressive disorder if ... if
10 someone came in with PTSD, you would understand they had a
11 degree of mood swings which would include depression.

12 **Q.** Okay. And I note on the date that this report was
13 prepared, it said no suicidal ideation or violent thoughts. But
14 that certainly can change and fluctuate as months, days, years
15 go by?

16 **A.** Yes.

17 **Q.** So, again, I'm sort of wondering. When you assessed
18 him on that particular date, were you ... had you turned your
19 mind to, Maybe this isn't the full complete story of Lionel
20 Desmond and his interaction with medical professionals.

21 **A.** Correct. That's just a spot in time. Those are the
22 symptoms at that point. And we're creating another spot in time

DR. PAUL SMITH, Direct Examination

1 on my notes, so .. and ...

2 Q. Yeah.

3 A. Yeah.

4 Q. So ... and we'll turn to page two at some point later.

5 So if we look to page 11 of that exhibit, so this is the second

6 page of your July 2nd, 2015 visit ... or meeting with Lionel

7 Desmond ... appointment. So "Medications", there's nothing

8 noted but I will get to medications.

9 A. Yeah.

10 Q. "Alcohol and tobacco intake, average day or week."

11 And there's nothing noted there. Do you recall if Lionel

12 Desmond had a conversation with you with respect to either

13 alcohol or tobacco?

14 A. Yeah. From Joshi's report, I think, or other ... I
15 knew he had abused alcohol in the past but it wasn't currently a
16 big problem.

17 Q. Okay. Did he get into the extent, do you recall, of
18 how bad or the level to which he consumed alcohol?

19 A. I mean it's standard procedure to get into alcohol
20 heavily. I would daresay that most PTSD veterans would have
21 dabbled in that. It's almost a hundred percent at some point in
22 their recovery, typically before they get into medications and

DR. PAUL SMITH, Direct Examination

1 things like that. That's the only thing they would have to
2 drown their memories and help their sleep, perhaps, or pain
3 sometimes.

4 Q. So do you recall with him if he was indicating to you
5 whether or not alcohol had been or was currently a problem for
6 him? Do you remember?

7 A. If alcohol was a problem, I would have made a note
8 here. I think it probably was not an issue. I didn't make a
9 note of it.

10 Q. Okay.

11 A. Yeah.

12 Q. I notice "Allergies". I guess that's pretty standard
13 practice that you go through.

14 A. Yes.

15 Q. And there's nothing noted there.

16 A. Right.

17 Q. And it has "Other". And it says, "Do you have any
18 ..." This is a standardized form, I understand. "Do you have
19 concerns of undiagnosed issues, or that you have not had
20 adequate medical care to this point, or that you would
21 appreciate further evaluation of some of your medical concerns?"

22 What was the purpose, I guess, of this question? Why is it

DR. PAUL SMITH, Direct Examination

1 there?

2 **A.** As an example, maybe someone had diabetes and they had
3 had no care for that so far. I would ... as a family physician,
4 I'd offer, you know, some treatment options around that or
5 investigation, perhaps. So if they ... sometimes they'll come
6 in with back pain that's tremendously worse since they got out
7 of CF. I'll make a referral to a specialist or do some x-rays
8 maybe; you know, that kind of thing. So it's just ... we're
9 just fishing to see. There's so many major issues that didn't
10 come up in the initial information base.

11 **(12:18:07)**

12 **Q.** Does this only apply to physiological symptoms or does
13 it also apply to sort of psychological assessment and treatment?

14 **A.** It's just ... we're throwing a fish net out to see
15 what else is there. And if ... and guys will come up with all
16 kinds of stuff, just a simple question like that. They'll say,
17 Oh, yeah, I've always wanted to talk about my problem here, and
18 that might be something they've never had looked at before.

19 **Q.** And as it relates to Lionel Desmond specifically, did
20 he voice or indicate to you, on this date or other dates, if he
21 had any concerns with his medical care to that point?

22 **A.** I don't recall anything like that.

DR. PAUL SMITH, Direct Examination

1 **Q.** Okay. And did he indicate there was sort of further
2 evaluation of some sort that he wanted done?

3 **A.** No. If he did, I would have made a note here.

4 **Q.** We have, at the bottom of the page, under "Pain" ... I
5 guess first starting with the left, what's that say? There's an
6 ...

7 **A.** A ...

8 **Q.** ... arrow ...

9 **A.** A pension for low back. And then he has also tinnitus
10 and hearing loss.

11 **Q.** And then we have, next to it some writing next to a
12 stick figure. What's that?

13 **A.** The pain range ... yeah. He's just drawing what part
14 of the body is involved here. So his spine, probably from mid
15 thoracic down to the lumbar was involved with pain on a range
16 from one to eight, even up to ten at times. So the pain was
17 pretty good sometimes and pretty bad sometimes.

18 **Q.** And in the margin, we have ...

19 **A.** "THC".

20 **Q.** So what was ... that was you making the note, "THC".
21 What's the purpose of you putting ...

22 **A.** I think I was just getting a feel for ... I mean he

DR. PAUL SMITH, Direct Examination

1 had tried marijuana before I saw him, don't forget. And so I
2 was getting a feel for what was helping a little bit. And I
3 didn't specify THC was all he was using at this point. But if
4 he had been on narcotics or anti-inflammatories, I would have
5 listed it there too. So, really, the only thing he was using
6 for pain at this point was marijuana.

7 Q. And did you understand, when he first met with you,
8 where was he getting his cannabis? Did you have that discussion
9 with him?

10 A. Ah ...

11 Q. Not ... you know, I'm not asking about did he get it
12 off of Dealer ...

13 A. Yeah.

14 Q. ... "A" or ...

15 A. Did you get it from the street or ...

16 Q. Okay.

17 A. ... some buddies or ... no. I try not to ... you
18 know, I'm not stupid. I know that, you know, there's lots of
19 sources and there really is ... you know, if it's not medical
20 marijuana, I know that it's potentially not a good source. And
21 that's one thing. And I didn't want him to tell on his buddies
22 either because, you know, in reality that's where some of that

DR. PAUL SMITH, Direct Examination

1 may have come from. Yeah.

2 Q. If we look to page 12? Here specifically, we have a
3 PTSD-related questionnaire. So you would have completed that
4 with Lionel Desmond?

5 A. Yes.

6 Q. Generally, what's the purpose of the PTSD
7 questionnaire?

8 A. Just to get a feel for the degree of the symptoms that
9 are listed there.

10 Q. And below it, we have ... which is number two, it
11 says, "Please provide a brief history of PTSD traumatic event
12 with dates and location." And you have, "Afghanistan 2007".

13 A. Yeah.

14 Q. So can I take from that you sort of just did a
15 peripheral sort of overview without the fine details?

16 A. Yeah.

17 Q. And you indicated earlier why you did that.

18 A. Right.

19 Q. So, below, I want you to sort of take us through ...
20 before we get into the scoring, on the left it looks like it's a
21 handwritten note that say "Meds".

22 A. Yeah. I asked him about what medications he had been

DR. PAUL SMITH, Direct Examination

1 on in the past. Now these things, he was off at this point. He
2 was on zopiclone, risperidone, Effexor, and Viagra.

3 Q. So what's the first drug ...

4 A. Zopiclone is a sleeping pill and risperidone is a
5 major tranquilizer used to just calm you down, help you sleep
6 sometimes. Effexor is strictly an antidepressant, and Viagra is
7 for sexual ...

8 Q. Did he indicate at the time whether or not he was
9 taking those medications as of July of 2015?

10 A. He was off these at this point.

11 Q. So it's sort of a past history of what he was
12 prescribed.

13 A. Right.

14 Q. This ... the names of these drugs, do you recall where
15 he got them? Is this something he just relayed to you verbally
16 or did he have prescriptions with him or ...

17 A. No, he didn't bring prescriptions. He had been given
18 that in the military, I assume. I can't remember those details.
19 May have continued when he got out for a while but ... like the
20 main issue was that he had been on them in the past. He wasn't
21 on them anymore so ...

22 Q. And, typically, these particular types of drugs, in

DR. PAUL SMITH, Direct Examination

1 your experience, is there some concerns that you would have if a
2 patient had been taking them at various points combined with
3 cannabis? Were there any sort of things or things to be kind of
4 looking out for or concerns from your end?

5 **A.** So you're asking if he come on ... come in with those
6 pills still on his list?

7 **Q.** Yes.

8 **A.** I would ask him why is he here now. That would be my
9 first question. And I would assume the answer would be, I'm not
10 real happy the way I feel on these medications. So, typically,
11 I do not remove medications myself. I would then proceed to a
12 very slow ... go slow low trial even with the medications, if
13 that's what you're asking.

14 **Q.** Did he give you, in a conversation with him ... did he
15 indicate how he felt he was reacting when he was taking those
16 medications or why he stopped?

17 **A.** I made a note and I remember him saying ... he said he
18 didn't like the medication. You know, if you asked me details,
19 I'm not ... I don't remember those now. But he just said, The
20 pills didn't work for me. The only thing that worked were the
21 ... or the marijuana. He made a note of that, so ... yeah.

22 **Q.** So that takes us to the note in the right side. I

DR. PAUL SMITH, Direct Examination

1 believe it's "THC" and something else. What's your note say?

2 **A.** This ... he's at one gram a day.

3 **Q.** And what was noted below that by you?

4 **A.** "Effective but just getting started."

5 **Q.** So what's that discussion about? So he indicates he's
6 taking one gram a day?

7 **A.** That's where he was on marijuana, from whatever
8 source, at about one gram a day at this point. So not a very
9 big dose but it's a starting place.

10 **Q.** How much ... in everyday consumption terms how much is
11 one gram?

12 **A.** It's equivalent to two marijuana cigarettes.

13 **Q.** All right. And if he says, "Effective but just
14 getting ..." Did you say "started"?

15 **A.** "Just getting started." Yeah.

16 **Q.** What's this discussion about?

17 **A.** Well, I wanted to know ... I'm looking at what
18 therapies he has. He's off the pills. He's on the marijuana.
19 And he's just really early in the process of titrating up to a
20 place that might work. You know, so a full trial probably
21 because he can't get enough yet, has not really started yet.

22 **Q.** But he is initially reporting that he's having some

DR. PAUL SMITH, Direct Examination

1 success in self-treating his symptoms with cannabis.

2 **A.** Right. It's enough for him to want to go further. I
3 think that's the point there.

4 **Q.** We're going to go through ... he was asked to rate
5 from zero to ten, ten being the most severe, the number of sort
6 of symptoms. Do you recall doing that with him?

7 **A.** Yeah.

8 **Q.** And anxiety was scored at an eight?

9 **A.** Yes. These are his numbers that he wrote before he
10 came in. That's ... because those are not my ... that's not my
11 writing. So he would have ... as a part of filling out this
12 form at home before he came in, those are his numbers.

13 **Q.** So very quick; anxiety, eight; hypervigilance, ten;
14 depression, ten. I notice "depression" is circled. Did you
15 circle it?

16 **A.** I think we stopped and ... yeah. I probably stopped
17 and talked to him about that.

18 **Q.** Do you remember what he revealed to you about his
19 depression?

20 **A.** I can't recall that now.

21 **Q.** Avoidance of trigger-related people and situations
22 scored an eight. Flashbacks and intrusive memories, ten;

DR. PAUL SMITH, Direct Examination

1 nightmares, six; disordered sense of blame for the events, five;
2 stuck in severe emotions related to the events, six; anger and
3 irritability, ten; poor concentration, nine; easily startled,
4 eight; feeling disconnected from oneself and depersonalization,
5 ten; sense of feeling that one's surroundings are not real,
6 eight; suicidal thoughts, five. So would you have reviewed each
7 one and have him discuss what he means by each one?

8 **(12:28:04)**

9 **A.** Yeah. We probably spent quite a bit of time on this
10 list and I ... just get a ... getting a feel for where he is
11 without medications and an early low dose of marijuana at this
12 point. And probably he's filling out these numbers before he
13 started the marijuana, my guess would be.

14 **Q.** So these numbers, do you know if they stood for how he
15 was presenting the day of July 2nd, 2015 or did those numbers
16 reflect prior to one gram a day self ...

17 **A.** If ...

18 **Q.** ... medication?

19 **A.** ... he was ... again, it would have been filled out at
20 home, so I'm not quite sure. I think this is ... these are the
21 numbers that he rates those symptoms at when they're at their
22 worst.

DR. PAUL SMITH, Direct Examination

1 **Q.** Okay. When they're at their worst.

2 **A.** Yeah.

3 **Q.** Was he instructed to rate them that way?

4 **A.** It was done at home, so nobody instructed him to do
5 anything except fill the form out.

6 **Q.** Okay.

7 **A.** So ... really.

8 **Q.** Yeah.

9 **A.** Yeah.

10 **Q.** So I notice that he's got, for example, anger and
11 irritability at a ten. Did you discuss with him what were
12 examples of his anger and irritability and what were the
13 triggers that led to that?

14 **A.** I don't remember those kind of details.

15 **Q.** No?

16 **A.** No.

17 **Q.** "Feeling disconnected from oneself and
18 depersonalization." Was it ever explained to Lionel Desmond
19 what depersonalization is?

20 **A.** Perhaps.

21 **Q.** By you?

22 **A.** We talked about those things and, you know, the

DR. PAUL SMITH, Direct Examination

1 daydreams and you get into another state and when you come back,
2 What time is it, and, Who do these hands belong to, and ... it's
3 a dissociation state that ... extremely common as they get into
4 flashbacks and, you know, remember what happened over there.
5 They come back and they have to reorient themselves into this
6 place and body right now, so ...

7 Q. At the bottom there's a handwritten note and it
8 appears to be by ... was it by you that ... "homicidal
9 thoughts"?

10 A. Yeah.

11 Q. So what's the significance of this? You noted it in,
12 yourself.

13 A. Yeah. The zero beside it on the far right side means
14 there were none. When you look at someone with this degree of
15 symptoms, it's a logical next step to ask, you know, Do you have
16 those homicidal thoughts?

17 Q. And do you ...

18 A. So I just wrote it out and said, no, there was ...
19 that wasn't part of what ...

20 Q. And do you recall asking him if he had homicidal
21 thoughts?

22 A. I wrote it down, so obviously I did. Yeah.

DR. PAUL SMITH, Direct Examination

1 Q. And you said there was a zero beside it.

2 A. The zero is on the right.

3 Q. And that means he didn't.

4 A. He did not have. Yeah.

5 Q. Next page, 13, there's ... section four, it says,
6 "What treatments have you had to this time and how effective
7 they were." So, again, this is filled out by Lionel Desmond?

8 A. Yes.

9 Q. And ten being "very effective", any ... I guess if we
10 start with the first one, "Psychotherapy" is circled and it
11 scored an eight. Did you discuss with him who had provided the
12 psychotherapy, what it was about it that he felt helpful?

13 A. I did. And I circled it because we talked about it.
14 So it would have been ... you know, he only got out a month
15 before I saw him, so it most likely happened in the CF, while he
16 was still in. The reality of getting out takes rehab probably
17 two months to start where they would organize things like that
18 even. So the psychotherapy would have definitely happened in
19 the CF, while he was still in.

20 Q. Did you, at any point, turn your mind to, Maybe I'd
21 like to see those records just to see what it was, what was
22 effective. I mean, clearly, he indicated that it was helpful.

DR. PAUL SMITH, Direct Examination

1 Did you turn your mind to whether or not it would be important
2 for you to get those records?

3 **A.** We would have ... those records probably are like a
4 hundred pages long and not practical for me to see. And
5 psychotherapy is all over the place. It's not a standard, you
6 know, Did you get A, B, C? Everybody has an eclectic way of
7 approaching psychotherapy. So how useful that was, I would ...
8 even at this point, I probably wouldn't ask for those records.
9 I would just say, What was your response? Is it something that
10 you would want to do again or continue on with? Many people
11 would say, No, never, or some people would say, Yes, for sure.
12 So that would be more my approach. I'm not here to evaluate
13 what previous therapy he had, just his response to it really.

14 **Q.** So you indicated very early on in your evidence that
15 ... when we asked about cognitive behavioural therapy ... and I
16 know that's different than psychotherapy. And you talked about
17 elements of that ... you work that into your current practice in
18 treating patients above and beyond prescriptions for cannabis.

19 **A.** Yes.

20 **Q.** When you're meeting with Lionel Desmond and in the
21 time period that you had contact with him, had you turned your
22 mind to sort of a multi-dimensional treatment plan for Lionel

DR. PAUL SMITH, Direct Examination

1 Desmond above and beyond prescriptions for cannabis?

2 **A.** Yes. I mean it ... when we're evaluating someone at
3 the first time, we're looking at the response to things. So
4 we're always looking for ways of treatment forward. So his
5 response to psychotherapy is that he was okay. He liked it.
6 Worked well for him. It would ... in this case, until he's more
7 stable, he probably wouldn't be a great candidate for it yet.
8 But as he became more stable, it would be an option for therapy
9 in the future for sure.

10 **Q.** Was there ever any discussion with Lionel Desmond
11 that, I'd like to know more about your past medical history and
12 the particular people you met with. Did you ever discuss that
13 with him?

14 **A.** On a one-hour visit those kind of details are beyond
15 the scope of what we look for. I'm getting a feel for the
16 person and where he's been, what he's done so far as far as
17 therapy. Those kinds of details are probably not something ...
18 you know, that would come out as I get to know him. Yeah.

19 **Q.** And as you got to know him, did that come out?

20 **A.** Psychotherapy? Is that your question?

21 **Q.** Or just general past involvement with other healthcare
22 providers and what, if anything, was helpful, what can be worked

DR. PAUL SMITH, Direct Examination

1 into this treatment plan. Did that ever get discussed with him?

2 **A.** Well, it ... the circle of psychotherapy means we talk
3 about psychotherapy. And his attitude was positive. A lot of
4 guys would put a zero there and they would say, I'll never go
5 back. An eight, to me, means that he was okay with that, he
6 would like to continue. That's the only practical aspect that
7 I'd be involved with.

8 **Q.** Okay. Were you aware of ... often, veterans have case
9 managers?

10 **A.** Yes. They all have case managers. Yeah.

11 **Q.** Were you aware, during your seven months with Lionel
12 Desmond, whether or not he had a case manager?

13 **A.** I ... my guess at this point was he probably did not
14 or if he did it would just have been an initial visit.

15 **Q.** Okay.

16 **A.** And ... because it takes a month or two for rehab to
17 begin. And I'm seeing him within, say, a month or so of getting
18 out, or less. And if he had a case manager, he might have known
19 their name, not develop a relationship yet.

20 **Q.** Did you get a sense of what level of treatment ...
21 when he presents to you in July of 2015, did you get a sense
22 from him in your conversations whether or not there was a

DR. PAUL SMITH, Direct Examination

1 treatment structure worked in place for him that involved
2 different medical professionals?

3 **A.** I can't recall that. No.

4 **Q.** If we turn to page 14 ...

5 **THE COURT:** Mr. Russell, at some point in time when it's
6 convenient for you to break, we're going to break for lunch.
7 It's 12:30.

8 **MR. RUSSELL:** I think we can break at this point, Your
9 Honour. It wouldn't disrupt anything.

10 **THE COURT:** All right. So we just turned to page 14.
11 When we come back, we'll use that as a starting point. All
12 right. Thank you.

13 Thank you, Dr. Smith. We're going to adjourn for an hour.
14 We'll come back at 1:30. Okay? Thank you.

15 **COURT RECESSED (12:37 HRS)**

16 **COURT RESUMED (13:36 HRS)**

17 **THE COURT:** Mr. Russell, you were at page 14.

18 **MR. RUSSELL:** Page 14, Your Honour, yes, Exhibit 140.
19 So, Doctor, where we left off, I just have some general
20 questions quickly about the standard form you use and the
21 information you provided to Lionel Desmond. Page 14, there's a
22 number of points. Again it referred to marijuana was sort of

DR. PAUL SMITH, Direct Examination

1 the last resort therapy. It talks about obligations on the
2 patient to disclose any sort of changes and symptoms and it's
3 their responsibility to report any adverse side effects, and
4 there's a number of things listed there I won't go over in great
5 detail. Is that something you would have reviewed with Lionel
6 Desmond, his obligations for participating?

7 **A.** I expected that he'd review it and, if he had any
8 questions to let me know, but, no, we wouldn't have spent a lot
9 of time there.

10 **Q.** And there were a number of consents where he checked
11 off "yes" and he had agreed to follow-up visits, on page 15,
12 periodic drug testing if requested, and any further diagnostic
13 tests as deemed appropriate, he consented to all of those?

14 **A.** Yes.

15 **Q.** And then finally on this form there are a number of
16 side effects that were listed - how cannabis can affect memory,
17 it can exacerbate symptoms of schizophrenia. You would have
18 reviewed those things with Lionel Desmond?

19 **A.** It would have been the standard "if you have any
20 questions about anything, let me know" sort of thing, yeah.

21 **Q.** And if we turn to page 17 we see Lionel Desmond's
22 signature and the date July 2nd, and then you have two names

DR. PAUL SMITH, Direct Examination

1 listed down at the bottom, Fabian, and then you have wife,
2 Shanna, RN in Nova Scotia.

3 **A.** Yes.

4 **Q.** What was the significance of noting those two at the
5 end of the form?

6 **A.** Well, the witnesses - because Shanna was somewhat out
7 of the picture, you know, living in another province - that the
8 witness is either someone the person knows locally, such as
9 Fabian, and/or a family member, if preferred, so that they're
10 given permission to speak to me directly about his case and that
11 it's not a breach of confidentiality for them to speak to me and
12 vice versa if he's given permission to allow me to speak to
13 these designated people. That's what a witness is.

14 **Q.** And so he had two designated witnesses, which would
15 be Fabian, as you indicated?

16 **A.** Yeah.

17 **Q.** And his wife, Shanna?

18 **A.** Right.

19 **Q.** What was Fabian's last name? I never did get it.

20 **A.** Henry.

21 **Q.** Henry.

22 **A.** Yeah.

DR. PAUL SMITH, Direct Examination

1 **Q.** So I won't ... There's a long note here dated
2 November 16th/17th, we're going to return to that in a little
3 while. So at the conclusion of this first assessment and
4 meeting with Lionel Desmond, did you deem that he would have
5 been a suitable candidate for a trial in your program?

6 **A.** I did, yes.

7 **Q.** Did he receive any sort of trial prescriptions as a
8 result of ...

9 **A.** I gave him a starter prescription and then he would
10 have spoken to the coaches for some time again, probably another
11 hour or so, to give him, you know, instructions on how to do
12 things right, what's right and what's wrong and things like
13 that. That's what the coach's job was.

14 **Q.** About how to consume it, you mean?

15 **A.** Right. So they spend an hour with me and then
16 probably at least an hour with the coach again, so, yeah.

17 **Q.** If we turn to page 19 of that exhibit ... I'm just
18 going to quickly look at pages 19 through 20. So you indicated
19 that you did write a prescription for Lionel Desmond.

20 **A.** Yeah.

21 **Q.** On July 2nd. And I guess the one on the previous
22 page, 19, what's this here, is this the prescription?

DR. PAUL SMITH, Direct Examination

1 **A.** Yes.

2 **Q.** And what was it for?

3 **A.** Five grams.

4 **Q.** And was there a particular strain or ...

5 **A.** No. As part of the education, you know, they're
6 told about sativas, indicas, and CBD and things like that, so
7 they were given the ability to choose what they feel would work
8 and proceed forward. Yeah. And the idea of having two
9 companies is ... In those days there were several licensed
10 producers sold out or didn't have strains that they were looking
11 for, so if they couldn't get it from Company A, they'd get it
12 from Company B, and back and forth, so ...

13 **Q.** So this page 19, so he was prescribed five grams and
14 it's to be taken from a company, Aphria?

15 **A.** Yes, I think so, that one.

16 **Q.** And page 20, I believe this is another prescription.
17 Again it indicates five grams and from MedReleaf?

18 **A.** Right.

19 **Q.** So in total, is he prescribed 10 grams of cannabis
20 per day by you as part of the trial?

21 **A.** Yes.

22 **Q.** How did you arrive at the quantity of consuming 10 or

DR. PAUL SMITH, Direct Examination

1 up to 10 grams per day for his treatment?

2 **A.** Again, it was two companies. He probably wouldn't
3 need anywheres near that dose, but it was access to two
4 companies in case he bought, say, one month from one company and
5 another month from the other company, up to the amount that
6 worked. And at this point we were still trying to figure out
7 the doses that were required by such people, and so it's not
8 like we had a number that we said, Okay, under this number you
9 have to work and so on. So, again, we taught them to use the
10 minimum dose that was effective, so they, if they had a 10
11 prescription and two grams a day worked, that's what they were
12 stuck with or that's what they should stick with.

13 **Q.** So based on the prescription, I mean you indicated
14 earlier that one gram was equivalent to two sort of joints?

15 **A.** Yeah.

16 **Q.** If you took 10 grams, that's equivalent to 20 joints
17 a day?

18 **A.** Yeah, maximum, yeah.

19 **Q.** So did you have a discussion with Lionel Desmond as
20 to how much he should start out with trying?

21 **A.** The education that we give each person is really slow
22 and go slow ... start low and go slow. So it would have been

DR. PAUL SMITH, Direct Examination

1 less than a gram for the beginning and then to work up from
2 there until he ... There's a certain amount of tolerance that's
3 being developed as you go through time, so he would move up to
4 the point where he felt the effects he was hoping for.

5 Q. And this was going to be ... Lionel Desmond was going
6 to ingest the cannabis by smoking?

7 A. He would have been told at this point that CBD is an
8 important component and that the oils are very effective. We
9 didn't say you couldn't smoke.

10 Q. Okay.

11 A. He had the option to do what he felt was working.

12 Q. And ultimately you meet with him at some point later
13 - how did he ingest it?

14 A. He probably smoked most. I assume he tried some
15 oils, and he was using strains that had CBD, so that was part of
16 our criteria of safety. I think he did ... I made a note,
17 you'll notice in the next few pages, that he was smoking more
18 than anything else. That'll come up in the next visit, so ...

19 Q. Okay. How long was the prescription for?

20 A. Four months.

21 Q. And was there a purpose why it was limited to four
22 months?

DR. PAUL SMITH, Direct Examination

1 **A.** Yeah. It forces them to come back and say I want to
2 get another prescription from you. So I usually made an
3 appointment for two or three months, with the idea of seeing
4 them back within that period of time. If they're doing it
5 correctly, then we would continue on with bigger doses or not
6 bigger doses but longer periods of times.

7 **Q.** So if we turn to page 3, Doctor, you would agree that
8 this is the first page of a record that indicates your second
9 appointment with Lionel Desmond from October 1st, 2015?

10 **A.** Yes.

11 **Q.** And would you have scheduled that appointment before
12 him leaving in July?

13 **A.** Yes.

14 **Q.** And overall what was the purpose of this follow-up
15 appointment?

16 **A.** Just to check back on his progress.

17 **(13:45:58)**

18 **Q.** And so at this point we have filled out Diagnosis -
19 PTSD, under (a), and under (b), MDD, that's major depressive
20 disorder?

21 **A.** Yes.

22 **Q.** And then, again, we have "history of concussion" and

DR. PAUL SMITH, Direct Examination

1 there's a mark there. What's the significance?

2 **A.** It's positive, it means he does.

3 **Q.** So do you recall any sort of discussion with Lionel
4 Desmond regarding the concussions in the second visit?

5 **A.** Just that as we're going through, this is all my
6 writing in there, in this page, so we again confirmed that he
7 had a concussion. There wouldn't be too many conclusions that
8 we could make at this point. It's just, all right, it's there,
9 I'm just making my records more ... as complete as I need to
10 have it.

11 **Q.** And overall, when he presented to you in this visit,
12 October 1st ... I guess, are you able to recall how long the
13 July visit was, the initial assessment?

14 **A.** They were a minimum of hour, hour and a half. They
15 took a while.

16 **Q.** Do you recall this October visit, how long this one
17 was?

18 **A.** It's not like I have a memory of that but they
19 notoriously were all at least an hour. I schedule an hour.

20 **Q.** Okay.

21 **A.** And lots of times ... And if they went 10 minutes
22 less or 15 minutes more it wouldn't matter, you know, we'd just

DR. PAUL SMITH, Direct Examination

1 keep going. The end of the day would be the end of the day,
2 whenever it was so ...

3 Q. All right. So what was your overall impression of
4 Lionel Desmond in the visit on October 1st? How did he seem to
5 you?

6 A. I thought he was doing fairly well. His numbers were
7 better and he was ... I think you'll see here he's drinking a
8 couple beer a week, but I wasn't worried about that, that he had
9 significant improvement in his symptoms. I thought he was doing
10 fairly well.

11 Q. Did he report to you any sort of adverse side effects
12 or adverse reactions to cannabis on this date?

13 A. I don't think so. If there was, I would have made a
14 note.

15 Q. On the first page we see "Current Treatments" and
16 there's a number of them listed, but there's nothing checked off
17 or noted. Do you recall having a discussion with him as to what
18 other treatments he might have been undergoing at that time or
19 if there were any?

20 A. If he was into something I probably would have made a
21 note, so I assume there wasn't too much other treatments going
22 on still. But, like, it's not like I have memory of that kind

DR. PAUL SMITH, Direct Examination

1 of stuff but ...

2 Q. All right. And "Current Medications", what do you
3 have listed?

4 A. "Current Treatments" you mean?

5 Q. Right at the bottom there, it says "Current
6 Medications".

7 A. Oh, "Off all medications except MM (means medical
8 marijuana). Much better off meds."

9 Q. And is that information that he reported to you?

10 A. Yes.

11 Q. Did he elaborate what he meant by "much better off
12 being off the meds"?

13 A. He probably did. I don't ... The details ... I mean,
14 typically, there's a lot of side effects, you know, such as, you
15 know, grogginess and feeling, the word "zombie" always comes up
16 with these guys, they all felt like a zombie and, you know,
17 their sex drive was gone and they didn't ... they can't think
18 and they're just existing. That's the typical explanation. Now
19 those details I don't remember for Lionel, specifically; I'm
20 just talking in general.

21 Q. All right. If we turn to the next page, it's filled
22 out here "How long have you been on medical marijuana?" And it

DR. PAUL SMITH, Direct Examination

1 says "3 months".

2 **A.** Yeah.

3 **Q.** And then it says "Dose" - 10 grams per day.

4 **A.** Yeah.

5 **Q.** So do I take it from this that he was using the 10
6 grams per day?

7 **A.** No, he ...

8 **Q.** Do you get a sense of how much he was using?

9 **A.** That's his ceiling dose.

10 **Q.** Did you get a sense from him how much, in fact, he
11 had been using to treat the symptoms?

12 **A.** That would vary quite a bit. You know, there would
13 be some days, particularly with pain or stress and anxiety, that
14 he'd take a little more or less. If I didn't make a note that
15 he was on specific doses ... But, I mean, any one person could
16 be on two or three one day and five or six the next day. That
17 would be, you know, a typical story.

18 **Q.** Do you recall whether or not you got a sense from him
19 how much cannabis he had been consuming per day at that point?

20 **A.** No, I didn't make a note of it.

21 **Q.** No?

22 **A.** Yeah.

DR. PAUL SMITH, Direct Examination

1 Q. Below it it says "Method of medical administration".

2 A. Yeah.

3 Q. There's smoked, vaporized, and eat. You have a note
4 next to eat; what's that?

5 A. "Not yet." So he's vaporizing most things, in other
6 words.

7 Q. So how's vaporizing different from smoking?

8 A. It's cooler; there's no smell to speak of for the
9 public's point of view; it's the same dynamics - rapid onset,
10 duration three hours - but too high a dose. At this point we
11 were, you know, in the process of trying to get doses down lower
12 because of the eating, but this is, we're seeing, you know, a
13 point in evolution to that place. It hadn't happened yet so
14 ...

15 Q. So he had been vaporizing?

16 A. He was vaporizing, I'd say.

17 Q. Did vaporizing, does that carry with it the same type
18 of concerns you indicated earlier with the variability in dose
19 and the uncertainty in dose as smoking?

20 A. Yes.

21 Q. And then under "Strains", it looks to be four
22 different things listed here. What are they, first, starting

DR. PAUL SMITH, Direct Examination

1 with the first one.

2 **A.** Midnight, and Midnight is a hybrid one-to-one, 10
3 percent THC and 10 percent CBD. So it is the CBD that we want
4 to see every day. It's typically a daytime use - where it's got
5 a slight sativa dominance it gives you energy; at the same time,
6 CBD is there for safety. Elevare is pure sativa, THC only. The
7 ...

8 **Q.** So you said ... Sorry to cut you off, but Elevare,
9 how do you spell that?

10 **A.** E-L-A-V-E-R-E, I think.

11 **Q.** So Elevare, and what was that one?

12 **A.** Pure sativa, THC only. That would be a favourite of
13 people. If they felt anxious during the day they'd take a quick
14 little puff - it'd be a vaporizer in this case - to take the
15 edge off an anxiety. It was very good for anxiety.

16 **Q.** And he was taking that in the day?

17 **A.** Yes.

18 **Q.** And below that one, the next strain?

19 **A.** The next two are indicas, Remissio (sp?) and Sedamen,
20 so the note to the right is "Eve. and bedtime". Indicas are
21 the bedtime, relax, good for pain, THC only again.

22 **Q.** So what's this first indica, what's the name of this

DR. PAUL SMITH, Direct Examination

1 one?

2 **A.** Remissio.

3 **Q.** Remissio?

4 **A.** Yeah.

5 **Q.** And the second one was?

6 **A.** Sedamen. S-E-D-A-M-I-N (sic).

7 **Q.** So you were aware that he was taking those four
8 different strains during the period of time?

9 **A.** Yeah.

10 **Q.** And did he indicate how he was fairing out with those
11 four different strains?

12 **A.** Well, the symptom listing is next.

13 **Q.** Okay.

14 **A.** Yeah.

15 **Q.** So would these four strains, was he consuming one at
16 a time or was he mixing them together or how was that being
17 consumed? Was he mixing all four into ...

18 **A.** No, no. These are one at a time type things, yeah.

19 **Q.** And then we have a reference below that to "alcohol"
20 is circled, and you said two beer a week is what he reported?

21 **A.** Right.

22 **Q.** Did you have any other concerns with respect to

DR. PAUL SMITH, Direct Examination

1 whether combining alcohol with cannabis was maybe an issue?

2 **A.** Not at two beer a week.

3 **Q.** If you'd turn to page 5, so you have some discussion
4 with him, follow-up with respect to pain. You indicated earlier
5 his back, he had indicated he had some complaints.

6 **A.** Yes.

7 **Q.** What was the discussion about and what was the
8 result?

9 **A.** Well, I'd want to know how effective it was for the
10 pain.

11 **Q.** And did he indicate whether or not it was?

12 **A.** If you keep going, I'll tell you the answer.

13 **Q.** Sure. We'll just scroll down.

14 **A.** Yes, so what this means is, he was saying the average
15 is six to seven, and that would be before the marijuana, and
16 then down to an average of two.

17 **Q.** And we see circled "LBD", what's that?

18 **A.** Just low back pain.

19 **Q.** All right.

20 **A.** That's my bad writing, that's all.

21 **Q.** Sure. We turn to page 6. So again we see this PTSD-
22 related questionnaire. So I understand that you would have ...

DR. PAUL SMITH, Direct Examination

1 Here it indicates Before Treatment and After Medical Marijuana?

2 **A.** Yes.

3 **Q.** So you would have gone through with him how he ranked
4 his symptoms, 10 being the most severe, zero being the lowest.

5 **A.** Yeah. And this is all my writing.

6 **Q.** Okay. So you did the scoring here?

7 **(13:56:02)**

8 **A.** I did. So I would have asked him about each one of
9 these, you know, before and after type thing.

10 **Q.** So how did he indicate ... Was there any discussion
11 about his anxiety before versus after?

12 **A.** Well, just it's an eight before the marijuana and a
13 four afterwards, and again those are kind of rough averages that
14 people give you, so very subjective.

15 **Q.** Hypervigilance?

16 **A.** The same idea, from 10 down to nine, so it didn't
17 change much. He remained pretty hypervigilant is what that
18 means.

19 **Q.** And did you discuss wit him what hypervigilance was?

20 **A.** Oh, yes.

21 **Q.** Yes.

22 **A.** When you're at the grocery store are you checking

DR. PAUL SMITH, Direct Examination

1 everything or do you sit with the back to the wall at the
2 restaurant or do you even go in public perhaps. You know,
3 there's ... A nine out of 10, it depends on what he's doing
4 socially. A lot of people avoid all social contact. Now he was
5 social, he was in public. It was just that he was watching
6 still, he was checking everything to make sure it was safe,
7 looking for IEDs or, you know, dangerous people, or checking
8 things out, whether they deserved it or not.

9 Q. And did he, do you recall if he described that to you?

10 A. That's what that would mean. Like I say, we're
11 talking many years ago. I don't remember much of the
12 conversations here. No.

13 Q. Okay. And depression went from ...

14 A. From 10 down to a six to seven.

15 Q. Anger and irritability?

16 A. That was ... it would have dropped down to a three
17 from a 10.

18 Q. And suicidal thoughts?

19 A. From five down to one.

20 Q. Did you ever review with him what his suicidal
21 thoughts might have been, do you recall?

22 A. Besides suicide, you mean?

DR. PAUL SMITH, Direct Examination

1 **Q.** In terms of did he ever discuss suicide by a certain
2 method or get into any details about what specifically he was
3 thinking?

4 **A.** Suicide thoughts don't mean a plan. It's just the
5 thought of I don't want to be here anymore is the typical thing.
6 I would go on to ask them, When you're suicidal, what is it that
7 stopped you from doing that at that time? That's always the
8 question I would ask at this point. And that analysis would
9 come out at that point.

10 **Q.** I notice you have an indication on the left "off all
11 meds" and you've got an eight to nine indicated and a sort of a
12 squiggly line that seems to consume every one of these ...

13 **A.** Yeah, it's just an average on this side. Sometimes
14 I'll put an average on before and an average on the after.

15 **Q.** Okay. I notice that there's nothing filled out in
16 terms of avoidance of triggers, flashbacks, intrusive memories,
17 nightmares, distorted sense of blame - there's a number of
18 things that aren't filled out.

19 **A.** Yeah.

20 **Q.** Sort of the before and afters. Is there a reason for
21 that?

22 **A.** Yeah. The key issues of what we talked about ...

DR. PAUL SMITH, Direct Examination

1 Now, again, I don't want to get into flashbacks until I know
2 them better at a ... You know, when I have met a guy six or
3 seven times, we can talk about trauma and things like that. At
4 this point it's just we're avoiding those things because of the
5 flashback, I'm trying to avoid that during an interview at this
6 point.

7 Q. But something, for example, poor concentration,
8 there's no before, no after filled out.

9 A. Yeah. It's not as important as the ones we talked
10 about, so really we're just being efficient with time, I think.

11 Q. So sort of the reason why some aren't filled out was
12 more to do with time management as opposed to significance or
13 ...

14 A. Well, time and ... The ones we've talked about, in my
15 mind, are the more important issues. I mean, poor concentration
16 and easily startled is not that important for me at this point.

17 Q. Okay.

18 A. So ...

19 Q. But they are symptoms, would you say, of PTSD?

20 A. Absolutely. Yeah.

21 Q. If they were symptoms and you're trying to evaluate
22 the success of the therapy by cannabis, would there be a reason

DR. PAUL SMITH, Direct Examination

1 why you wouldn't note them?

2 **A.** I'm not trying to establish a diagnosis here anymore.
3 I already have that established. I'm looking at the key issues
4 that define how severe is PTSD. So it's not confirmed that he
5 has PTSD anymore. I'm just trying to hit the key points that
6 would indicate to me if this was effective or not.

7 **Q.** Okay. Next, page seven. There's a whole section of
8 "Response to Treatments", but there doesn't appear to be any
9 notes made. Is there a reason why? Did you go over that with
10 him?

11 **A.** It doesn't appear I got too focused on that at this
12 point.

13 **Q.** Do you recall if there was ever any discussion with
14 him in October about response to various treatments or ...

15 **A.** We kind of touched on that the first time around, if
16 you remember there.

17 **Q.** Yes.

18 **A.** And until people are stable enough, in my own mind, we
19 don't really push them into therapy. Like, for instance,
20 psychotherapy is not for someone who can't sleep and has a lot
21 of pain still or, you know, the anger and irritability is too
22 high. They can't handle that kind of relationship yet.

DR. PAUL SMITH, Direct Examination

1 **Q.** So ... but did you ask him if he was, at this point in
2 October, seeking any other therapy and how he was doing with
3 that? Do you remember?

4 **A.** I didn't make any notes, so I can't remember. Yeah.

5 **Q.** Page eight. There's a whole section here where it
6 says "Social/Family Impact". And it says, "Please briefly
7 describe the impact your PTSD symptoms triggers and accompanying
8 concerns that have affected you and your family." And there's
9 nothing filled out.

10 **A.** That was filled out on the first one, if you
11 understand because that's not going to change too much from ...
12 that section is more designed to find out how much has PTSD
13 affected your life. That won't change too much from one visit
14 in three months, you know. In a year that will make a big
15 difference, but we're only just touching on the basics at this
16 point. So we've already established most of that thinking.
17 Yes, it did affect, in most ways, all his ... all those aspects.

18 **Q.** Is this a spot where if anything had changed, the
19 impact, you would have noted it? Anything changed between first
20 visit and second visit?

21 **A.** I wouldn't even expect too much change in those things
22 yet. And so I really don't focus on this part on visit two.

DR. PAUL SMITH, Direct Examination

1 **Q.** And, again, we have a whole section of sort of before
2 treatment and after medical marijuana. It talks about
3 relationships with brother, sister, parents, your belief that
4 you're valuable. Is there a reason why the before and after
5 wasn't completed here on this visit?

6 **A.** It was done in visit one. That's why I don't do it in
7 section two ... or visit two, I mean.

8 **Q.** So I guess back to if you're trying to get a baseline
9 and an assessment as to whether or not cannabis treatment is
10 working ...

11 **A.** Yeah.

12 **Q.** ... is there a reason why you're not going over that
13 exercise on the second visit?

14 **A.** Because my time is spent with symptoms. And his
15 symptoms were satisfactory, from my point of view. This is very
16 complex. Any one of those could take 10 or 15 minutes. I've
17 only got an hour. I'm focused on symptoms. Yeah.

18 **Q.** And then under "Concerns with Medical Marijuana", you
19 have a number of things written here. What do you have written?

20 **A.** Yeah. All that says is, "All positive with
21 marijuana." "THC" represents marijuana, by the way. And that
22 was a comment that it looks like his wife had made.

DR. PAUL SMITH, Direct Examination

1 **Q.** And were you talking to Shanna Desmond at any point by
2 October 1st?

3 **A.** No.

4 **Q.** Okay.

5 **A.** That was his report of her statement.

6 **Q.** So he reported to you that she saw positive change.

7 **A.** That's what that means. Yeah.

8 **Q.** Okay. And if we turn to the next page, page nine?
9 And we have, "Alpha-Theta Training". Did he ever participate in
10 that?

11 **A.** No. I didn't get that far.

12 **Q.** And what is "alpha-theta training"?

13 **A.** It's just neurofeedback. That's another name for it.
14 Neurofeedback uses brain waves to see what part of the brain the
15 integration is not happening correctly. Now with a guy with
16 concussion, I was ... that would have been an important thing to
17 move into once he's stable. Stable, in my mind, to go this
18 direction, is they're sleeping well, they do better on ... if
19 there's any changes in treatment such as medications, I tend to
20 have them wait until later. So if they're weaning off of
21 something, I wait until they're stable. They can be on
22 medications but they have to be no changes recently and sleeping

DR. PAUL SMITH, Direct Examination

1 well. That's the two big criteria for this.

2 Q. Okay.

3 A. Yeah.

4 Q. Did you ... a lot of this, certainly, would you agree,
5 appears to be self-reporting on Desmond's part where he's
6 ranking before and after.

7 **(14:06:05)**

8 A. Yeah.

9 Q. Is there any way for you to account for or did you
10 consider sort of a placebo effect, whether he actually thought
11 it was maybe working simply because he was prescribed it?

12 A. Placebo is part of treatment. It's a big deal. If
13 he's doing well and if everything we do is placebo, bingo, I'm
14 happy with that. But I think these numbers are more than a 30
15 percent you expect with a placebo so ...

16 Q. Okay. At the end of the October 1st visit, did he
17 receive any prescriptions for cannabis?

18 A. Say that again?

19 Q. At the end of the October 1st visit ...

20 A. Yeah.

21 Q. ... did he receive any prescriptions for cannabis at
22 that time?

DR. PAUL SMITH, Direct Examination

1 **A.** I don't see them there. He was okay for another
2 couple months and we were planning to see him back anyway. I
3 think the plan was probably, after the next visit, to write it
4 out again. So I don't think so.

5 **Q.** And do you know if there was a scheduled follow-up
6 visit set by you at that point in time?

7 **A.** No. We would have had another visit, you know, in the
8 works. So we see them every two or three months until we're
9 happy with the way things are going and then we can write it out
10 for longer periods after that.

11 **Q.** So if we look to page three, at the top right-hand
12 margin we see "February 23rd, 2016" ...

13 **A.** Yeah.

14 **Q.** ... sketched out by you on the October 1st chart.

15 **A.** Yes.

16 **Q.** What was that representing?

17 **A.** It's just a follow-up. So in the effort to reduce the
18 amount of paper we're dealing with and time, if things are
19 already in place, I don't, you know, duplicate things.

20 **Q.** So that is scheduled ... that would have been a
21 scheduled appointment ...

22 **A.** Yes. Yeah.

DR. PAUL SMITH, Direct Examination

1 **Q.** ... after the October ...

2 **A.** And I assume that appointment would have been designed
3 for, you know, Are we going to write this out again for you or
4 not? If you don't like it, that's fine. If you do, that's
5 fine. We'll proceed. But I would have scheduled that probably
6 in October.

7 **Q.** If we could turn to page 17? So, Doctor, you
8 indicated that Shanna Desmond had been listed as one of Lionel
9 Desmond's witnesses in the treatment. And one of her
10 obligations was going to be to disclose to you ... report back
11 to you, I guess, any concerns or issues.

12 **A.** Yeah.

13 **Q.** If we could scroll down. When is the first time you
14 hear from Shanna Desmond, Lionel Desmond's wife?

15 **A.** Well, it looks like November 16th or 17th.

16 **Q.** And there's a note here. And this is written on his
17 July 2nd, 2015 chart.

18 **A.** Yeah. So I ... you know, I'll use those pages to
19 write notes on things like that.

20 **Q.** So I don't mean to be overly laborious, but because
21 it's in your writing, and I can't make it all out ...

22 **A.** Yeah.

DR. PAUL SMITH, Direct Examination

1 **Q.** ... could you read into the record what your note is
2 from November 16th/17th?

3 **A.** That whole thing, you mean?

4 **Q.** Yes, if you don't mind.

5 **A.** "Phone call from Shanna, November 16th or ... (she
6 might have phoned twice and probably I didn't get the first one
7 or ... you know, that's what that kind of means) stating he is
8 angry and aggressive." Manic was the word that was used. She's
9 ... and so when I talked to her, she said she's not aware of any
10 medications or strain changes. "Call on the 17th." "Call on
11 the 17th." He's off medication still. Oh, so I was trying to
12 establish whether he had been put back on medications. Knowing
13 that they had had adverse reactions in the past, I was
14 concerned. Sometimes when you go on a medication, things go
15 really bad, including suicidal thinking, manic feelings, more
16 depression and everything. So that was my concern had he been
17 put back on medications or had he come off medications such as
18 the marijuana, for instance, or things like that.

19 **Q.** And what did you have noted?

20 **A.** Off ... well he's still off medication.

21 **Q.** Okay.

22 **A.** He's no better, according to our records. Oh, "Much

DR. PAUL SMITH, Direct Examination

1 better (sorry) according to our records."

2 Q. So, again, that's a note ...

3 A. Yeah. Sorry. I would have had this discussion with
4 her. I was asking her, you know, Has there been anything new?
5 New pills, any difference in strains? Sometimes you get into a
6 wrong strain and things go bad. You need to avoid that one from
7 now on, that type of thing. That's part of the experimental
8 trial part. So she wasn't aware that anything had changed. So
9 that's what that means. And then the next part is a call to him
10 and he says,

11 Strains are stable with no change. No
12 excessive doses or inappropriate strains or
13 other medications and no return to
14 pharmaceuticals. No signs of anger or
15 mania. (This is his report.) He disclosed
16 her recent money issues and manipulation of
17 events and fraud. Used his license to sign
18 contract with telephone company. He was en
19 route to Nova Scotia to sort out legal
20 issues ... sort legal issues out. Felt his
21 marriage was in jeopardy.

22 So that's his report to me on the phone when I finally get

DR. PAUL SMITH, Direct Examination

1 a hold of him so ...

2 Q. So do you know if you speak to him immediately after
3 you speak to her on the 16th or 17th?

4 A. I think I tried a few times. I think there's some
5 notes there somewhere that say I tried ... I think ... I didn't
6 get him until December. It was probably like a week or two
7 later, I believe.

8 Q. And I'm just going to turn to a page really quick to
9 verify a note that you had made. If we turn to page ten, this
10 is on Lionel Desmond's July 2nd, 2015 chart. The top right-hand
11 corner there's a note. Do you see that? It's ...

12 A. Yeah.

13 Q. ... something one to three. What's that?

14 A. "December 1st and 3rd."

15 Q. Yes.

16 A. "Phoned several times in follow-up. Phone out of
17 area." So I had been trying to catch him for a bit.

18 Q. Okay. So it's safe to say you didn't speak to him
19 until some point after December 3rd?

20 A. Yeah. I think so.

21 Q. If we could turn back to page 17. So I'm going to ask
22 you some details about this particular call. So Shanna Desmond

DR. PAUL SMITH, Direct Examination

1 phoned you. Do you recall roughly how long that conversation
2 was?

3 **A.** No. It was probably pretty short, I assume. I just
4 ... I was asking her, What's going on? And I thanked her for
5 phoning, probably, because she's a witness and she has the right
6 to speak to me about this. And, you know, wouldn't have been
7 more than just a few minutes, probably.

8 **Q.** Does she ... did she sound concerned to you, on the
9 phone, for Lionel Desmond?

10 **A.** She told me what she said. She was ... you know, she
11 had that much concern. I don't ... she didn't seem upset or
12 anything. I just said, Well, what's going on? And she would
13 have said, well, he had had this day when he felt, you know,
14 there was anger and ... the word "manic" came up so ...

15 **Q.** And I notice you have the word manic in quotes. So is
16 that a term that she used?

17 **A.** That's a term she used. Yeah.

18 **Q.** What did she say about manic? Did she say what he was
19 doing specifically that would be manic?

20 **A.** That was her term. Now she's a nurse so ... it's not
21 a diagnosis by any means. It's simply probably he was hyper or,
22 you know, a little aggressive, maybe. I'm not sure. You know,

DR. PAUL SMITH, Direct Examination

1 manic could mean a lot of things to a lot of people.

2 Q. And you noted anger and aggressive in her description
3 of Lionel Desmond.

4 A. Yeah.

5 Q. Did you get into the details of what it was, how was
6 he showing his anger, or what types of aggression he was
7 showing?

8 A. I don't recall that. No.

9 Q. No?

10 A. No.

11 Q. Do you recall if she indicated any concerns with
12 respect to her safety or ...

13 A. No. I don't remember anything like that.

14 Q. Do you recall if she had said who he was angry and
15 aggressive with?

16 A. I assume it was with her, so ... where he was ... he
17 could have been just angry in general and just blowing off
18 steam, too. I don't know.

19 Q. So how did this phone call end with Shanna Desmond?
20 What was the plan, if there was any plan?

21 A. The plan was simply to ... thanks for letting me know
22 that things are going this way. I'll be in touch with him as

DR. PAUL SMITH, Direct Examination

1 soon as I can. Because that's how ... that's what this process
2 is all about so ...

3 **Q.** Do you recall if there was any discussion with her as
4 to whether or not he should maybe go to a hospital or come back
5 in to see you? Anything like that?

6 **(14:15:58)**

7 **A.** I mean if I didn't make a note of it, it ... she ... I
8 think she was just notifying me of the fact that he was in this
9 state and she wanted me to be aware of that. And she would have
10 been aware that she was able to alert me to that issue.

11 **Q.** Did she, at any point, indicate to you ... discuss
12 whether or not there had been any interactions with the RCMP in
13 November at the time of this call?

14 **A.** She didn't mention that. I don't think so.

15 **Q.** And so you speak to him at some point after December
16 3rd and you noted a number of things and comments he made to
17 you. Is it fair to say that he's describing a number of things
18 that you read into the record, that he seems pretty stressed at
19 that point?

20 **A.** The only thing he was stressed out about was the money
21 from what I gather. When I asked him, you know ... or, you
22 know, I would have said to him, Listen, I had a call from your

DR. PAUL SMITH, Direct Examination

1 wife. What's going on? And I said, Have you changed anything?
2 Have you done anything that may have triggered things off? And,
3 basically, he was more focused on the money aspect. He was
4 upset about that. I remember him being angry and he was ... he
5 felt he had been used, I think. That was the ... so he was
6 heading back there to sort things out from what I ... yeah.

7 **Q.** And when you say "heading back there", reference to
8 Nova Scotia?

9 **A.** Yes. He was ... I think I caught him en route. He
10 was driving, it sounded like. He was en route to Nova Scotia to
11 sort legal issues out. He lived in New Brunswick still.

12 **Q.** Yes.

13 **A.** So ... yeah.

14 **Q.** And this whole concept of he discloses fraud, what was
15 that all about?

16 **A.** Well, I don't know any more than what I wrote.
17 Basically, I think he thought that she had signed his name to
18 something to probably get some telephone contracts. That's what
19 I gathered. So I mean the validity of that, I wouldn't have any
20 idea so ...

21 **Q.** But it's something he starts, I guess, unloading onto
22 you, telling you.

DR. PAUL SMITH, Direct Examination

1 **A.** That's what his focus was. You know, he was angry at
2 that issue so ...

3 **Q.** And it indicates, "Felt his marriage was in jeopardy"?

4 **A.** Yes.

5 **Q.** Did he elaborate further on that?

6 **A.** I don't recall any more than that.

7 **Q.** So if you were to say ... the impression you got from
8 both phone calls, would you say that this is a relationship
9 that's going well?

10 **A.** No. They've got money problems and he's pissed off at
11 however it's being handled. And, you know, you think, Well, the
12 angry and aggressive manic thing probably was related to money
13 somehow. That's probably all I could conclude from that.

14 **Q.** And you used the phrase he was "pissed off."

15 **A.** Yes.

16 **Q.** Is that the impression you got from him during your
17 conversation with him?

18 **A.** Yes.

19 **Q.** So it wasn't something that he was sort of calmly
20 relaying this to you as a matter of fact, This is what's
21 happening. He's ...

22 **A.** Yeah.

DR. PAUL SMITH, Direct Examination

1 **Q.** ... upset about it?

2 **A.** He was upset. Yeah. I didn't ... you know, I didn't
3 sense that what she ... I didn't ... I was there trying to check
4 the word "manic".

5 **Q.** Yes.

6 **A.** Are you manic? That would have come up for sure.
7 And, you know, Are you these things that she's saying? And the
8 answer would have been, you know, he was angry ... I said, "No
9 signs of anger or mania. He's just upset about money."

10 **Q.** So, Doctor ... and I realize that you're one person in
11 a large group of professionals. But armed with this sort of
12 information at this time, did you get a sense of whether or not
13 it's hard to separate PTSD symptoms, in the classic sense, from
14 marital and life and financial stressors, that they kind of go
15 hand-in-hand with each other?

16 **A.** Marriage stress is going to aggravate all these things
17 for sure. Yeah.

18 **Q.** And did you come up with sort of any concept or any
19 sort of plan as to maybe how this can be collectively navigated
20 through in Lionel Desmond's best interest?

21 **A.** I didn't think their money affairs were any of my
22 business. You know ... and I'm sure the word "marriage

DR. PAUL SMITH, Direct Examination

1 counseling" has probably come up a few times, you know, with
2 him. He just thought it was a marital ... or a money issue.
3 Yes, they had had marriage issues even as ... back as far as Dr.
4 Joshi's report in 2011. He said they've been having marital
5 problems and money issues back ... that far back. So this is
6 not a new issue. It's a raw issue, though, for sure. He's
7 pissed off and he's been that way for years, so ...

8 Q. And did you discuss the idea of maybe ... clearly, you
9 couldn't do it and wouldn't do it, but this concept of maybe get
10 in to see a marriage counsellor or a therapist of some sort? As
11 part of your treatment, did you talk about that to him?

12 A. That would have probably come up. Yeah. But, I mean,
13 do marriage counselors deal with money issues? You know, that
14 ... I mean there's issues between communication and, you know
15 ... with a spouse and so on and then there's money issues. You
16 know, I mean you can go see your banker maybe and they can solve
17 that one. I don't know. It's not clear from this event, you
18 know, what is the most important issue. Does he need a loan
19 from the Bank of Nova Scotia or does he need an actual marriage
20 counselor? I'm not sure.

21 Q. And fair enough. At this point, are you aware whether
22 or not he has a case manager from Veterans Affairs in November

DR. PAUL SMITH, Direct Examination

1 ...

2 **A.** I don't remember that.

3 **Q.** ... December?

4 **A.** But, yes, I would assume. The case manager would have
5 been there in June of '15 and should have had developed a
6 relationship by this time. And I was ... I should be probably
7 involved, you know, as well, so ...

8 **Q.** Did you get any sense that there was another
9 professional outside of you that were ... was available to him
10 or discussed with him how to navigate through those other sort
11 of side issues ... stressors, I guess, of finances, marriage,
12 anger?

13 **A.** Well, I mean I knew as of the February visit that he
14 was going to see Bellwood, Ste. Anne's, and that another
15 physician had seen him. OSI, I assume, had arranged that ...
16 you know, that he have some extra therapy and so on at that
17 point. So I, yeah, was aware indirectly. I would say I don't
18 remember direct details like that.

19 **Q.** And if we could turn to page 18? So when you first
20 learn about Bellwood ... and what was Bellwood? What was your
21 understanding?

22 **A.** What is Bellwood?

DR. PAUL SMITH, Direct Examination

1 **Q.** Yeah. Your understanding of what that was.

2 **A.** Oh, yeah. We've had many people go through Bellwood.
3 They have an excellent reputation. It's a great opportunity to
4 get someone in for therapy in many ways. You know, it could be
5 addiction, it could be PTSD, could be alcohol, could be a bunch
6 of stuff. And they have a good reputation. I was happy to hear
7 that he was getting some extra attention that way. And anyone
8 with PTSD would jump at the opportunity to attend that service.

9 **Q.** When do you first learn about ... that he may be
10 attending Bellwood? When do you first ...

11 **A.** On the February visit.

12 **Q.** So it's not until February 23rd that you find out
13 about it.

14 **A.** Yeah.

15 **Q.** And you said you had some knowledge of what Bellwood
16 was. So I understand that was going to be an in-house sort of
17 residential treatment?

18 **A.** Yeah. So it typically could be a one- or up to three
19 month-visit; you know, a comprehensive program, in-house for
20 sure. And he seemed to be looking forward to it. And they had
21 told me he had to come off his marijuana to be admitted which
22 was standard protocol.

DR. PAUL SMITH, Direct Examination

1 **Q.** And that was going to ... Bellwood is in Quebec?

2 **A.** Yes.

3 **Q.** Did you get any indication from him as to when that
4 was going to start ... that program?

5 **A.** He said in about two weeks. I think I made a note of
6 that somewhere.

7 **Q.** Okay.

8 **A.** Yeah.

9 **Q.** So how did he seem about the opportunity to go to
10 Bellwood and get involved in this residential treatment program?

11 **A.** He seemed progressive. You know, he was looking
12 forward to it. He said, I'm off the marijuana. I'm going to
13 Bellwood. I said, Great. Are you ... you know, you're looking
14 forward to that? And he said, Well, they've taken me off the
15 marijuana. It's the only downside. But he seemed positive
16 about that.

17 **Q.** Did he seem open to the idea of sort of engaging that
18 service and putting in an effort?

19 **A.** Yeah. Pretty sure. Yeah.

20 **(14:25:57)**

21 **Q.** So did you get a sense of when he stopped cannabis?

22 **A.** He had been off it at the time I saw him, so I ... and

DR. PAUL SMITH, Direct Examination

1 they had to have him off it for two weeks or so, two ... so he
2 was off it already before I saw him. Probably, you know, by a
3 few days, I would guess. Yeah.

4 Q. And did you ... having some knowledge ... and I
5 realize that you don't know all the details of the program.
6 But, at this point, you had met with Lionel Desmond on two
7 occasions and then the third being February 23rd.

8 A. Yeah.

9 Q. You knew a little bit about him and his circumstance.
10 Did you think Bellwood was probably going to be a good sort of
11 course or direction of treatment at that point for Lionel
12 Desmond?

13 A. I would ... I thought it was an excellent next step.
14 And I knew that, you know, I was ... I probably had pushed the
15 button because that's what case workers and OSI are good ... you
16 know, they're up for stuff like that. So I thought it was a
17 natural course of events.

18 Q. So after February 23rd or at February 23rd, had you
19 written Lionel Desmond any medical prescriptions for medical
20 marijuana?

21 A. No.

22 Q. So, generally, this February 23rd visit appears to

DR. PAUL SMITH, Direct Examination

1 have been scheduled, as you indicated, back in October.

2 **A.** Yeah.

3 **Q.** What was the, I guess, initial intended purpose of the
4 visit?

5 **A.** Just a general check-up, you know? I assume the
6 intention was ... in October was to write him the prescription,
7 but he was going to Bellwood where they were taking him off it.
8 And I said, Good. Okay. Well, just ... let's just see how that
9 all pans out. Because Bellwood would be anti-marijuana at that
10 point. I don't think they are as much anymore. And they would
11 probably put him back on some pills. That was probably the only
12 concern, although I ... you know, I don't know what happened
13 there. I didn't get any communication after he went so ...

14 **Q.** Did you have any conversation with him during this
15 visit about the November occurrences and your conversation with
16 him in December where he says he's heading back to Nova Scotia?
17 Did you get into any discussion with him as to, How are you
18 doing? How did that go?

19 **A.** Oh, yeah. I asked him ... well, the ... you know, he
20 brought this form in for the gun license. And I said, What's
21 that all about? And ... is that what you're asking me?

22 **Q.** Well, in general. I'm trying to get a sense of the

DR. PAUL SMITH, Direct Examination

1 conversation and how it went with him that day.

2 **A.** On the day I saw him or ...

3 **Q.** Yeah. February 23rd.

4 **A.** ... is that ... he was ... what would I say? I guess
5 he was embarrassed by this, the event on ... the RCMP had come.
6 He said he overreacted to it and he said some things that, you
7 know, he didn't really intend, and that he was looking forward
8 to the Bellwood thing. Does that answer your question?

9 **Q.** I guess. So to sort of direct it ... so page 18 in
10 front of you ...

11 **A.** Yeah.

12 **Q.** ... it's a Medical Assessment by Physician form.
13 You're well familiar with that particular document.

14 **A.** Mm-hmm.

15 **Q.** And that, I understood, came from the Department of
16 Public Safety, Chief Firearms Office in New Brunswick?

17 **A.** Yes.

18 **Q.** And this was a form brought by Desmond to you on
19 February 23rd?

20 **A.** Yeah.

21 **Q.** So were you expecting to receive this form on February
22 23rd?

DR. PAUL SMITH, Direct Examination

1 **A.** No. It was kind of, By the way, can you sign this
2 thing?

3 **Q.** And this form in ... at the top, it says, "Reason for
4 Assessment". And it outlines the occurrence of November 27,
5 2015.

6 **A.** Yeah.

7 **Q.** And it outlines how police ... he had been threatening
8 self-harm, the comments about suicide, and then ultimately being
9 depressed. His wife had been concerned for his well-being. And
10 he was taken to the hospital where he was seen by a doctor.

11 **A.** Right.

12 **Q.** So you would have read this part obviously, this form?

13 **A.** Oh yes.

14 **Q.** And so you have the conversation you indicate with him
15 regarding that particular occurrence?

16 **A.** Correct.

17 **Q.** And you say he kind of felt embarrassed over it?

18 **A.** Yes, he said it was a bad day and I said a bunch of
19 stuff that I didn't mean. And he talked about what happened
20 that day and he saw another doctor at the emergency room, I
21 believe, and they said he was fine, the RCMP said he was fine.
22 And I said, Well, what's that all about? He said he was angry

DR. PAUL SMITH, Direct Examination

1 and said a bunch of things, you know, overreacted to whatever
2 the stresses were that day so ...

3 Q. And when you're talking about this, is there also a
4 conversation about what happened earlier in November when Shanna
5 Desmond had called you and told you he's angry, aggressive, and
6 manic?

7 A. It always came back to he was upset about money and
8 things like that. It was never that, you know, any other
9 reasons were behind his anger. It wasn't that he was that way
10 all the time. He gets really upset when the money thing comes
11 up and he overreacts to it. And I assume that's what this kind
12 of stuff was all about as well.

13 Q. So on this date when he presents you with this form,
14 you're given a description, a brief description of the November
15 27th RCMP incident. Does Lionel Desmond or anyone ever tell you
16 that there was an occurrence in Nova Scotia before this on
17 November 18th, 2015?

18 A. I didn't appreciate that, no. I thought this was the
19 event that everybody was talking about.

20 Q. So on this date, February 23rd, when you're asked for
21 your position on this firearms, whether he should possess them
22 or not ...

DR. PAUL SMITH, Direct Examination

1 **A.** Yeah.

2 **Q.** ... you have no knowledge from either Lionel Desmond
3 or Firearms that there was an occurrence in Nova Scotia where
4 the RCMP got involved on November 18th?

5 **A.** This event I thought was the only event that had
6 occurred, so yeah.

7 **Q.** Okay. And so if I indicated to you that there was an
8 RCMP occurrence in Nova Scotia as well the day after November
9 27th on November 28th the RCMP in Nova Scotia attended Lionel
10 Desmond's residence where he was seeking to retrieve his
11 firearm, other marital property, and yelling at Shanna Desmond's
12 father's house, were you aware of any of that?

13 **A.** No.

14 **Q.** So from your perspective, other than what you had
15 reported from Shanna Desmond about November and this particular
16 November 27th occurrence, that was the extent of the information
17 you had about Lionel Desmond and his circumstances in November?

18 **A.** Can you ...

19 **Q.** I guess, yeah, I'll rephrase it. So this November
20 27th description ...

21 **A.** Mm-hmm.

22 **Q.** ... and what Shanna Desmond had told you on the phone

DR. PAUL SMITH, Direct Examination

1 ...

2 **A.** Yes.

3 **Q.** ... about an incident where he was manic, that was the
4 full extent of your knowledge of occurrences in November?

5 **A.** Correct.

6 **Q.** Do you think it would have been helpful to you prior
7 to completing this form, and we're going to get into the details
8 of it, to have known maybe those other occurrences and the
9 details of those?

10 **A.** Of course.

11 **Q.** And why would that be?

12 **A.** It would have given a slightly different picture, so
13 yeah.

14 **Q.** Would you have perhaps, had you known about other
15 occurrences, would you have naturally asked Lionel Desmond about
16 them?

17 **A.** Absolutely, yeah.

18 **Q.** So this particular form, he presents it to you, you
19 understand ... did you understand the purpose of ... behind the
20 form, what it was requesting you to do?

21 **A.** They're asking for my opinion as to the FIP to his
22 application.

DR. PAUL SMITH, Direct Examination

1 **Q.** Do you ever have any discussion with Lionel Desmond on
2 February 23rd about his chances of ultimately getting his
3 firearms back?

4 **A.** Yeah, I said, Listen they're well aware of things in
5 the background here I highly doubt you're going to get this
6 application. My opinion in your ... in terms of your stability
7 is the way it was. I'm only a, you know, a link in the chain of
8 decision-making here. I didn't ... I told him I didn't think
9 that his chances were great to get his application completed in
10 a good way.

11 **Q.** So you said you understood you were a link in the
12 chain of the decision-making?

13 **A.** Correct.

14 **Q.** What do you mean by that?

15 **(14:35:54)**

16 **A.** Well, I knew there were several steps to the decision
17 to give him the license and that this opinion was only one part
18 of that whole process, and that the RCMP were obviously well
19 aware of other issues. They would have made their own
20 evaluation of what happened that day. So the ... I said I'm not
21 the one to make the final decision here, I'm just a step in the
22 final decision to be made here.

DR. PAUL SMITH, Direct Examination

1 **Q.** So at any point did you sort of think that I'm the
2 doctor, I'm answering the ultimate question as to whether or not
3 there are any concerns or whether or not he's fit to possess
4 firearms, that you or I am the one that makes the decision and
5 final decision as to whether he gets his license back or not?

6 **A.** I didn't think I was the final decision-maker here.
7 I'm simply someone they're asking my opinion on as to his
8 stability in terms of harm to himself or others, which I had
9 never ... I had never seen evidence of.

10 **Q.** Were you ever contacted by the New Brunswick Chief
11 Firearms Office or any Firearms office from New Brunswick?

12 **A.** No.

13 **Q.** Were you ever contacted by any Firearms office in Nova
14 Scotia?

15 **A.** No.

16 **Q.** So ultimately we have checked off on the form, I'm
17 assuming it was you that checked off "No, I have no concerns
18 that the applicant named above may pose a safety risk to
19 himself, herself or others"?

20 **A.** Right.

21 **Q.** And then what's the note in "Comments" below?

22 **A.** "Non-suicidal, stable, no concerns for firearm usage

DR. PAUL SMITH, Direct Examination

1 and appropriate license."

2 **Q.** So when you're evaluating and making the assessment
3 that he does not pose a safety risk to himself or others, and
4 non-suicidal and stable, no concerns, are you talking about on
5 the date of February 23rd or ... and I realize you don't have a
6 crystal ball, but when you're making that comment and checking
7 off "no", are you evaluating him in the here and now as of
8 February 23rd?

9 **A.** The opinion would have been based on what I knew of
10 Lionel through any interaction I had had with him. I'd never
11 seen any ... or we talked about the suicide and so on, you know,
12 I had not ... did not think he was suicidal nor ... and homicide
13 didn't even come up. You know, what I knew of Lionel to be was
14 anything but someone in ... unstable or unsafe to himself or
15 others, so that was my opinion. And it was ... you know,
16 whether it was based on just that one day or the other events
17 where I had a chance to meet him and so on.

18 **Q.** Had you filled out these type of forms in the past?

19 **A.** Yes.

20 **Q.** Have you, at times, filled them out in the positive
21 that yes, there were concerns?

22 **A.** Yes, and have since.

DR. PAUL SMITH, Direct Examination

1 **Q.** And have since?

2 **A.** Yeah.

3 **Q.** How did you ... and I know it's hard to sort of get a
4 full sense. Had you known about the other occurrences, November
5 28th going back to Nova Scotia looking for his firearm, yelling
6 at his father-in-law's house; the week before, November 27, RCMP
7 responding, he's manic according to his wife. Had you known
8 those occurrences is it possible that you might have assessed
9 whether or not he was a risk to himself or others a little
10 differently?

11 **A.** It's possible and, yeah, you know, I probably would
12 have wanted to speak to, you know, the adjudicator here and, you
13 know, find out more about what's going on maybe.

14 **Q.** In your experience, Doctor, in seeing patients and
15 many, many military veterans and members of the military, have
16 you ever received a call from the Firearms office?

17 **A.** I can't ever remember having conversations with them.
18 Now the ... I mean, I go on to say the new forms that they've
19 developed are wonderful. They ask questions that should be
20 asked that were not asked here. I guess in retrospect it's like
21 a "yes" and "no" is not quite maybe what we should be passing
22 on. If there's other information for them to consider, which is

DR. PAUL SMITH, Direct Examination

1 what the new form is designed to do, it collects all kinds of
2 information that could be weighed by someone who is checking out
3 all the sources and making the ultimate decision on "yes" or
4 "no".

5 Q. So ultimately when you're asked on the old forms of
6 yes/no and sort of that's it, bottom line yes/no and comments
7 ...

8 A. Yeah.

9 Q. ... currently you're of the view that perhaps that may
10 be a little restrictive?

11 A. A yes and no is very restrictive, yes.

12 Q. Yeah. In what way?

13 A. If, for instance, someone is presenting a request like
14 this and there's other evidence that could be weighed, I don't
15 know all sources of information so an opinion ... all I can do
16 is give evidence of information that could be useful. And I
17 guess if I ramble on about things that have happened in the last
18 year or so they can check out some of those sources and decide
19 ultimately if that's important or not. The newer form, I'm sure
20 you've seen it, is much more comprehensive and so on, yeah.

21 Q. And that's something you see as an improvement?

22 A. Big time.

DR. PAUL SMITH, Direct Examination

1 **Q.** Do you think ... since the new forms, do you recall
2 roughly when they came out?

3 **A.** I saw one about I think two months ago, that's the
4 first time I saw it.

5 **Q.** Have you noticed any sort of change as to whether or
6 not the Firearms office ... actually, the investigator reaches
7 out to you and speaks with you directly for your comments? And
8 I appreciate you're very busy but ...

9 **A.** Yeah, I would welcome that. With the newer forms they
10 may not have to as much because they're getting, you know, other
11 pieces of information that they might weigh, you know, more
12 heavily one way or the other and so on. So this form, yes and
13 no, I almost expected to ... for him to be turned down, and I
14 almost was hoping that I would have a conversation with someone,
15 though ... anyway, it's improved that's all I can say that way.

16 **Q.** And so ultimately, Doctor, that particular day
17 February 23rd when you checked off "no" to concerns about risk
18 to safety to self and others and you made the note of "non-
19 suicidal, stable, no concerns for firearms usage and appropriate
20 license", are you able to generally say what did you consider
21 when you reached that conclusion and indicated that?

22 What were the things that went in to your consideration to

DR. PAUL SMITH, Direct Examination

1 formulate that view?

2 **A.** That's a complex answer but, you know, he was very
3 upfront with his feelings; he wasn't an alcohol or drug user; he
4 was very open with his feelings; and he had things to live for.
5 He had a group of friends. He loved his daughter at least and
6 ... anyway, I didn't see any of the instability. His suicidal
7 thinking had dropped dramatically and my analysis of stable and
8 unstable is based on those kinds of pieces of information.

9 **Q.** In terms of the visit itself February 23rd, this
10 appears to be the only document in the file referencing that
11 visit. Is there a particular reason why there were no other
12 charts or reports?

13 **A.** What kind of ...

14 **Q.** I guess in ... we saw the visit of July 2nd, there was
15 an amount of paperwork there with various notes on it, October
16 1st same thing, multiple pages, various notes, but February 23rd
17 we just have a copy of this Firearms form that you were asked to
18 fill out.

19 **A.** Yeah.

20 **Q.** Is there a reason why there was no other particular
21 chart notes or reports for that visit?

22 **A.** I think this became the focus of our discussion, plus

DR. PAUL SMITH, Direct Examination

1 he was off marijuana and I was kind of out of the picture at
2 that point because he's no longer, you know, using it and
3 potentially probably ... well, I didn't know if he was going to
4 be using it again. If he was, we would have made much more
5 notes.

6 I asked him why he wanted a firearm license and he was a
7 hunter and enjoyed that aspect and I knew he was a lover of
8 Mother Nature and so on. So, you know, I think it spent ... or
9 I spent most of time discussing this topic here and that the
10 marijuana was kind of out of the picture at this point.

11 **(14:46:01)**

12 **Q.** So naturally when you were asked to provide your
13 opinion and sort of sign off this form one way or another, you
14 had spoken to Lionel Desmond on July 2nd, October 1st, some
15 point in December ...

16 **A.** Yes.

17 **Q.** ... so you would have only spoken to him on three
18 prior occasions?

19 **A.** We ... no, we had seen him socially at our events ...

20 **Q.** Okay.

21 **A.** ... and he had shown up a few times, I can't remember
22 exactly how many. But he was gregarious. He had lots of

DR. PAUL SMITH, Direct Examination

1 friends, they'd come and go at our place with the open house
2 concept that we had. So, again, that was part of knowing that
3 he was socially connected, lots of friends. And I guess in
4 retrospect, I mean, everyone says he was the last one that they
5 would have thought that would have go on to ... you know, to
6 this kind of an event.

7 We all thought that way, you know. He was very ... he had
8 a sense of humour and, you know, enjoyed his friends and he had
9 quite a social network in New Brunswick at least, I don't know
10 about Nova Scotia, but he was connected.

11 Q. And I'm not trying to by any means diminish your
12 qualifications and expertise, but is it fair to say that when
13 you're completing a form such as this and assessing suicide
14 risk, assessing mental stability, concerns of firearm usage or
15 possession, that's a very psychologically focused?

16 A. Yes.

17 Q. It's very mental health related?

18 A. (No audible response.)

19 Q. I just noted you nodded. I'm assuming "yes" ...

20 A. Yes.

21 Q. Is that right?

22 And I'm mindful of the fact that you're a doctor that has

DR. PAUL SMITH, Direct Examination

1 significant more experience than most family practitioners or
2 physicians with patients with mental health it's pretty
3 significant, do you think that psychiatry or a report from a
4 psychiatrist may be helpful in answering that type of decision
5 rather than sort of unload it to the general practitioners?

6 **A.** I think a specialist in this field, now whether it's a
7 psychiatrist or just someone trained to analyze this kind of
8 thing. But, again, they'd come back to people like myself that
9 knew him or others that knew him as well to collect the
10 information so my attitude would still be uploaded to someone
11 like that. And yes, it would be nice to have someone with a lot
12 more expertise than a family doc that, you know, we're not
13 specifically trained to analyze these things as well as a
14 psychiatrist or someone equivalent to that.

15 **Q.** And I note as well that you had some knowledge that he
16 was being treated and seen by Dr. Joshi for quite some time?

17 **A.** Yes.

18 **Q.** When you filled out this form, did you have knowledge
19 of whether or not the Firearms Office was going to reach out to
20 those other doctors to see what they thought as well?

21 **A.** Again, I thought I was only a single step in the chain
22 so I assumed they were analyzing several sources to make that

DR. PAUL SMITH, Direct Examination

1 decision. I didn't know ... or I didn't know if they were going
2 to reach out to Joshi or not but ...

3 Q. Did you see any particular value in prior to
4 completing that form and making that decision that you
5 formulated to say, You know what, there seems to be a long
6 medical history with Lionel Desmond that you were aware of in
7 general ...

8 A. Yeah.

9 Q. ... that maybe I ought to sort of defer or look back
10 into before providing that opinion?

11 A. I don't understand your question.

12 Q. I guess I'll break it down. So when you met with
13 Lionel Desmond over that period of seven months ...

14 A. Yes.

15 Q. ... you knew that he had previous involvement with
16 other mental health professionals such as a psychiatrist?

17 A. Right.

18 Q. And so prior to making the decision to sort of fill
19 out the form and indicate your opinion, did it ever cross your
20 mind that maybe I ought to look at those charts, those reports,
21 to gather up more information about Desmond prior to reaching my
22 conclusion?

DR. PAUL SMITH, Direct Examination

1 **A.** I didn't think that was my job. My job was to give my
2 opinion as to my interactions with Lionel. I thought the job
3 ultimately was the adjudicator of Firearms to search as many
4 sources as they felt to feel satisfied with "yes" or "no". And
5 I knew I wasn't the only source by any means, there's RCMP, the
6 doc at the emergency room he saw and other prior docs, and
7 probably some other treating physicians, you know, such as OSI.
8 Whoever sent him to Bellwood, I wasn't quite sure exactly who
9 that was at that point, but I knew there was other docs
10 involved. So I felt I was just an opinion that would be, yes,
11 you know, weighed into the collection of information that would
12 have surfaced as a result of that being looked at.

13 **Q.** Okay.

14 **A.** Yeah.

15 **Q.** If we could turn to page 25 of Exhibit 140. So,
16 Doctor, this is page 2 of Dr. Joshi's September 28, 2011 report
17 that you indicated was provided to you, you had reviewed with
18 Mr. Desmond, you were familiar with.

19 **A.** Yeah.

20 **Q.** I'm just going to draw your attention in particular to
21 sort of the end of the report where it says, "Occupational
22 MELS". And the note indicates referring to Lionel Desmond and

DR. PAUL SMITH, Direct Examination

1 his diagnosis of PTSD/major depressive disorder it says, "Unable
2 to work in safety-sensitive positions, example, at heights, with
3 hazardous equipment or with live weapons (it says) on
4 ammunition."

5 So it specifically makes reference to "unable to work with
6 live weapons". Did you review that with Lionel Desmond?

7 **A.** You'll notice up in the bracket above that it says
8 "JPSU".

9 **Q.** Yes.

10 **A.** In order to go to JPSU you are signed off of active
11 duty. This is a standard rubber stamp to say you don't drive
12 their vehicles, you don't work with their guns anymore or do
13 anything except receive therapy. So that's a standard.
14 Everybody that goes to JPSU whether they have a sore back or
15 PTSD would receive this rubber stamp to say that they're no
16 longer serving. That's what JPSU is, is a process of out the
17 door. So that's not meant to be a statement that he's any more
18 than not serving. That's what that means.

19 **Q.** So you understood that section to mean in a strict
20 sort of military serving context he shouldn't have firearms?

21 **A.** Right. Because Joshi had gone on in his statement he
22 made it very clear he thought he was not suicidal and homicidal

DR. PAUL SMITH, Direct Examination

1 in so many words.

2 Q. Mm-hmm.

3 A. And he had certainly given his opinion as to the fact
4 that he was okay to have a firearms license and so on.

5 Q. What if you had other documents in Joshi's reports
6 that said he was suicidal, he hadn't been coping well, had shown
7 a number of significant symptoms of PTSD, could that have
8 altered your view when you signed off?

9 A. It would have been weighed into my opinion, you know,
10 if he had been actually suicidal. No one had ever said he was
11 suicidal, including Lionel at different occasions. And I asked
12 him that directly, there was ... you know, there was no qualms
13 about it, that was not on his list of things to do. He was
14 thinking only one out of a scale of ten now which is very
15 minimal and I didn't think that was ... and he didn't certainly
16 admit to any thinking along those lines.

17 Q. But had you seen reports that indicated that he was
18 suicidal ...

19 A. At one point you mean?

20 Q. Yes. Would you have considered that prior to signing
21 the form?

22 A. That would have weighed in to the factors, right.

DR. PAUL SMITH, Direct Examination

1 Yeah.

2 Q. If we could turn to Exhibit 67, page 26. If we could
3 scroll down. Okay, just leave it there for a second. If we
4 could just have one second, Your Honour. If we can maybe turn
5 to page 27.

6 **(14:56:24)**

7 So, Doctor, I realize that you don't have the benefit, and
8 you may have never seen this report, basically, in December ...
9 on December 2nd of 2015 or '16, Lionel Desmond is assessed by a
10 doctor, a psychiatrist Ian Slayter at a clinic in Antigonish and
11 Dr. Slayter did a fairly comprehensive assessment of Lionel
12 Desmond and had Lionel Desmond sort of recount a number of
13 things to him. Clearly this happens well after your involvement
14 with Lionel Desmond to put it in perspective, and we know Lionel
15 Desmond had been off medical cannabis for months prior to this
16 assessment. But in particular where it says "Past psychiatric
17 medications" and it indicates:

18 He says he tried several antidepressants but
19 cannot clearly remember which ones he tried.

20 Fluoxetine and steraline or sterline (am I
21 pronouncing) ...

22 A. Sertraline.

DR. PAUL SMITH, Direct Examination

1 **Q.** ... sertraline may have been included. He
2 does not recall any medications as helping.
3 He was tried on medical ... he was tried on
4 marijuana by medical permit and found it
5 helped him sleep but made his thoughts of
6 jealousy worse.

7 **A.** Right.

8 **Q.** Did Lionel Desmond report that sort of side effect or
9 symptom to you during your time with him?

10 **A.** No.

11 **Q.** As well, if we could turn to page 26 and scroll down a
12 little bit. Keep scrolling down. Okay, leave it there. Could
13 you scroll down a little bit more. That's it.

14 So, in any event, Doctor, you would agree he appears to be
15 indicating to Dr. Slayter that medical marijuana had not been
16 working for him in some regard?

17 **A.** Made him more jealous it said ...

18 **Q.** Yeah.

19 **A.** Yeah.

20 **Q.** Did he indicate to you at all that medical marijuana
21 ... other than being positive, did he indicate any negative
22 effects of medical cannabis to you?

DR. PAUL SMITH, Direct Examination

1 **A.** No.

2 **MR. RUSSELL:** I'm trying to find a passage, Your Honour, I
3 had it, I apologize, and I had it flagged and I missed it.

4 So, Doctor, I'm going to draw your attention to, on the
5 screen, the second paragraph. I again apologize, Your Honour,
6 for not having this lined up a little more perfectly. The
7 paragraph that starts with "His symptoms of PTSD ...", do you
8 see that?

9 **A.** Yes.

10 **Q.** So I'm going to go down to midway through the
11 paragraph and it starts with:

12 He began to experience frequent nightmares
13 of his wife cheating on him. He related the
14 nightmares to the marijuana prescribed for
15 the PTSD. During the day, when around his
16 wife, particularly after nightmares of her
17 cheating on him he becomes angry with her
18 and believes that she might be cheating on
19 him. At other times he is able to detach
20 from those thoughts and realize that she is
21 not cheating on him.

22 **Q.** So again he appears to indicate to Dr. Slayter that

DR. PAUL SMITH, Direct Examination

1 he's equating some negative effects of being prescribed medical
2 cannabis.

3 **A.** Yeah.

4 **Q.** Any time in your meetings with him, while you were
5 prescribing the medical cannabis, did he report any of that
6 information to you?

7 **A.** No.

8 **Q.** Did you get any suggestion from him whatsoever that
9 that was a concern or that was the case?

10 **A.** The cheating part? No.

11 **Q.** Or that it was making his thoughts worse.

12 **A.** No.

13 **Q.** Had he reported that information to you, would you
14 have taken sort of any steps to maybe change course?

15 **A.** Paranoia, as we talked about this morning, comes from
16 too much THC. It lasts for three hours and it's potentially
17 happening when you overuse marijuana. It should only last for
18 three hours again. So if it's there more than three hours
19 there's something else going on. There's either a real concern
20 or there's a paranoid state, which probably is not related to
21 marijuana. But there's no question that excessive marijuana can
22 cause paranoid feelings and thoughts for three hours if it's

DR. PAUL SMITH, Direct Examination

1 smoked or, you know, excessive doses at least.

2 Q. And I do want to make very clear that you had not seen
3 him since February of 2015 ...

4 A. Yeah.

5 Q. ... or 2016. And he had not been under your care
6 quite some time leading up to assessment.

7 A. Right. But does this mean he was on marihuana at this
8 time?

9 Q. When he is reporting to Dr. Slayter he's reporting
10 about his period of time where he was prescribed medical
11 cannabis.

12 A. Okay.

13 Q. And that's what he's reporting.

14 A. Yeah.

15 Q. I'm just going to ask you a last series of questions.
16 I guess if we can start with page 30 of Exhibit 140. Doctor, we
17 have a number of pages, I guess, that go from 30 to 48 in Lionel
18 Desmond's chart.

19 A. Right.

20 Q. Or records as applied to his treatment at your office.

21 A. Yeah.

22 Q. And this document was "Physicians and **Firearms Act**: A

DR. PAUL SMITH, Direct Examination

1 Dilemma". Did you research this at the time you were treating
2 Lionel Desmond?

3 **A.** No.

4 **Q.** And this document, you would agree, it talks about the
5 physician's duty to report issues or concerns with respect to
6 firearms.

7 **A.** Yes.

8 **Q.** And it does refer to filling out the exact forms that
9 you had filled out on February 23rd.

10 **A.** Yeah.

11 **Q.** So how did this information come about? Who gave you
12 this information or why were you seeking it?

13 **A.** Well after the event, you know, we had kind of
14 gathered together to figure out what we knew of things and I had
15 asked one of my counsellors, who is a vet who volunteers his
16 time - he's done counseling courses and so on - to look at the
17 information, to dig up as much information as he could so we
18 could have a discussion about the whole topic.

19 So in preparation for that meeting, this is his research.
20 He had presented these pieces of information and we had several
21 counsellors and myself and I think some of the coaches were at
22 that meeting just to try to make sense of what had gone on

DR. PAUL SMITH, Direct Examination

1 ourselves. And this was just some of the information that he
2 had dug up from the internet, I think.

3 Q. And if we look down at the bottom there's a series of
4 handwritten notes throughout these pages to the end of the
5 records, page 48.

6 A. Yeah. Right.

7 Q. And it said, "Firearms officer's responsibility". Is
8 that your handwritten note?

9 A. No.

10 Q. Whose is that?

11 A. That's my counsellor.

12 Q. Trying to gather up some information as to ...

13 A. Yeah. Like I say, he was presenting as much
14 information as he could to the group to, you know, form a
15 discussion, bring up topics, and try to make sense of, you know,
16 where this whole topic was.

17 Q. Like are you able to say where this particular
18 document comes from?

19 A. I think he got it from the internet as far as I know.

20 Yeah.

21 Q. What group is CMPA?

22 A. What is CMPA?

DR. PAUL SMITH, Direct Examination

1 Q. Yeah.

2 A. Canadian Medical Protective Association.

3 Q. And is it possible that this is supposed to say to you
4 this is sort of a directive or an outline from that?

5 A. Yeah, it seems that way, yeah.

6 Q. If we look up towards the top. And it says, "Cause
7 for concern". And I'll just read in there. It says:
8 "Thoughtful and prudent physicians should think carefully about
9 providing such a letter. For example, due physicians have a
10 duty to respond to such requests?"

11 **(15:06:59)**

12 This is referring to a similar type of a form that you had
13 filled out on February 23rd?

14 A. Right.

15 Q. What is your understanding of your obligation as a
16 physician to fill out that particular form for firearms?

17 **THE COURT:** I'm going to stop you just for a sec.

18 **MR. RUSSELL:** Sure.

19 **THE COURT:** So we have a document that comes from some
20 place. Dr. Smith thinks it's coming from the internet, a
21 counsellor dug it up for him and presented it to him and it
22 winds up in the file. When you read the document it looks like

DR. PAUL SMITH, Direct Examination

1 it may very well be from CMPA, some form of directive. When the
2 doctor is being asked a question with regard to what's on the
3 file the only way he can be asked that question is on the
4 assumption that this is a directive that he would follow from
5 CMPA. Otherwise he's being asked a question about something
6 that just arrives at his office that may be from the internet
7 and may not be from any kind of ... I'm going to call legitimate
8 governing source or an advisory source for him, in which case I
9 don't know how relevant it would be. So that's the observation
10 I can make for a minute.

11 Mr. Hayne?

12 **MR. HAYNE:** Yes, thank you, Your Honour. My
13 understanding is ... and I just looked on the internet myself,
14 and this document appears to be a document that's available on
15 the internet.

16 **THE COURT:** From what? Who makes it available?

17 **MR. HAYNE:** Well, this is me, but from my ...

18 **THE COURT:** Sure.

19 **MR. HAYNE:** ... investigation, it's from a CMPA website.

20 **THE COURT:** Okay.

21 **MR. HAYNE:** However, characterizing it as a directive, I
22 think, is where I get uncomfortable. It's information that's

DR. PAUL SMITH, Direct Examination

1 provided.

2 **THE COURT:** Advice. Well, when you put it out you're
3 not putting it out to ... who are they putting it out to? We're
4 having a theoretical discussion here.

5 **MR. HAYNE:** Sure.

6 **THE COURT:** I'm not going to ask you to speak for them
7 directly but when CMPA puts that out they're putting it out to,
8 what, advise, generally, doctors? Advise individuals who deal
9 with doctors?

10 **MR. HAYNE:** I mean their website, if you look at it, has
11 a number of pieces of information on medical/legal things, and
12 whether it's information or advice or a directive, I think you'd
13 have to ask someone from CMPA as to what their intent was.

14 **THE COURT:** Okay. Are there any disclaimers saying,
15 There are doctors who might read this, don't necessarily follow
16 this and we disavow whatever information might be in here?

17 **MR. HAYNE:** Well, I'm not personally, myself, aware of
18 that. There is a disclaimer. Just looking at it now at the
19 end:

20 This information contained in this learning
21 material is for general educational purposes
22 only and is not intended to provide specific

DR. PAUL SMITH, Direct Examination

1 professional medical or legal advice nor to
2 constitute a 'standard of care' for Canadian
3 healthcare professionals. The use of CMPA
4 learning resources is subject to the
5 foregoing, as well as CMPA term of use.

6 So it's information that's presented in this context.

7 **THE COURT:** Right.

8 **MR. HAYNE:** And I got a little concerned when the word
9 "directive" was used, but I think with that disclaimer and this
10 characterization, well, thank you for raising it and I like
11 having the opportunity to present that aspect on the record.

12 **THE COURT:** Yeah. So we've had a discussion on the
13 context of the question. So we have a document that I'm
14 satisfied that it can be found on the CMPA website. It has a
15 disclaimer. There's a disclaimer attached to it. So in that
16 context, Mr. Russell is asking the question of Dr. Smith.

17 **MR. RUSSELL:** Yes, Your Honour, and I used the word ...

18 **THE COURT:** You might have to re-ask your question.
19 It's been a long time since we heard it.

20 **MR. RUSSELL:** I used the word "directive" and I used it
21 overly loosely.

22 **THE COURT:** Mmm.

DR. PAUL SMITH, Direct Examination

1 **MR. RUSSELL:** Certainly, I did.

2 Doctor, I'm just trying to get your understanding as a
3 physician of whether or not, are you on the understanding that
4 you have an obligation to fill out these requests from firearms
5 officers as a physician running a practice?

6 **A.** I assume if I'm asked I would give an opinion. I'm
7 all for mandatory firearms reporting even. Someone who's found
8 to be with a license that no longer should have one, it should
9 be mandatory reporting. I don't think that's happening yet. I
10 think for the safety of our society that mandatory reporting
11 would be an important issue.

12 **Q.** And ...

13 **A.** And things change fast sometimes and I'm not sure if
14 we have the ability to report that kind of thing. So if that
15 answers your question. I'm all for disclosure of information
16 for people for public safety. That would be my answer.

17 **Q.** And my second question is, when you get such a request
18 to fill out that form and provide details do you sort of have a
19 firm understanding of what your obligations are and limits of
20 the details of information that you can share with the firearms
21 office such as the whole history of your visits with your
22 patient, et cetera?

DR. PAUL SMITH, Direct Examination

1 **A.** I'm not clear on what the law would say about that.
2 My own opinion would be that I would give as best disclosure as
3 I could for public safety.

4 **Q.** So would you be able to provide a firm answer today if
5 a firearms officer called you and said, Can we have access your
6 charts, your reports, your background, your meeting? Whether or
7 not you could disclosure that information in the context of
8 filling out that form?

9 **A.** I would probably phone my College first and find out.
10 So ... and I don't know the legal answer to that question.

11 **Q.** Okay. Finally, Doctor, in general, and I know we've
12 asked you a lot of questions here today and in the meetings
13 prior. Clearly, you became involved in this whole process and
14 tragic set of circumstances. With your experience, could we get
15 your sort of insight as to is there anything that could be sort
16 of improved, changed, or recommended to make your job and your
17 role a little easier? Kind of looking back in hindsight.

18 **A.** The communication thing has come up several times. I
19 think information availability to whoever treats someone would
20 be an item if you can get around the confidentiality issues and
21 so on. I think there would be a lot of discussion about that in
22 many directions. I think that in terms of improving programs

DR. PAUL SMITH, Direct Examination

1 it's all about allowing people to develop relationships. If
2 they've lost a major relationship I think any program has to,
3 you know, enhance a new valuable relationship for the
4 individual. Without relationships, they commit suicide. It's
5 that simple.

6 So the relationships can be with many things. So if you're
7 talking about improving DVA programs. You know, even the
8 discharge programs are lacking tremendously. We've got other
9 nations that do it correctly. They have less than one percent
10 PTSD. They accept the trauma. They see these people as
11 valuable. They embrace them and they say, We're okay, we've
12 done the same thing, we know what you've done. Our PTSD
13 soldiers are chastised. They're treated like lepers. We're
14 cast to the wind, and really, it's all about pills and
15 psychotherapy. It's pathetic. There's no developing of
16 relationships, which makes the world happen. Without those, we
17 need to redesign and rethink things.

18 And, you know, so there's lots of ideas along those lines.
19 Is that kind of what you're looking at?

20 Q. And I guess would you have anything specific in mind
21 as to how that could be sort of implemented, what sort of
22 structure?

DR. PAUL SMITH, Direct Examination

1 **A.** Well, it starts right back from, you know, when
2 someone has PTSD in our military they don't want to talk about
3 it. It goes on for years because they don't want to lose their
4 job. It's okay to have PTSD. It happens to normal people.
5 You know, if they're treated better when PTSD happens ... it's
6 going to happen. It happens in every battle that ever happened
7 in the beginning of time, but the response to that is, is it
8 accepted or is it not accepted? You get kicked out of the
9 military where you no longer belong to anybody and you're
10 chastised and treated like a leper.

11 **(15:15:48)**

12 Or are you embraced and said, Thank you for your service,
13 we love you and you're still part of our society, instead of
14 being chastised to the point where you say, I don't even know if
15 I belong to this society anymore, they don't like me anymore,
16 they've kicked me out of the military, I've lost my job, I've
17 lost my family. And there's no discourse for that. You know,
18 it comes right back to that place.

19 **Q.** In terms of your experience with the number of
20 veterans and military soldiers that you treat in your practice,
21 do you see any concerns with respect to sort of that transition,
22 that handoff from between the OSI clinics, ultimately, back to

DR. PAUL SMITH, Direct Examination

1 the community and seeing doctors out in the community? Do you
2 see any gaps there or concerns that you'd like to elaborate?

3 **A.** There's some major issues ... yeah, the communication
4 is lacking, but a lot of it comes from lack of trust with OSI.
5 The guys go to OSI for a while. They lose trust because it's
6 part of that system that just kicked them out. They don't want
7 anything to do with them. They're extremely angry. They often
8 lose their ability to interact with OSI because they're talking
9 about pills too much and psychotherapy too early in the process
10 and they've been lost to their treatment programs.

11 So the lack of trust. There's a large percentage of guys
12 that no longer want to deal with the system at all, and they're
13 the ones that I see and that are probably the most volatile and
14 dangerous people in the world, you know, in terms of risk to
15 themselves. And, again, it comes back to the thinking of how do
16 those programs embrace that soldier? You know, what are the
17 attitudes? Oh, you've got PTSD, you're no longer part of our
18 society for some reason. So ...

19 **Q.** Do you see any practical examples of how that handoff,
20 I'm going to call it, can be improved upon? Like practical,
21 concrete sort of examples where a veteran is in the OSI clinic.
22 There may be a lack of trust. They're then sent back out to the

DR. PAUL SMITH, Direct Examination

1 community and then they see you. Is there any sort of practical
2 things that you can think of to make that transition easier that
3 could be implemented?

4 **A.** That's so complex that I'm not sure I can give you a
5 good answer to that one.

6 **Q.** That's fine.

7 **A.** Communication is what it's all about, and attitudes of
8 how you handle people. Treat them with respect. The typical
9 conversation with DVA is I'm on the phone for a half an hour
10 listening to music and then when they do talk to you it's like
11 they don't know anything about you and you're just a number.
12 There's no warmth. There's no respect there to speak of. You
13 lose trust very rapidly as a result of that process.

14 And that may just be a matter of lack of resources. There
15 could be an attitude. Let's, you know, give these guys a hard
16 time. They take it all very personal, of course, when it's
17 probably just the system that's lacking the resources to
18 actually respond appropriately.

19 **Q.** That would be all the questions for counsel, Your
20 Honour.

21 **THE COURT:** All right. Thank you, Mr. Russell. In the
22 normal course of events we would sit till 4:30 and there's seven

DR. PAUL SMITH, Direct Examination

1 lawyers that might have questions for you. We won't get that
2 done today. Hopefully you've made arrangements to come back for
3 tomorrow?

4 **A.** If there's questions.

5 **THE COURT:** All right. I know that we had planned on
6 doing something else this afternoon. I had told counsel that I
7 was going to deal with an application for standing this
8 afternoon as well. So I think that we started at 1:30. It's
9 almost 3:30. I think we're going to break for the day today
10 with Dr. Smith. Dr. Smith will be back here tomorrow morning
11 for 9:30. Dr. Smith?

12 **A.** Are there questions for tomorrow?

13 **THE COURT:** There are. There are questions. I assume,
14 Ms. Ward, you're going to have questions, are you?

15 **MS. WARD:** Yes.

16 **THE COURT:** Ms. Lunn?

17 **MS. LUNN:** I don't believe so, My Lord.

18 **THE COURT:** Perhaps not. Ms. Whitehead?

19 **MS. WHITEHEAD:** No, I don't think so.

20 **THE COURT:** Do you expect questions? No?

21 **MR. MACDONALD:** Yes, Your Honour.

22 **THE COURT:** Mr. Macdonald will have questions. Ms.

DR. PAUL SMITH, Direct Examination

1 Miller is likely to have some questions.

2 **MS. MILLER:** Yes, Your Honour.

3 **THE COURT:** Mr. Rodgers is likely to have some
4 questions?

5 **MR. RODGERS:** Yes, Your Honour.

6 **THE COURT:** Yes? And Mr. Hayne would have that
7 opportunity to ask questions as well, apart from whatever
8 follow-up and apart from whatever questions I might have as
9 well. I might have a few.

10 So there will be some questions tomorrow. I'm hopeful you
11 would be out of here by lunchtime, I guess. That's what we
12 would shoot for. All right?

13 All right. Thank you. So we're going to adjourn the
14 hearing for now and we'll be back here at 9:30 tomorrow morning
15 and I had had a brief discussion with Dr. Smith earlier and he's
16 aware of the fact that he's in the middle of his evidence and
17 shouldn't discuss it with anyone. That would be a standard
18 rule. You'll be aware of that standard rule. So you can talk
19 about anything else you like but your evidence. All right.
20 Thank you.

21 **A.** Yeah.

22 **THE COURT:** And those that are here for the application

DR. PAUL SMITH, Direct Examination

1 for standing, we'll deal with that very shortly. All right?

2 Thank you.

3

4 **COURT CLOSED (15:22 HRS)**

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19


20

21

22

CERTIFICATE OF COURT TRANSCRIBER

I, Margaret Livingstone, Court Transcriber, hereby certify that the foregoing is a true and accurate transcript of the evidence given in this matter, **re Desmond Fatality Inquiry**, taken by way of electronic digital recording.



Margaret Livingstone

(Registration No. 2006-16)

Verbatim Inc.

DARTMOUTH, NOVA SCOTIA

February 28, 2020