

CANADA

PROVINCE OF NOVA SCOTIA

IN THE MATTER OF THE  
*FATALITY INVESTIGATIONS ACT*

S.N.S. 2001, c. 31

**THE DESMOND FATALITY INQUIRY**

---

**TRANSCRIPT**

---

**HEARD BEFORE:** The Honourable Judge Warren K. Zimmer

**PLACE HEARD:** Port Hawkesbury, Nova Scotia

**DATE HEARD:** March 2, 2021

**COUNSEL:** Allen Murray, QC, Inquiry Counsel  
Shane Russell, Esq., Inquiry Counsel  
  
Lori Ward and Melissa Grant,  
Counsel for Attorney General of Canada

Glenn R. Anderson, QC, and Catherine Lunn  
Counsel for Attorney General of Nova Scotia

Thomas M. Macdonald, Esq., and  
Thomas Morehouse, Esq.  
Counsel for Richard Borden, Thelma Borden and  
Sheldon Borden  
Joint Counsel for Aaliyah Desmond

Tara Miller, QC,  
Counsel for Estate of Brenda Desmond  
(Chantel Desmond, Personal Representative)  
Joint Counsel for Aaliyah Desmond

Adam Rodgers, Esq.  
Counsel for Estate of Lionel Desmond  
(Cassandra Desmond, Personal Representative)

Roderick (Rory) Rogers, QC, Karen Bennett-Clayton  
and Daniel MacKenzie,  
Counsel for Nova Scotia Health Authority

Amy MacGregor  
Counsel for Dr. Faisal Rahman and Dr. Ian Slayter

INDEX

<u>March 2, 2021</u>	<u>Page</u>
<u>DR. ROBERT OUELLETTE</u>	
Direct Examination by Mr. Russell .....	6
Cross-Examination by Mr. Macdonald .....	124
Cross-Examination by Mr. Rodgers .....	133
Cross-Examination by Ms. Miller .....	156
Cross-Examination by Ms. Ward .....	187
Cross-Examination by Ms. MacGregor .....	198
Re-Direct Examination by Mr. Russell .....	201

**EXHIBIT LIST**

<b><u>Exhibit</u></b>	<b><u>Description</u></b>	<b><u>Page</u></b>
P-000256	<i>Curriculum Vitae</i> of Dr. Robert Ouellette	8
P-000254	Ste. Anne's Hospital - medical records	43
P-000255	Supplemental Documents - Ste. Anne's Hospital Medical Records	170

1 March 2, 2021

2 COURT OPENED (09:35 HRS.)

3

4 THE COURT: Thank you. Good morning.

5 COUNSEL: Good morning, Your Honour.

6 THE COURT: Good morning, Dr. Ouellette.

7 DR. OUELLETTE: Good morning.

8 THE COURT: Perhaps before we begin we could have Dr.

9 Ouellette sworn, please.

10

11

12

13

14

15

16

17

18

19

20

21

22

1 **DR. ROBERT OUELLETTE, sworn, testified:**

2

3 **THE COURT:** Thank you for your making yourself available  
4 to us today, Dr. Ouellette. I think that we're going to begin  
5 this morning with Mr. Russell is going to have some questions  
6 for you. If you have difficulty either seeing us or hearing us  
7 you just have to let us know and we may be able to explore the  
8 issues at least in some limited capacity. All right.

9 **A.** Yes.

10 **THE COURT:** Thank you. Mr. Russell?

11

12 **DIRECTION EXAMINATION**

13

14 **MR. RUSSELL:** Good morning, Doctor.

15 **A.** Good morning.

16 **Q.** We meet again.

17 **A.** Yes.

18 **Q.** Ideally we would have been meeting in person; however,  
19 it's a different year for sure.

20 **A.** Yes.

21 **Q.** So, Doctor, if at any point I cut out, as the Judge  
22 indicated, just signal, let us know, we can stop things. As

**DR. ROBERT OUELLETTE, Direct Examination**

1 well, I understand that you are bilingual, however, English may  
2 not be your first language.

3 **A.** No, it's not my first language but I can understand  
4 very well. Sometimes I have to find some words and I have my  
5 papers with me now for that.

6 **Q.** Sure, so if at any point, Doctor, you need to take a  
7 moment or if you need me to rephrase a question, just let me  
8 know.

9 **A.** Okay. Thank you.

10 **Q.** So, Doctor, I'd like to start a little bit this  
11 morning. If you could state your full name and we're going to  
12 review your qualifications.

13 **A.** Okay. My name is Robert Ouellette.

14 **Q.** And, Doctor, I understand that you've been a  
15 psychiatrist for quite some time. How long have you been a  
16 psychiatrist?

17 **A.** Since 1974.

18 **Q.** And, Doctor, we're going to pull up on the screen what  
19 is marked as Exhibit ... I guess it would be the most recent  
20 exhibit, it just came in last night.

21 **THE CLERK:** 256.

22 **MR. RUSSELL:** 256.

**DR. ROBERT OUELLETTE, Direct Examination**

1 **EXHIBIT P-000256 - CURRICULUM VITAE OF DR. ROBERT OUELLETTE**

2 **A.** Mm-hmm.

3 **Q.** Now this is a CV of your qualifications that we had  
4 transcribed. It won't be my intention to review everything  
5 obviously in your CV, I'm more interested in I guess if we could  
6 start more recently and just sort of work our way back a little  
7 bit.

8 What is your current position?

9 **A.** Actually, I work at the Ste. Anne's Hospital for  
10 Veterans in Montreal and I've been there since 2010 as a general  
11 psychiatrist and also as a psychiatrist in the stabilization and  
12 residential programs.

13 **Q.** So prior to 2010 when you first became involved with  
14 Ste. Anne's, where had you been prior to that time?

15 **A.** Okay. In 2009 I was working ... I did work for a year  
16 at the Saint-Jean Base, military base, with recruits for a year,  
17 replacing another psychiatrist who was taking a year vacation.

18 And since 2001 until 2009 I worked at the Valcartier Base,  
19 CF Base near Quebec City and I was a psychiatrist there for  
20 active military members.

21 **(09:40)**

22 **Q.** So I wonder, if you could tell us from 2001 to 2009

**DR. ROBERT OUELLETTE, Direct Examination**

1 thereabouts, the first base you would have worked at, what was  
2 your role and what ... who were the type of clients that you  
3 would have seen?

4 **A.** Well, then I was a clinical director of the team, we  
5 were four psychiatrists there at that time, and my work was  
6 assessing and treating active members of the CF coming back from  
7 missions mostly. Those missions at that time were missions in  
8 Bosnia and Haiti and some members from previous missions, but  
9 mostly Bosnia, and then after that there were missions in  
10 Afghanistan. So I was the psychiatrist there (on-site?) one of  
11 four psychiatrists working there for assessing and treating  
12 patients with a team.

13 **Q.** And you indicated in 2009 you went to a second base.  
14 What base was that, the second one?

15 **A.** 2009 I came back to Montreal and then they asked me to  
16 replace a psychiatrist at the Saint-Jean Base, which is a base  
17 for recruits, young members, coming in the CF, and I did a  
18 replacement for a year.

19 **Q.** So, Doctor, is it fair to say that from, I guess,  
20 about a 20-year period your client base has solely been members  
21 of the military or former members of the military?

22 **A.** Yes, that's true.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**   And so, Doctor, if you could tell us a little bit  
2 about your sort of post-secondary training in that 20-year  
3 period as it relates to diagnosing, treating members of the  
4 military or former members of the military.

5           **A.**   Excuse me, post-military training?

6           **Q.**   Post-second ... I guess training - any training you  
7 would have received outside of your general experience ...

8           **A.**   Oh yes.

9           **Q.**   ... in the last 20 years.

10          **A.**   Well, it was part of our work and our work there to  
11 have some training from specialists in post-traumatic stress  
12 disorder every year then. And, for example, I remember there  
13 were two weeks' training with (Pascal Briand?) the specialist in  
14 PTSD there, and there were all kinds of training there.

15          **Q.**   And, Doctor, so did you have any experience dealing  
16 with former members or current members of the military, Canadian  
17 Armed Forces, prior to 2001?

18          **A.**   Yes. Well, I was doing expertises as a general  
19 psychiatrist since 1978. I was doing expertises in the work  
20 field with organisms in Montreal, and then I began interesting  
21 to PTSD because at work people experience traumas and ... For  
22 example, I was working for CSSD in Montreal, which is an

**DR. ROBERT OUELLETTE, Direct Examination**

1 organism for work accidents and I could see a lot of persons  
2 experimenting PTSD because of traumas at work and I was  
3 interested in that.

4 At my office, I was treating them, some of them, not the  
5 expertise but the others, some other patients. And then some  
6 veterans began to come at my office to seek assessments and  
7 treatment, so I developed quite an interest in that.

8 And then in 2001, I decided to accept a post at Valcartier  
9 where they needed civil psychiatrists because they were ... they  
10 had a lot of assessments to do. And I decided to go there and  
11 to be full time as a psychiatrist to do those assessments and  
12 treatments. There were a lot of persons coming back from  
13 missions, especially in Bosnia then at that time.

14 Q. And so, Doctor, if we roll it back to 2001 that's 20  
15 years, and then you talked a little bit about prior to your  
16 acceptance of the position on base in 2001, are you able to  
17 estimate how many years, I guess, you would have had experience  
18 in diagnosing and treating members or former members of the  
19 Canadian Armed Forces as it relates to PTSD and other mental  
20 health disorders?

21 A. 20 years.

22 Q. Okay.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    I've been working only with patients from the Canadian  
2 Forces since 20 years.

3           **Q.**    So, Doctor, I'm going to ask you a few general  
4 questions and then we'll get into specifics.

5           So when you're treating veterans or active members of the  
6 Canadian Armed Forces, we hear a phrase "operational stress  
7 injuries". What is an operational stress injury?

8           **A.**    Well, it's an injury that occurred during the missions  
9 or during the presence of military men and women at work but  
10 more specifically when they are in missions.

11          **Q.**    And, Doctor, is it fair to say that in your career in  
12 treating members of the military or past members of the  
13 military, that their clinical, I guess their psychological  
14 portrait, if they have various diagnosis and struggles with  
15 mental health, are they always all attributable to operational  
16 stress injuries or can it be some operational stress injuries  
17 and other things that were unrelated to their actual service in  
18 the military?

19          **A.**    Well, when I was in Valcartier, it would have been all  
20 kinds of mental illnesses, you know. It could have been  
21 depression or anxiety or bipolarity and also PTSD. It was a  
22 wide range of pathologies.

**DR. ROBERT OUELLETTE, Direct Examination**

1           When I was in Saint-Jean, then it was young recruits who  
2 didn't go to missions but they had some mental illness issues  
3 then, so we would be treating them for that, and also their  
4 teachers. But since I have been at the Sainte-Anne-de-Bellevue  
5 Hospital it is mostly PTSD that we see at the outpatient clinic.  
6 I work in the outpatient clinic there, and I also work in the  
7 stabilization program.

8           **Q.** And we're going to get into the ...

9           **A.** So mostly ...

10          **Q.** Go ahead, sorry.

11          **A.** Mostly those persons are suffering from PTSD, but they  
12 can also suffer from depression, mostly because of their work.  
13 It has to be work-related.

14          **Q.** And, Doctor, we're going to get into the structure of  
15 the program momentarily. I'm just trying to get a sense of in  
16 an average year at Ste. Anne's, how many members ... and I'll  
17 start using the term "members of the military" that'll include  
18 non-active members of military ...

19          **A.** Yes.

20          **(09:50)**

21          **Q.** ... or those involved with Veterans Affairs.

22          So how many members of the military would you see in the

**DR. ROBERT OUELLETTE, Direct Examination**

1 course of a year on average?

2       **A.** Okay, at the outpatient clinic I have 110 patients to  
3 see. This is my caseload. So I have to see them once every  
4 three months, something. And the new patients I have, I have to  
5 see them once a month. This is in the outpatient clinic, I have  
6 110 of them. We are seven psychiatrists there and we each has  
7 his caseload.

8       In the stabilization program, usually we have one patient  
9 every week to assess or every two weeks. It depends if the  
10 patients stay with us for a long time or short time. But  
11 usually during a year I would see ... well, I don't have the  
12 statistic but I would say something like 25 to 35 patients  
13 maybe.

14       **Q.** And so, Doctor, I know you may be reluctant to sort of  
15 answer this question, but would you say that being a  
16 psychiatrist that exclusively deals with members of the military  
17 would be sort of a sub-specialty of psychiatry?

18       **A.** Well, it is difficult to answer to this because the  
19 pathologies of those persons are pathologies we see in general  
20 psychiatry. But the setting ... you know, the fact that they  
21 work in the military; the fact that they have special  
22 conditions; the fact of their work is different than the general

**DR. ROBERT OUELLETTE, Direct Examination**

1 population and it's kind of a sub-speciality but not  
2 necessarily.

3 We have to know a lot of things about the military. We  
4 have to know what is ... what work they do, the ranks, the  
5 missions, which is very extraordinary kind of work. But we can  
6 find that also in the RCMP, for example, or in certain police  
7 corps.

8 Q. And so, Doctor, what ...

9 A. But you have to be aware of the kind of work they are  
10 doing. Yes.

11 Q. So, Doctor, in the next series of questions I'm going  
12 to try to draw out some of the differences and uniqueness of  
13 military-based clients suffering from mental health disorders  
14 and those of the general population.

15 So how does a military client may they present in their  
16 symptoms that may be different from a client in the general  
17 population with the same diagnosis or mental health disorders?  
18 What is the difference?

19 A. Well, when I was in Valcartier, for example, the  
20 military would come to us after waiting a long time, you know.  
21 They were waiting a long time to consult because they knew that  
22 the fact of consulting could threaten their work; the fact that

**DR. ROBERT OUELLETTE, Direct Examination**

1 they would have continuity in their work in the future.

2 So the pathologies that we saw there were already chronic  
3 pathologies. People trying to treat themselves in another way,  
4 other means than seeing a psychiatrist. Sometimes they would  
5 take alcohol or drugs or ... to try to treat themselves. They  
6 would wait a long time to consult.

7 Q. So is it fair to say that ... and I know that it's a  
8 generality, it's not specific to every single client, but what  
9 you may have experienced and noticed is that military-based  
10 clients maybe tend to be delayed in seeking treatment for mental  
11 health?

12 A. Yes, this is right. Yes.

13 Q. And with that as well, did you notice any difference  
14 between military-based clients and the civilian population in  
15 terms of opening up and disclosing the details of their trauma  
16 and their understanding of it? Were they a little more  
17 reluctant, I guess, than members of the general population?

18 A. Yes, indeed. Because of the consequences on their  
19 work, on their future work as military men and women. So they  
20 would wait a long time to consult and then they would be ashamed  
21 to consult at the beginning.

22 Q. And what do you mean by ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Sometimes it ...

2           **Q.**    What do you mean by the consequences and what do you  
3 mean by may be ashamed?

4           **A.**    Well, in face of the group having a mental illness in  
5 the military is considered as being feeble, being ... not being  
6 fit for work, you know. They judge themselves a lot. So they  
7 wait a long time and they don't want people to see them consult.

8           For example, the stairs coming up to the mental illness  
9 ward at Valcartier, they would climb these stairs saying it was  
10 the "stairs of shame". So there were a lot of judgments there  
11 and ...

12          **Q.**    And who referred to it as the "stairs of shame"?

13          **A.**    Well, they were saying that in general, saying that  
14 they didn't want to climb those stairs to come to seek mental  
15 treatments.

16          **Q.**    And so was what ...

17          **A.**    But in the ... yes.

18          **Q.**    Would you say as a general ...

19          **A.**    In the long ...

20          **Q.**    Go ahead. Sorry.

21          **A.**    In the long run, this mentality changed because there  
22 were a lot of publicity and psychoeducation on that, but when I

**DR. ROBERT OUELLETTE, Direct Examination**

1 was there at first, it was like that.

2 Q. And when you say it changed, roughly around what time  
3 did you start to see a change and sort of more an open  
4 acceptance?

5 A. Progressively until now there is a change because  
6 there's a lot of publicity done in the Canadian Forces'  
7 publications. The general population knows about PTSD now,  
8 about the treatments so the mentality changed. During 20 years  
9 I've see a lot of change in that.

10 Q. And, Doctor, but to this day do you still see an  
11 undercurrent quite frequently of a reluctance to seek help and a  
12 guardedness to really open and confide - a veteran to confide in  
13 a mental health professional?

14 A. Yes, I can see that still because it has consequences  
15 on the work of the military. If he is judged not fit to go to  
16 missions, to work as a military, then he would be released from  
17 the military. And this is ... this give them a lot of anxiety  
18 because they don't know what they are going to be doing outside  
19 the military.

20 **(10:00)**

21 Q. And how frequent do you see that in military members  
22 that you treat that it's a pressing concern and anxiety, this

**DR. ROBERT OUELLETTE, Direct Examination**

1 concept of they may be deemed unfit or they may be ... their  
2 duties may be altered or ultimately they may be discharged?  
3 One, how frequent do you see that; and two, what impact does it  
4 have in the global portrait, I guess, of mental illness?

5 **A.** Mm-hmm. Well, I think that everybody thinks about  
6 that. They have to be aware that if they come to be treated in  
7 mental health by mental health professionals, it can be that  
8 they won't be able to work anymore after that, so they are going  
9 to be released. They have to evaluate that. Some of them go  
10 back to work, but most of the patients with PTSD, they have  
11 permanent disabilities, so a lot of them are going to be  
12 released and they have to be helped, and they are, to find work.  
13 There are all kinds of programs to help them finish their  
14 studies and study new types of jobs, work, and they know that.

15 **Q.** I'm going to ask you a little bit about this concept  
16 of therapeutic alliance and the importance of it.

17 **A.** Mm-hmm.

18 **Q.** From a psychiatry perspective, I guess, first, what is  
19 therapeutic alliance from your perspective?

20 **A.** Well, okay. The alliance is that the patient comes  
21 with a special mental illness and the alliance is the fact that  
22 the psychiatrist or the psychologist or any member of our team

**DR. ROBERT OUELLETTE, Direct Examination**

1 are going to help them to improve, to get better. So it's kind  
2 of a contract between the patient and the professional to make a  
3 treatment plan to help. The desire to help the person to be  
4 well, to be better.

5 Q. And how important is a therapeutic alliance before you  
6 can even get to understanding their mental health disorders as  
7 well as coming up with a treatment plan? Is therapeutic  
8 alliance sort of step one and everything depends upon it?

9 A. Well, we take some time to establish a good  
10 therapeutic alliance because it is essential if we want to treat  
11 a patient. For example, if a patient comes to see me and I say  
12 to the patient that we have to take some medication for sleep or  
13 for anxiety or for depression, then we count on the patient's  
14 desire to take those medications to be well. He has to have  
15 respect and trust in the professional to try something to help  
16 him. It doesn't mean that ... yeah.

17 Q. In an ideal ...

18 A. Okay.

19 Q. In an ideal scenario, you would be presented with a  
20 client on a first occasion and the therapeutic alliance  
21 crystallizes and it's perfect. However, I'm wondering if you  
22 could explain to us any unique sort of challenges or barriers

**DR. ROBERT OUELLETTE, Direct Examination**

1 that goes into building a therapeutic alliance with a member of  
2 the military.

3       **A.** Yes. Well, sometimes some patients are reluctant to  
4 enter rapidly in a therapeutic alliance, you know? And we have  
5 to work on that. We have to make some psychoeducation. We have  
6 to educate the patient about the illness that he has, the  
7 treatment that we can do to help him. We have to have him see,  
8 for example, a doctor to see if everything is okay with his  
9 health, physical health. We have to gain this respect and this  
10 trust from the patient and sometimes it takes a few weeks or a  
11 few meetings with the patient and then things can improve a lot.  
12 But it is not done at the first time, at the first minute of the  
13 meeting with a patient.

14       **Q.** And in your experience in terms of general, I mean it  
15 varies from client to client, but is there some added level of  
16 difficulty or struggle with building a therapeutic alliance with  
17 a member of the military as opposed to the general population?

18       **A.** I think it depends on the pathology, on the mental  
19 illness that a patient has. It depends on his personality and  
20 also it depends on what the treatment is going to have as  
21 consequences on their work.

22       **Q.** And we're going to get into the specifics of Lionel

**DR. ROBERT OUELLETTE, Direct Examination**

1 Desmond, but would you say that Lionel Desmond was the type of  
2 patient or client that it would have taken a little bit of time  
3 to build a therapeutic alliance with him?

4 **A.** Yes, I can say that.

5 **Q.** And, Doctor, I'm going to ask you a little bit about  
6 the uniqueness of ... the concept of continuity of care. So  
7 basically the handoff in treatment. This idea that a member of  
8 the military could go from a OSI clinic in a province, to Ste.  
9 Anne's, to the community.

10 **A.** Mm-hmm.

11 **Q.** What is unique and what are some things that you have  
12 to be aware of when you're doing that sort of handoff in the  
13 continuity of care as it relates to military veterans?

14 **A.** Could you repeat the question because ...

15 **Q.** Sure.

16 **A.** ... I have to ... yeah.

17 **Q.** Sure. The concept of continuity of care ...

18 **A.** Continuity.

19 **Q.** ... moving a patient ...

20 **A.** Okay.

21 **Q.** ... from one group of professionals to another group  
22 of professionals.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Okay.

2           **Q.**    So what sort of things can you identify that are  
3 important when a client moves from Ste. Anne's to the community?

4           **A.**    Yeah, okay. Well, as for continuity of care, I think  
5 that the most important thing right now that I can see is the  
6 fact that our cares are done in the multidisciplinary setting,  
7 okay? So there are a lot of professionals around the patient  
8 and he has meetings with everybody. Psychiatrists,  
9 psychologists, social worker. And then if one of them is not  
10 there, the other persons are going to be there for him. The  
11 nurses, for example. If the patient has to go elsewhere, the  
12 important part for continuity of care is to give all the  
13 information to the new team he is going to see. And then coming  
14 to Ste. Anne, we have to have all the details, all the details  
15 of the files, you know? All the ... we have to know that. And  
16 if he is sent elsewhere, we have to be very ... well, I know  
17 what to say in French but ...

18   **(10:10)**

19           **Q.**    Well, I guess I can ...

20           **A.**    ... it's not so easy in English. Yes.

21           **Q.**    I can sort of ... I'll try to draw it out a little bit  
22 more, I guess. So what I'm taking from what you're saying are

**DR. ROBERT OUELLETTE, Direct Examination**

1 two things. One, the sharing of information about the  
2 background ...

3 **A.** Sharing of that, yes.

4 **Q.** Is that important?

5 **A.** Yes. That's what I had in mind, the sharing of  
6 information between the team, the different teams, from the  
7 active member to veteran's member to special member that are  
8 going to see the patient. For example, the Ste. Anne  
9 stabilization program or residential program. All this  
10 information circulating from one to another is essential.  
11 Everybody has to have all the information.

12 **Q.** And the second aspect that I took from what you said  
13 originally was, we've heard some provincial Health Authority  
14 members refer to it as a circle of care. So what you described  
15 when you said "multidisciplinary" is mental health professionals  
16 that specialize in different areas. Is that what you're  
17 referring to, that it's important to have more than one resource  
18 for a military-based client in the community?

19 **A.** Yes. We work as a team. We work in a  
20 multidisciplinary manner everywhere and it's the ... I've seen  
21 that in Valcartier and Saint-Jean and Sainte-Anne-de-Bellevue.  
22 It's the way of working right now. Nobody works by ... alone in

**DR. ROBERT OUELLETTE, Direct Examination**

1 his office right now.

2 Q. And what ...

3 A. Everybody shares the information.

4 Q. And what sort of members of this team, what background  
5 would they have? If you can give us an example of maybe three  
6 people that would be of this circle of care team.

7 A. Well, there are nurses, for example, in the outpatient  
8 clinic. The nurse sees the patient first. She does a nursing  
9 assessment, she sees if the patient needs urgent care and to  
10 give ... there is an admission committee and then the patient is  
11 directed where she or he suggests.

12 So after that, the patient can go to a psychiatrist, for  
13 example, to be assessed and to receive some medication, and at  
14 the same time, the psychiatrist will recommend, for example,  
15 psychotherapy with a psychologist. We have psychologists in  
16 Ste. Anne. If there are no psychologists available because  
17 there's a lot of persons coming in, we can send the patient to a  
18 psychologist in the civil surroundings.

19 But also, if we see that the patient has a drinking problem  
20 or a drug problem, we have personnel specialized in toxicology.  
21 So they are going to treat a patient. We also have an (omni?)  
22 practitioner who can see the patient if he doesn't have a doctor

**DR. ROBERT OUELLETTE, Direct Examination**

1 at home. He can be sent elsewhere. And if there is a problem  
2 at home, we have social workers and the social worker can do  
3 some work with the family or the spouse or work. So ...

4 Q. And what is the role ... I understand that Ste. Anne's  
5 offers occupational therapists as well?

6 A. Yes.

7 Q. And would they be part of this treatment team?

8 A. Yes. Ergotherapist, occupational therapist,  
9 physiotherapist. We have, at Ste. Anne, we have a pain clinic  
10 with a physiotherapist. So the patient, when he's taken under  
11 our care, has a wide variety of specialists that can help them.  
12 They even have, for example, peer. What we call peer aide ...  
13 aiding peer, peer support, one person who is a military man or  
14 woman, and who can listen to them, go at home with them and help  
15 them. So ...

16 Q. And so, Doctor, when ... and I know it varies from  
17 client to client, but when a client leaves Ste. Anne's and they  
18 leave with a series of recommendations, do you expect that  
19 similar-type services could be offered in the community when a  
20 veteran returns home? You know, they're leaving a setting where  
21 they have a social worker, a peer support, a psychiatrist, an  
22 occupational therapist. Is that sort of structure, when they

**DR. ROBERT OUELLETTE, Direct Examination**

1 enter the community, important for their continued  
2 stabilization?

3       **A.** Well, I cannot answer to that because I don't know  
4 what is going on anymore outside the ... but they are supposed  
5 to have care but I don't think they have so much care outside  
6 the Canadian VAC or Forces. But they can have psychiatrist,  
7 psychologist, they can have social worker. They can have that,  
8 but in the general population, those services are much less  
9 accessible for them. So that's why we treat them. We try to  
10 improve their mental health and then we refer them to some  
11 organisms outside. And if something goes wrong, if their mental  
12 illness comes back, so they can ask us to go on with that  
13 treatment.

14       **Q.** So I'm going to ask you a little bit more about the  
15 Ste. Anne's clinic. I understand that a veteran is referred to  
16 Ste. Anne's through Veterans Affairs Canada. Is that correct?

17       **A.** Correct, yes.

18       **Q.** And a referral could come, I guess, from an  
19 operational stress injury clinic through Veterans Affairs  
20 ultimately to Ste. Anne's? Is that correct?

21       **A.** Correct.

22       **Q.** And so ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**   As long as they have a team treating them. They are  
2 veterans. They are treated in OSIC for stress, operational  
3 stress disorders, but sometimes it's a psychiatrist because some  
4 populations are isolated and they have a psychiatrist, they have  
5 a team referring us patients, yes.

6           **Q.**   So, Doctor, the structure of the Ste. Anne's clinic, I  
7 understand that there are two aspects of the program. There's  
8 the stabilization phase first and then there's a residential  
9 phase.

10          **A.**   Yes.

11          **Q.**   I wonder if you could tell us a little bit about,  
12 first, I guess, the stabilization phase. What is it and why is  
13 it first?

14          **A.**   Mm-hmm. Well, I work ... me and the other two  
15 psychiatrists, we work in the stabilization program. Our work  
16 is to make a good assessment on their pathology. After that, to  
17 choose the right medication according to the assessment, and  
18 then to keep them with us to see how it works. If the  
19 medication helps them or not, maybe we will have to do some  
20 testing with the medication, for example, to have a better  
21 sleep, to feel less depressed, less anxious. So we do that in  
22 the stabilization program and then when the patient is

**DR. ROBERT OUELLETTE, Direct Examination**

1 stabilized, has less anxiety, less fatigue, better  
2 concentration, then he is ready for the residential part where  
3 he will undergo group therapies and with other patients and this  
4 part is going to last for about eight weeks and ... yeah.

5 **(10:20)**

6 **Q.** So before we get into the residential phase, so is  
7 your primary involvement at Ste. Anne's at the stabilization  
8 phase?

9 **A.** Yes.

10 **Q.** And ...

11 **A.** The ... yes.

12 **Q.** And what is stabilization in a mental health context?

13 **A.** Stabilization is we have a chart before the patient  
14 comes to us, okay? And it's on a voluntary basis. The work we  
15 do is to work on the symptoms, you know. We make an assessment.  
16 We see, for example, that a patient has PTSD. Most of them have  
17 PTSD and we have to work on symptoms, we have to ... for  
18 example, to work on their sleep because those patients, they  
19 didn't sleep for years. And we have to help them sleeping  
20 better, to feel better in the morning, to be able to meet our  
21 persons on a quieter basis, and also to be less anxious during  
22 the day and to be more at ease with others in their personal

**DR. ROBERT OUELLETTE, Direct Examination**

1 interrelationships.

2 Q. And is the stabiliz- ...

3 A. So that's the work ... yeah?

4 Q. And is the stabilization phase always for a set  
5 duration or can it vary from patient to patient?

6 A. Set duration? Excuse me?

7 Q. Stabilization, is it always for a set period of time,  
8 that phase, or can it be longer or shorter?

9 A. No. We don't know how much time the patient is going  
10 to stay with us. Sometimes it's very short. Sometimes the  
11 medication is well working with them. We just do an assessment.  
12 We just do some little changes in the medication and then he  
13 goes right away to the residential program. But most of the  
14 time, and sometimes and most of the time, they have been treated  
15 a lot of years before by other professionals, psychiatrists and  
16 psychologists, and they need a different assessment. They need  
17 new medications. And then we have to check if the medication  
18 works. Those medications, for example, antidepressants,  
19 sometimes they work only after a few weeks. Four to eight weeks  
20 sometimes. And we have to know if it works well with them, so  
21 they have to stay a longer time with us. And ...

22 Q. So speaking of medications ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Yeah.

2           **Q.**    ... do you want to see a client in the stabilization  
3 phase that ... in Lionel Desmond's case, he had been consuming  
4 cannabis. He had been prescribed cannabis.

5           **A.**    Yeah.

6           **Q.**    He had a number of other prescription medications. Is  
7 it important for you to be able to observe someone like Lionel  
8 Desmond in the stabilization phase without medications and why  
9 do you want to see that?

10          **A.**    Yes. It is a prerequisite for patients received in  
11 the stabilization program that they should have stopped drinking  
12 and taking cannabis or other substances many weeks before they  
13 come to us. At the beginning, it was four weeks. Now we accept  
14 two or three weeks. Sometimes we help them because there are  
15 the toxico centre near the hospital and sometimes they go there  
16 for a month or two. And when they come to us, they don't take  
17 alcohol or cannabis or other substances because those substances  
18 can interfere with their medication. So we have to have a  
19 patient that we can observe and observe their way to react to  
20 the medication we give them.

21          **Q.**    And is it helpful for you to be able to get an  
22 accurate diagnosis and a full assessment of symptoms and what

**DR. ROBERT OUELLETTE, Direct Examination**

1 may or may not go into the ultimate treatment when they're free  
2 of those substances?

3       **A.** Yes. It is essential for them to come to us and not  
4 to be intoxicated or on other medications or drugs. And that's  
5 the good part of the stabilization, you know. Many  
6 professionals in Canada in other bases send their patients to us  
7 because they cannot observe the patient during many days, how  
8 they react to others. Are they taking really the medication  
9 that they are given? What are their relations with others, with  
10 other members? Is their sleep really good or bad? So we can  
11 make those daily observations in the stabilization program and  
12 then after that, and we can have a better view of the patient's  
13 behaviour and symptomatology.

14       **Q.** And is it fair to say that a psychiatrist in your  
15 position who gets to observe a client that frequently and free  
16 of any sort of external interference, are you at sort of an  
17 advantage compared to maybe other psychiatrists in that you see  
18 a maybe truer version of the potential diagnosis?

19       **A.** I think it's extraordinary advantage that we have  
20 because we can observe the patient daily for days, weeks,  
21 months. We can look at their functioning, at their personal  
22 relationships. The psychiatrists or psychologists in the OSIC

**DR. ROBERT OUELLETTE, Direct Examination**

1 centres, they see the patient only an hour or two and they don't  
2 have direct observations of their behaviour. So it is an  
3 extremely important advantage that we have.

4 For example, when they come to the stabilization program,  
5 we ask ourselves, why did they not respond to the treatment at  
6 home? And sometimes it happens, but not all the time, but it  
7 can happen that we prescribe medication to a patient and he  
8 doesn't want to take it.

9 At home, he can forget to take it. Not saying that to his  
10 doctors, but when we see that, we understand why he didn't  
11 improve at home. Some of them. But also some of them take the  
12 medication in the wrong way, so we have to check on that too.  
13 Are they taking it the right way? We have direct observation  
14 and this is very good.

15 **(10:30)**

16 **Q.** So is it fair to say that when a member of the  
17 military is referred to Ste. Anne's and then ultimately,  
18 recommendations come out of Ste. Anne's, is it fair to say that  
19 those recommendations are coming from a very unique perspective  
20 and a perspective that is an advantage and that you get a true  
21 sense of what is going on with the patient. Is that fair?

22 **A.** Yes, this is fair, yes. And this is why they send

**DR. ROBERT OUELLETTE, Direct Examination**

1 them to us. And at the same time, the patients coming to us are  
2 all in the military or are all veterans, so they stick together.  
3 They have good comprehension of each other and they feel more  
4 secure in those settings.

5       **Q.** I'm just going to ... before we actually turn to  
6 Lionel Desmond, the last series of questions I have are about  
7 information you, as the psychiatrist, at the stabilization  
8 phase. What information or documents and sources of places do  
9 you get information from when you first see a patient in the  
10 stabilization?

11       **A.** Okay. When ... first I have to see that the patients  
12 are seen on a voluntary basis. They are free to go back home if  
13 they wish to. They are not confined to the program. And first  
14 we receive a demand from the team of the patient at home; for  
15 example, New Brunswick, Nova Scotia, Newfoundland, or even  
16 sometimes the west of Canada. And we have an admission  
17 committee where we reunite every week. In this commission  
18 committee, there's a nurse responsible for the admission. We  
19 call her the "admission nurse". The psychiatrists, me and Dr.  
20 Abdallah Dallah, which is there with me since the beginning, and  
21 Dr. Sansfacon, a new psychiatrist that has been with us.  
22 There's the general practitioner for the medical part, and a few

**DR. ROBERT OUELLETTE, Direct Examination**

1 other. The coordinator - a clinical coordinator - and a few  
2 other persons reuniting every week.

3 Q. So these ...

4 A. And then we take ... yes?

5 Q. So these ... just so I can back up a little bit. So  
6 at the initial phase, you've referenced yourself and three other  
7 individuals, two other medical doctors, and a clinical  
8 coordinator.

9 A. Mm-hmm.

10 Q. That's at the very outset. So why is it important to  
11 have that many sort of people on the ground immediately to sort  
12 of start this whole process?

13 A. Yes. It is very important because we have ten  
14 patients in the ward and we have to make sure that everything is  
15 going to be all right with them. So we have criterias for  
16 admitting persons and we have contraindications for them. And  
17 the admission nurse looks at the file. It's coming, for  
18 example, from a team in Nova Scotia, and we look ... they send  
19 us the patient's file, the psychiatric evaluation, and  
20 information about the patient. For example, is the patient  
21 psychotic? We cannot treat psychotic persons on our programs  
22 because most of the treatments are group therapy and they will

**DR. ROBERT OUELLETTE, Direct Examination**

1 not be able to do group therapy with those kind of patients.

2 We cannot receive patients who have medical conditions ...  
3 very active medical conditions. For example, diabetes, which is  
4 not stable, who has a chronic illness that requires treatment  
5 all the time. There are contraindications. For example, we  
6 cannot receive a patient who will not be there all the time.  
7 Sometimes some patients have obligations at home. Sometimes  
8 they have a criminal record that demands them to go to court a  
9 lot of times, so they won't be able to attend to all the  
10 meetings.

11 Q. So what I'm interested in, Doctor ...

12 A. Yeah.

13 Q. What I'm interested in is when you were given the  
14 information from, say, an OSI clinic in Nova Scotia or New  
15 Brunswick ...

16 A. Yes.

17 Q. ... you referred to psychiatric reports. If there was  
18 a psychologist that was treating them at an OSI clinic in Nova  
19 Scotia, would you have those OSI reports as well?

20 A. We cannot those reports, but the nurse is going to  
21 call. She is ... I don't know how to say that in English. *Le*  
22 *pivot.*

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**    Intake?

2           **A.**    Yes.  She would be the one, the centre of this  
3 committee, and she can call to the reference and ask for the  
4 reports.

5           **Q.**    So do you have, at the Ste. Anne's clinic, so there is  
6 a nurse that oversees gathering up all the documents and reports  
7 which then find themselves in your hands.

8           **A.**    Yes.

9           **Q.**    Do you find that help- ...

10          **A.**    Yes.

11          **Q.**    Do you find that helpful?

12          **A.**    It is essential.  Essential.

13          **Q.**    And why is it essential to have someone in that  
14 position that gathers the documents that can be turned over to  
15 the treating psychiatrist?

16          **A.**    Okay.  Well, for example, some patients want to come  
17 to our programs but they don't want to change their medication,  
18 and sometimes they don't even want to take medication.  So what  
19 can we do for them?  They will be very anxious and they can be  
20 disturbing for other patients on the ward.  So we have to be  
21 sure that the patient who will come to us is not drinking, is  
22 not taking drugs, is aware of the fact that we're going to try

**DR. ROBERT OUELLETTE, Direct Examination**

1 new medications with him, is accepting the conditions in which  
2 he is going to be living with us. And the nurse there and the  
3 committee make sure of that.

4 **Q.** So why is it helpful that you have this information  
5 and previous reports already gathered and brought to your  
6 attention? How is that helpful?

7 **A.** Well, so it is very important because at our hospital,  
8 for example, we cannot take patients who are suicidal or  
9 homicidal because we're not equipped for that. If a patient  
10 comes because he is suicidal and it takes a different kind of a  
11 setting to treat them, they cannot be treated with us.

12 **Q.** I think Judge Zimmer may have a question there.

13 **THE COURT:** Sorry. All right. So Dr. Ouellette, just  
14 so that I'm clear, we're talking ... I know you're talking about  
15 the review committee, the admission committee, that decides  
16 whether or not a particular individual will be accepted into  
17 your program, is that correct?

18 **A.** Yes, that's correct.

19 **(10:40)**

20 **THE COURT:** And it's the nurse that's part of that group  
21 that would be responsible for looking at all the material that  
22 has been sent to you by way of, or as part of, the reference,

**DR. ROBERT OUELLETTE, Direct Examination**

1 and then if that person, in their experience, decides that  
2 there's more information that may be required, they have the  
3 ability to collect that and present it to the committee when you  
4 look at all of the circumstances, all of the background, to  
5 decide whether the particular individual is suitable for your  
6 program. Am I correct?

7 **A.** Yes, correct.

8 **THE COURT:** All right. Once the individual is accepted  
9 into the program, that material, that case file, if I can call  
10 it that, it would still be available to the various individuals  
11 on the team that are dealing with the patient. Am I correct?

12 **A.** Yes. Correct.

13 **THE COURT:** All right. And I understand it's important  
14 to have all of the background information because in your past  
15 experience, you know that some people are more suitable, some  
16 people will fit in, some people are made more or less suitable  
17 for your particular kind of program.

18 **A.** Yes.

19 **THE COURT:** Am I correct?

20 **A.** Yes.

21 **THE COURT:** All right.

22 **A.** Correct, yes, yes.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **THE COURT:**       All right, thank you. So there is a  
2 difference between what you look at at that initial stage to  
3 determine admission. Once they're then admitted, you meet with  
4 them, you are trying to develop the therapeutic alliance, and  
5 it's at that time that you have discussions about a plan or how  
6 the treatment plan is going to move forward?

7           **A.**     Yes, correct.

8           **THE COURT:**       All right. Thank you.  
9 All right, Mr. Russell.

10          **MR. RUSSELL:**    Thank you, Your Honour.

11          So, Doctor, if we could turn to specifically now, Lionel  
12 Desmond. We know that Lionel Desmond had become involved with  
13 the Ste. Anne's clinic. He had entered the program on May 30th  
14 of 2016 and he left August 15th, 2016. So what was your primary  
15 role in Lionel Desmond's care while at Ste. Anne's?

16          **A.**     Okay. My primary role was to assess him, to make a  
17 complete assessment with a diagnosis and treatment plan, and  
18 that's what I did on the 31st of May when I saw him.

19          **Q.**     So, Doctor, to your understanding, did ... I guess  
20 before we get into that, how frequently would you have met with  
21 Lionel Desmond while he was at the Ste. Anne's clinic from May  
22 30th to August 15th?

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Okay. Well, I saw him only at the stabilization  
2 program because I'm not in the residential program. Once the  
3 patient goes to the residential program, he is supervised by the  
4 doctor, the omnipractitioner there, and by another on other  
5 grounds. So I saw him only at the stabilization program. It  
6 was from the 31st of May until the 28th of June. I saw him ...

7           **Q.**    Go ahead, Doctor.

8           **A.**    Yeah. I saw him five times during his staying with us  
9 at the stabilization program.

10          **Q.**    And we're going to get into the specific diagnosis as  
11 we move to your May 31st meeting with him.

12          **A.**    Mm-hmm.

13          **Q.**    So I assume Lionel Desmond came to you with a pre-  
14 existing diagnosis.

15          **A.**    Yes.

16          **Q.**    And were you going to assess and diagnose Lionel  
17 Desmond independently of what that diagnosis was? Were you  
18 going to sort of see, I guess, for yourself?

19          **A.**    Well, I had to have my own opinion. I had to see the  
20 patient. It's like, yes, it's important to have the assessment  
21 done by the others before, but I have to make my own opinion of  
22 the patient when I see him. It was a different assessment.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**    So, Doctor, in general terms, at the early stages, so  
2 when you first meet with him May 31st, did Lionel Desmond strike  
3 you as someone that was motivated to be involved in the program?

4           **A.**    Yes. I saw him as wanting to feel better, to be  
5 treated for his problems.

6           **Q.**    And in your time ...

7           **A.**    He was motivated.

8           **Q.**    And in your time up to June 28th, did he seem to put  
9 in a genuine effort to cooperate with recommendations with the  
10 ultimate goal of getting better? Did he seem to put in a  
11 genuine effort?

12          **A.**    Mm-hmm. Well, at first he did say that he wanted to  
13 give cooperation to the treatment. He was accepting the  
14 treatment. But during the ... I think that it was the middle of  
15 the psychiatric treatment, in the middle of it, he told me that  
16 he didn't want to take more medication and he was very angry  
17 because I was trying to give him more medication and he didn't  
18 want that.

19          So the cooperation, the therapeutic alliance was less ...  
20 was beginning to be different then, yeah.

21          **Q.**    So there had been challenges, I guess, in general  
22 terms, and we'll get into the medication aspect, but ... so

**DR. ROBERT OUELLETTE, Direct Examination**

1 there had been challenges with Lionel Desmond throughout his  
2 period of time at the stabilization phase?

3 **A.** Yes. Yes, because I'm looking at the documents I have  
4 here and I can see that on the 16th of June, I tried to give him  
5 some more medication because he was still anxious during the day  
6 and he was very angry at me, didn't want to have more medication  
7 then.

8 **Q.** Okay.

9 **A.** Yes.

10 **Q.** And we're going to discuss that more. First, I'd like  
11 to turn to ... I apologize, Your Honour. The exhibit number I  
12 don't have in front of me.

13 **EXHIBIT 254 - STE. ANNE'S HOSPITAL - COMPLETE MEDICAL RECORDS -**

14 **LIONEL DESMOND**

15 It's exhibit number 254. This, Doctor, as you're familiar  
16 with, is the entire Ste. Anne's record as it relates to Lionel  
17 Desmond but, in particular, if we could turn to page 16. Do you  
18 have that in front of you, Doctor?

19 **A.** No, I don't. Page 16?

20 **Q.** Yes. If you don't have it in front of you, I think we  
21 have it up on the screen. Can you see that?

22 **A.** Yes, I can see that on the screen, yes.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.** I'm just going to ... So, Doctor, it shows here, it  
2 says, "Problem sheet - May 31st, 2016". So this is the first  
3 date you would've met with Lionel Desmond?

4           **A.** Yes, that was the first time I met him, yes.

5           **Q.** And this is your report as it relates to that initial  
6 meeting. Is that correct?

7           **A.** Yes, this is my initial report. It's a report that is  
8 made for all the patients we see and it is kind of a resume of  
9 the diagnosis.

10          **Q.** So, Doctor, if I could ask you to sort of indicate,  
11 what was the initial diagnosis that you made of Lionel Desmond?

12          **A.** Well, the first one is PTSD. Severe intensity and  
13 chronisized and linked to a mission in Afghanistan in 2007.  
14 This was the first diagnosis.

15          **Q.** Okay.

16          **A.** The second ... yes?

17          **Q.** If you could run us through the diagnosis and then  
18 we'll go back to each one. So what is the second diagnosis you  
19 made?

20          **(10:50)**

21          **A.** The second one was major depression chronisized, and  
22 relied to the PTSD. And the third one, the third one was

**DR. ROBERT OUELLETTE, Direct Examination**

1 dependence to alcohol in recent remission. And the fourth one,  
2 personality traits from mixed features.

3 **Q.** So, Doctor, I guess we'll start with the first. So on  
4 May 31st, you were able to come up with that diagnosis and there  
5 were four categories as it relates to his principal diagnosis.  
6 So you reached that conclusion. Did you reach that  
7 independently of any prior diagnosis?

8 **A.** Yes, I did. It was my own diagnosis but I realized  
9 that some of those diagnoses had been made before by other  
10 professionals.

11 **Q.** And I just want to go over that. So when Lionel  
12 Desmond had entered Ste. Anne's, did he have a pre-existing  
13 diagnosis of PTSD?

14 **A.** Yes.

15 **Q.** Did he have a pre-existing diagnosis of major  
16 depression?

17 **A.** Yes, he did.

18 **Q.** Did he have a pre-existing diagnosis of alcohol abuse  
19 in remission?

20 **A.** Yes.

21 **Q.** And did he have a prior existing diagnosis of traits  
22 of mixed personality?

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.** Well, I cannot answer to that because I don't have the  
2 file here. This was my diagnosis but was it the diagnosis made  
3 by his treating team? I assume so but I'm not sure.

4           **Q.** Okay. I'll help you out a little bit. I will  
5 indicate, and the Court is well aware of the record, that the  
6 diagnosis of traits of mixed personality, this is the first time  
7 we had seen this from any psychologist or psychiatrist in Lionel  
8 Desmond's ...

9           **A.** Okay.

10          **Q.** ... medical records. So I guess if we start with the  
11 diagnosis of post-traumatic stress disorder, you link it to his  
12 mission in Afghanistan in 2007. And I guess how was his PTSD  
13 diagnosis linked to that mission in Afghanistan? I wonder if  
14 you could give us examples.

15          **A.** Well, because I questioned him about his mental health  
16 in general, I could go to his ... the previous ... was it  
17 treated before his mission? Did he have mental illness previous  
18 to his mission? And I could see that there was nothing there.  
19 He has never been treated before. This is in my report. And  
20 then I could see with him during the assessment that he has had  
21 traumas, multiple traumas, during his mission, and I assumed  
22 that the PTSD was due to that, to the traumas during the

**DR. ROBERT OUELLETTE, Direct Examination**

1 mission.

2       **Q.** And, Doctor, you used the phrase "severe chronic  
3 intensity". So I assume you can have PTSD but PTSD without  
4 severe chronic intensity, is that correct?

5       **A.** Well, those are two different terms. PTSD can be  
6 light, it can be moderate or severe. In his case, there were  
7 severe symptoms of PTSD.

8       **Q.** And so ...

9       **A.** And "chronic" is another term saying that it can be  
10 acute, it can be, it just happened now, but after a while, after  
11 many years, it can become chronic, you know, it's always there.

12       **Q.** So if you can explain to us, in as basic terms as  
13 possible, what made Lionel Desmond's PTSD to rise to the level  
14 of severe chronic intensity? What was it about it that gave it  
15 another category of severe chronic intensity?

16       **A.** Well, it was severe because the symptoms were severe.  
17 He didn't sleep well, even with medications. He was always  
18 tired. He was very depressed. No interest in sports and  
19 activities. Very retired at home. No friends. And we could  
20 see that his social life was really very inexistent. We could  
21 see that he didn't eat well. He was by himself. He didn't have  
22 friends. So this made the symptoms much more severe. He had

**DR. ROBERT OUELLETTE, Direct Examination**

1 flashbacks. He had what we call dissociations. So ... and his  
2 functioning in a normal life - work, with friends - it was  
3 really, really bad.

4 **Q.** And what did you mean when you said he had flashbacks  
5 and dissociations? What did you mean by that and what were some  
6 examples?

7 **A.** Well, flashbacks, it is a dissociation. When a  
8 patient with PTSD is triggered by something, sometimes it's  
9 smell, sometimes it's a vision on the TV, it's a vision of  
10 violence, hearing ambulances, for example. So they are easily  
11 startled and they can relive the events, the traumatic event,  
12 and they are dissociated. They are not there anymore. They are  
13 in the event and they live real fear and anxiety then. And this  
14 is a flashback.

15 **Q.** And is this something that Lionel Desmond was  
16 experiencing on a very frequent and recurring basis?

17 **A.** Yes, he was. And during my assessment, I realized  
18 that there were odors, for example, noises, seeing Arabic  
19 persons. Those things were triggering him and making him relive  
20 the traumatic situation. And then that's why he would react  
21 with avoidance. He didn't want to go out, to go to places where  
22 there are crowds. He didn't want to be reactive to those.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**    So, Doctor, the fact that his diagnosis of PTSD was  
2 severe chronic intensity ...

3           **A.**    Mm-hmm.

4           **Q.**    ... in your experience, is that going to present more  
5 of a harder road to sort of get through? It was going to  
6 require some more work, some more intense treatment? Is that  
7 fair?

8           **A.**    Yes. It can mean that, but if the patient cooperates,  
9 then it can be treated well. For example, with the medication,  
10 with treatment in psychotherapy, the patient can be helped a lot  
11 then if he cooperates well.

12          **Q.**    So I'm just trying to gauge the level of his PTSD and  
13 the intrusiveness of the symptoms. You've treated many military  
14 veterans over your long career. How would Lionel Desmond rank  
15 in terms of, and I realize it's difficult to be accurate, but in  
16 terms of the severity of his PTSD symptomology? Where does he  
17 rank? Does he rank in the low end? The middle end? The high  
18 end? The exclusively high end? Where does he fall in that  
19 continuum?

20          **(11:00)**

21          **A.**    I would say medium to high, yes. It's not not rare to  
22 meet people with severe symptoms at our level because you have

**DR. ROBERT OUELLETTE, Direct Examination**

1 to know that when a military comes to us in residential they  
2 have been treated elsewhere for a long time. They have tried a  
3 lot of medication and treatments and then when they come to us  
4 usually we have the more severe cases. But in the case of  
5 Lionel Desmond it was severe but it was not unusual for us to  
6 see severe PTSD.

7 Q. Okay. I'm going to ask you about the chronicity and  
8 the intensity and how it impacted him directly. Did you get a  
9 sense of how the interplay of how PTSD was affecting his  
10 everyday life? For example, did it have any impact on his  
11 housing situation? Did you get any sense of that?

12 A. Well, he was not eating well. He was worried about  
13 the payments of his house. He was wanting to sell his house.  
14 He had difficulty to do that. He said he had money problems.  
15 Paying the bills, for example. He didn't have much at the end  
16 of the month to dispose of as money. He was very worried about  
17 finances and he was not eating well. He was rather isolated at  
18 home.

19 I must say that most of the time the patient suffering from  
20 PTSD, they try to isolate at home because they don't want to be  
21 triggered outside by the fact of seeing violence or ambulance or  
22 some kind of crowds. They are afraid to be triggered and to

**DR. ROBERT OUELLETTE, Direct Examination**

1 live those (revivisance?) of the trauma.

2 Q. And in Lionel Desmond's case you did say there was  
3 aspects of him isolating at home, isolating himself from others?

4 A. Yes, I could see that.

5 Q. And had his trauma been active in sort of affecting  
6 him in terms of his employment do you recall?

7 A. Well, he was release in 2015 and I saw him in 2016.  
8 So I don't think he had been working. I saw in the report that  
9 he had been working a while but he had difficulty with his  
10 relationship with the other workers where he was. I saw that in  
11 the report yesterday. But this was not something that I talked  
12 with him during the assessment.

13 Q. And so consistent with his relationship with other  
14 people he was working with that he had difficulties with, did  
15 you get a sense of how his condition was impacting his social  
16 interactions with others?

17 A. Well, yes, the symptoms that he had were impacting a  
18 lot with his social life. He was not sleeping well. He was  
19 very tired and depressed. So he had all the condition to have  
20 difficulties with the social life.

21 Q. How was he at ...

22 A. And in the same time ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**   How was he at multitasking? Keeping appointments,  
2 lining up appointments, dealing with more than one state of  
3 affairs at the same time.

4           **A.**   I cannot answer you for that because I ignored that, I  
5 don't know.

6           **Q.**   Sure. And did you get a sense that his mental health  
7 condition was impacting his relationship with his wife, Shanna  
8 Desmond?

9           **A.**   Yes, it was. That's what ... that was the first thing  
10 that he told me that he wanted to be a better husband and a  
11 better father, and that he was irritable at home, that ... which  
12 is a PTSD symptom. And he was irritable. He was ... there were  
13 a lot of problems with his wife. He made that the purpose of  
14 being with us in the stabilization. He wanted to get a better  
15 family life.

16          **Q.**   So in your understanding, was that his main goal for  
17 participating in the Ste. Anne's program, was to better his  
18 relationship with his wife and more direct ... as well his  
19 daughter?

20          **A.**   Yes.

21          **Q.**   Did you ...

22          **A.**   He was ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**    Go ahead.

2           **A.**    He was always talking about his marital life more than  
3 talking about the PTSD symptoms. But the main purpose was to  
4 have a better interpersonal life with his wife, his daughter,  
5 and others, yes.

6           **Q.**    And when you say that he spent more time talking about  
7 the relationship with his wife than even his PTSD symptoms, did  
8 it seem to you that in his clinical makeup that his home life  
9 with his wife and daughter was a primary compelling stressor in  
10 his life?

11          **A.**    Yes, I can say that.

12          **Q.**    And in your entire time with him up to June 26th was  
13 that a recurring theme, the struggle he had in the relationship  
14 with Shanna Desmond?

15          **A.**    Yes. This was a recurring theme, yeah.

16          **Q.**    And, Doctor, in your time with him up to June 26th did  
17 that ever seem to subside, the struggles that he was having as  
18 it related to that relationship?

19          **A.**    Well, something happened around the 24th of June while  
20 he was staying at the residential program. His wife, his child,  
21 and ... they came to Montreal. They did a trip to Montreal and  
22 he had four days with them and he could see them. He could go

**DR. ROBERT OUELLETTE, Direct Examination**

1 out of the hospital and be with them for four days. He was able  
2 to rent, I think, something, a hotel near the hospital. But he  
3 was seeing, also, an uncle and other persons of his family.

4 So something came up and he passed those four days with  
5 them and it went relatively well. And when he came back to the  
6 hospital he told the persons in the team that he has had a very  
7 nice weekend but he was ... at the same time, he was sad to see  
8 them go back home. But we could see that he wanted to improve  
9 the quality of his relationship with his family. Then we could  
10 see that.

11 **Q.** Did he have difficulties regulating his emotions? For  
12 example, if he became ... if something angered him that he was  
13 able to diffuse fairly quickly or easily or had sort of drastic  
14 mood swings, how was he at regulating his emotions that you  
15 witnessed?

16 **(11:10)**

17 **A.** Well, anger was a major problem for him and that's why  
18 he went to the residential program for anger management and for  
19 interpersonal relationships. And that was the work that was  
20 done there with him. But he had real problem with anger, with  
21 ... and that is why I said in my diagnosis that he was having  
22 personality traits because he had a lot of mood dysregulation,

**DR. ROBERT OUELLETTE, Direct Examination**

1 you know? He was ... when he became angry, once he became  
2 angry, it was very difficult to try to calm him and to change  
3 the subject. Okay? So that's why ...

4 Q. How would you go about treating that? How did you go  
5 about trying to minimize that if he was hard to sort of keep  
6 calm and he was very dysregulated ...

7 A. Yeah.

8 Q. Deregulated ...

9 A. Yeah.

10 Q. How do you go about treating that and how ... as it  
11 relates to Lionel Desmond?

12 A. Well, I must say that the psychiatrist in the  
13 stabilization program is ... mean of treatment is medication.  
14 You can give a patient some medication to stabilize his mood, to  
15 address the stress ... stressors in the most stable manner.  
16 Okay? So that's why I gave him Topamax, which is a medication  
17 for stabilizing mood, the mood. It is very good medication,  
18 also, for PTSD. It is used by a lot of clinicians in PTSD. So  
19 we had a good choice of medication there but he refused to take  
20 it and ...

21 Q. And, Doctor, in your ...

22 A. Yeah?

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**   ... opinion, how important was Lionel Desmond's  
2 treatment for anger and regulation of his moods, how important  
3 was medication for Lionel Desmond, in your opinion?

4           **A.**   It was essential. My opinion was that it was the  
5 first part of the treatment. He must have had a good medication  
6 to stabilize his mood and then after that other means -  
7 psychology, respiration, mentalization - in the residential  
8 program ... he would be more accessible to those kinds of  
9 treatment. But the first part was medication and that's ...

10          **Q.**   And in terms of Lionel Desmond ...

11          **A.**   Yes.

12          **Q.**   When he walked out the doors on August 15th, in your  
13 opinion, was medication and the continuation of medication  
14 essential for Lionel Desmond's stabilization and getting this  
15 anger and regulation of moods in check?

16          **A.**   Medication was still essential then. He accepted to  
17 take some medication. For example, he was taking medication for  
18 sleep. He was taking zolpidem. It helped him a lot. He was  
19 taking Seroquel also, which helped a lot also. Seroquel XR,  
20 which is Seroquel acting ... XR means extra-long release.  
21 Acting all day. And those medication helped him. He was  
22 sleeping better. He was much less depressed. The depression

**DR. ROBERT OUELLETTE, Direct Examination**

1 was gone I can say because he had interest. He was doing  
2 bicycling all the time. He was playing guitar. He had a better  
3 mood.

4 So he had improved on some symptoms but on the anger  
5 management he still had problems. And medication would help him  
6 a lot. I felt that being in the residential program they could  
7 make him accept more medication but he did not. So the work was  
8 still to be continued at home with his team over there.

9 Q. So I'm going to ask you a little bit about that but I  
10 want to review the medications first. So you indicated Topamax  
11 was for what purpose?

12 A. Topamax for mood regulation. It is given because it  
13 helps on anxiety but mostly on those mood, you know, when a  
14 patient suffers from PTSD they are easily startled, irritable.  
15 So Topamax works on that very well.

16 Q. And did it have some success with Lionel Desmond in  
17 reducing his irritability and anger?

18 A. Well, he took it only one day.

19 Q. So I'm going to ask you about that.

20 A. So I couldn't ... Yeah.

21 Q. So you prescribed it, you thought it would be helpful  
22 and you say he took it only one day. Why did he only take it

**DR. ROBERT OUELLETTE, Direct Examination**

1 one day?

2       **A.** Well, I'm not even sure if he took it one day. He was  
3 ... I could see in the nurse's report that he didn't trust this  
4 medication. He looked at the pill and he didn't want to take  
5 it. So the nurse called me and told me he didn't want it. So I  
6 didn't insist. I just stopped the medication stat. It means do  
7 not take it now. And then I tried to explore that with him  
8 after that.

9       **Q.** Did he seem receptive ...

10       **A.** I tried to con- ... no, not at all. He was very angry  
11 at me for bringing up the subject. He didn't want to take  
12 medication. He become angry. He became even a little  
13 aggressive with me and then I saw that he had personality traits  
14 and that's why I said after my assessment that there were  
15 paranoia traits in him.

16       **Q.** What do you mean by he became aggressive with you when  
17 you were trying to convince him to give Topamax a try because  
18 you thought it would be helpful in his symptoms of anger?

19       **A.** Yeah. Well, he told me he didn't want to talk about  
20 medication. He told me that ... the phrase he used, I remember  
21 it quite well, and I did put it in the file in my assessment.  
22 He said, Doctor, don't take the Desmond out of me. Meaning

**DR. ROBERT OUELLETTE, Direct Examination**

1 "demon". Desmond. Don't take the Desmond out of me because  
2 something can happen. And I saw that he didn't want at all to  
3 take medication or more medication. And I decided to wait a  
4 little because he was not in a good mood then.

5 And after that he still told me ... he still ... he told me  
6 that he had taken marijuana in the past and it didn't help him.  
7 He didn't take it for a long time. And all the medication that  
8 he took were having side effects and he wouldn't take any more  
9 medication so ...

10 **(11:20)**

11 **Q.** So I just want to back up a little bit. You said he  
12 said, Don't take the Desmond out of me, or, the demon out of  
13 Desmond? What ...

14 **A.** The Desmond.

15 **Q.** What did you under- ...

16 **A.** The Desmond.

17 **Q.** What did you understand that to mean?

18 **A.** Well, I understood that he didn't want to take  
19 medication, more medication at all, and it was impossible to  
20 discuss the medication with him at that moment because he would  
21 become more angry. And I decided just to wait the right moment  
22 to talk about the medication once more after that.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**   And when you said he said, Something will happen, is  
2 that what he said to you?

3           **A.**   Yeah.

4           **Q.**   And in that context what did you understand he was  
5 referring to?

6           **A.**   Well, I don't know what he meant but it was kind of a  
7 way for him to say, I don't want to speak about that, I'm going  
8 to finish the interview and go back to my room.

9           **Q.**   So did you ...

10          **A.**   Something like that.

11          **Q.**   Did you interpret ...

12          **A.**   I didn't feel he was ...

13          **Q.**   Go ahead.

14          **A.**   ... threatening me, no.

15          **Q.**   Okay.

16          **A.**   Yeah.

17          **Q.**   But his resistance to medication and how he would  
18 become angry about the topic of medication, did that cause you  
19 some concern from a clinical perspective?

20          **A.**   It was a real concern for me because if we want the  
21 patient to be stabilized we have to give him the good  
22 medication. We have to give him antidepressant, for example,

**DR. ROBERT OUELLETTE, Direct Examination**

1 for the depression. We have to give him mood stabilizer. In  
2 this case it was mood stabilizer. We have to give him that if  
3 we want his mood to be calm, more calm, more easy in his  
4 personal relationships. We had to give him some medication to  
5 sleep, to ... Just in case he would have a panic attack, he  
6 would have to take something.

7 We have this medication that we can give to a patient just  
8 to stabilize him so he will be able to meet stressors of life  
9 without outbursts.

10 **Q.** So you felt, clearly, that medication was important to  
11 get to Lionel Desmond's stabilization.

12 **A.** Yeah, seeing how he reacted this day, I was convinced  
13 he needed medication. Absolutely.

14 **Q.** And in your opinion, Doctor, in the time he spent from  
15 May 30th to June 26th, although you did at some point say you  
16 saw various improvements, was it your opinion that he became  
17 clinically stabilized or was that still something that was left  
18 ongoing?

19 **A.** Mm-hmm. Well, I think he was stabilized in a way  
20 because he was sleeping better, because his depression was gone.  
21 He was more active. He was talking with other patients. He was  
22 active in sports, playing guitars. So the depression was

**DR. ROBERT OUELLETTE, Direct Examination**

1 better. The sleep was better. He had more energy. So he was  
2 improving and I think this is the way environmental therapy  
3 works, you know? He was in security with other members of the  
4 Canadian Forces.

5 This improved him but as for this part, PTSD, irritability,  
6 mood disregulation, then he needed medication. Absolutely.

7 **Q.** How important was medication going to be when he  
8 walked out the doors and into the community on August 15th? How  
9 important was it going to be for his stabilization in the  
10 community and going forward?

11 **A.** Well, I think there were work to do with that after  
12 that, but I didn't see him then because we don't see patients  
13 when they are in residential program.

14 **Q.** And I appreciate that but you know, as his treating  
15 psychiatrist at Ste. Anne's, that he's not going to be there  
16 forever. And you know that medication is important to his  
17 continued stabilization as it relates to anger and regulation of  
18 moods and irritability.

19 **A.** Mm-hmm.

20 **Q.** Would you have liked to have seen that Lionel Desmond  
21 was going to be in contact with a psychiatrist in the community,  
22 for example, that was going to either prescribe him the

**DR. ROBERT OUELLETTE, Direct Examination**

1 appropriate medication or at least discuss that possibility with  
2 him?

3 **A.** Yes. Well, each time we have a patient with us, at  
4 the end of his treatment with us we have a conference, a  
5 conference call, with the team, for example, in this case in New  
6 Brunswick. And our team and their team meet and they share the  
7 informations and they send the papers for that.

8 So it must have been made, but I wasn't there. I think it  
9 was made on the 9th of August. As I could see in the file it  
10 has to be ...

11 **Q.** So the recommendation ...

12 **A.** Yeah.

13 **Q.** ... that he continue with medication in the community  
14 was made is your understanding?

15 **A.** I cannot say yes because I didn't see that but the  
16 other members of the team can tell you if yes or no. Yes.

17 **Q.** That's fair. I'm going to ask you about the other  
18 medications and his reactions to those. So we discussed Topamax  
19 and then ...

20 **THE COURT:** Mr. Russell, I'm going to stop you for a  
21 second.

22 **MR. RUSSELL:** Yes.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **THE COURT:**       Dr. Ouellette, we started at around 9:30 and  
2 it's ... at least Halifax time, and it's about 11:30 now. We  
3 would normally take a break, and I was just going to ask you  
4 whether or not you'd like to take a break for maybe 15 minutes  
5 or so or come back if you're prepared to. Otherwise we would  
6 just continue through for about another hour before we broke.  
7 Your choice.

8           **A.**     I will be glad to take a break, Your Honour, yes.

9           **THE COURT:**       All right. That's fine. So we'll break for  
10 maybe about 15 minutes or so. Maybe about quarter to 11 your  
11 time we'll see you back on video. Thank you.

12          **A.**     Okay. Thank you.

13 **COURT RECESSED           (11:28 HRS.)**

14 **COURT RESUMED           (11:47 HRS.)**

15          **THE COURT:**       Mr. Russell?

16          **MR. RUSSELL:**     Hello again, Doctor.

17          **A.**     Hello.

18          **Q.**     Hi. So I'm taking you through the various  
19 medications. We talked about Topamax and his resistance to the  
20 concept of even taking Topamax. I'm going to move to Sublinox.  
21 So what was Sublinox for?

22          **A.**     Sublinox is for sleep. It's ... the name is Zolpidem

**DR. ROBERT OUELLETTE, Direct Examination**

1 and zopiclone. I think the patient had taken zopiclone before.  
2 Almost the same medication. It's given to trigger rapid sleep.  
3 Usually, it acts for four or five hours but it helps very much  
4 the patient to sleep.

5 Q. And did Lionel Desmond take that while under your  
6 care?

7 A. Yes, he did.

8 Q. Did he have a similar resistance to that medication or  
9 a desire not to take that medication?

10 A. No, he accepted that, he did. I believe he had taken  
11 other medication for sleep before and this he accepted.

12 Q. Did he report to you any side effects or complaints as  
13 a result of that medication?

14 A. No.

15 Q. Moving next to prazosin, what was that prescribed for?

16 A. Prazosin?

17 Q. What was that prescribed for?

18 **(11:50)**

19 A. Prazosin is ... it's not a medication for ... to treat  
20 mental problems. Prazosin is a medication, it is an alpha  
21 blocker. It is designed for hypertension. It acts on the blood  
22 pressure, but giving that to the patients, we realize that they

**DR. ROBERT OUELLETTE, Direct Examination**

1 have less nightmares, so when a patient has nightmares, we give  
2 prazosin usually at lower dosages first, but we increase the  
3 dosage until the patient has a better sleep with less  
4 nightmares. And we know in psychiatry, for PTSD, that  
5 nightmares are very difficult to tolerate for a patient because  
6 the nightmares are extremely vivid. The person becomes agitated  
7 during the sleep, sometimes so agitated that they can hurt the  
8 persons with them. And in the case of Mr. Desmond, I began with  
9 two milligrams, wanting to increase the dosage. We can increase  
10 it until 15 milligrams, but in the case of Mr. Desmond, he  
11 didn't want to increase it. He just stayed at two milligrams, I  
12 think, or ... I would have to see. It was one milligram at  
13 first and then two milligrams.

14 **Q.** So did you observe any sort of success with prazosin  
15 and Lionel Desmond? Was it helpful, in your judgement?

16 **A.** Yes, it was very helpful. He slept better and he had  
17 less nightmares. He could show less fatigue in the morning  
18 while getting up and doing his things, yes.

19 **Q.** In your opinion, would you have liked to have seen the  
20 increase in that dosage? Would you have liked to see Desmond  
21 not resistant to that idea?

22 **A.** Well, at two milligrams, he showed improvement. It

**DR. ROBERT OUELLETTE, Direct Examination**

1 was okay for me. It was okay because he had less nightmares,  
2 yes.

3 Q. Seroquel XR, 50 milligrams. What was that used for?

4 A. Seroquel is a typical antipsychotic. At high dosages,  
5 it is given for psychotic reactions or schizophrenia or  
6 difficult conditions, severe conditions. But at low dosages it  
7 helps to sleep at night and it also lower the anxiety. So when  
8 I gave him Seroquel XR, extra-long release, which is the basic  
9 ... you don't have Seroquel at a lower dosage. This is the  
10 basic. So I intended to raise it until the patient showed  
11 better concentration, lower anxiety, but he didn't want to raise  
12 it at all.

13 Q. So were you ever able to prescribe Seroquel in the way  
14 that you found it would be most effective?

15 A. No. It would have been more effective at 100 or 200  
16 milligrams but I could not try that. He didn't want that.

17 Q. Did he eventually stop taking the Seroquel at 50  
18 milligrams or did he continue at that dosage?

19 A. He continued. He continued until his release from the  
20 department of stabilization and I cannot say if he continued  
21 after that. I don't ... I was not there.

22 Q. And you say that he did express some resistance to the

**DR. ROBERT OUELLETTE, Direct Examination**

1 idea of increasing the dosage.

2 **A.** Yes. He didn't want at all.

3 **Q.** And as well, Seroquel, 25 milligrams. What was that  
4 used for?

5 **A.** Well, it was prescribed three times a day, but it was  
6 what we call a "PRN" because I didn't want to push the patient,  
7 you know, to press him to take this medication, but it was good  
8 ... it is good for anxiety, for example, mood dysregulation. It  
9 would have been good for him to feel less anxiety and then be  
10 able to manage his entire personal encounters better, to be able  
11 to meet stress in a better way.

12 **Q.** And did he take that medication?

13 **A.** I don't think he took it. For example, I've seen in  
14 this file that the nurse gave him some Seroquel when he had this  
15 weekend with his family, and when he came back he gave her back  
16 the Seroquel. He didn't take it during the weekend. Maybe he  
17 didn't feel stressed but it would have been good for him to take  
18 it to feel better with his family.

19 **Q.** And overall, Doctor, do you think, had he been more  
20 compliant with medications, that it would've been helpful with  
21 his stabilization?

22 **A.** I think so. I think that if he had taken the right

**DR. ROBERT OUELLETTE, Direct Examination**

1 medication, it would have been ... he would have showed more  
2 progress in the residential program and, after that, at home.

3 Q. We're going to move back to your second diagnosis,  
4 moving on from PTSD. The second principal diagnosis you have is  
5 chronic major depression related to PTSD.

6 A. Mm-hmm.

7 Q. I wonder if you could explain to us what that was as  
8 it relates to Lionel Desmond.

9 A. Yes. Well, it means that the patients with PTSD have  
10 ... in his case, there was several severe symptoms. No sleep,  
11 fatigue, no interest in activities, no sports, no friends. So  
12 with these conditions, the patient gets depressed, you know. He  
13 is not stimulated by others, he doesn't eat well, or sometimes  
14 they eat too much. He's not interested in activities that helps  
15 them to feel better. So they become very depressed and  
16 inactive. And that's what I wanted to say, saying that the PTSD  
17 was related to the depression. The PTSD and the symptoms of  
18 PTSD were conditions for the depression.

19 Q. And you said that this was chronic in nature, so ...  
20 and I understand it as ...

21 A. Chronic.

22 Q. ... more frequent, I guess, than major depression.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Yes. Major depression, we can say it's chronicized  
2 after a few years, when it's always there. So after a year or  
3 two, if there are ... if there is depression and symptoms of  
4 depression, we can say it's chronic.

5           **(12:00)**

6           **Q.**    So were you, in your opinion, of the view that Lionel  
7 Desmond had suffered from major depression for years?

8           **A.**    Mm-hmm, yes, I could say that.

9           **Q.**    And, Doctor, what sense did you get, how was his  
10 chronic major depression impacting his day-to-day life?

11          **A.**    I think he didn't have a day-to-day life. He was  
12 isolated and he had a lot of symptoms and he said he was not  
13 eating well. He told me when I assessed him that he was ...  
14 For example, I always look at that in my assessment. He wasn't  
15 ... that his weight 2,000 ... 206 pounds a few weeks before and  
16 then he was 192 pounds when I met him. So he had less weight.  
17 He didn't eat well at home. He was away from his wife and kid  
18 and he was not active. He didn't have activities - theatres or  
19 things to help him have a better mood, you know. Yeah.

20          **Q.**    So I'm going to move to ... you diagnosed him with  
21 alcohol abuse but you indicated that it was in recent remission.  
22 Did you get a sense ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Yeah.

2           **Q.**    ... of how frequent was his alcohol use?  What level  
3 was it at?

4           **A.**    Yes.  Well, when he came back from his mission in  
5 Afghanistan, he began drinking more and more, and in my  
6 assessment, he told me he was drinking 12 beers a day and it was  
7 ... it had become a problem.  At some point, he said that they  
8 gave him cannabis and that he stopped drinking and taking  
9 cannabis instead, and he didn't like the effect of cannabis on  
10 him as he didn't like the medication effect on him.  It was ...  
11 and he stopped taking cannabis before coming to our program.  So  
12 he had stopped, I think, a few days before, and he didn't know  
13 if he would take back cannabis when he would go back home.  So  
14 ... yes.

15          **Q.**    At some point, Doctor, I'm going to ask you a specific  
16 question about your opinion on cannabis as it relates to Lionel  
17 Desmond but I'm going to wait until you discuss the diagnosis of  
18 mixed personality.

19          **A.**    Okay.

20          **Q.**    But I will go back to that specific question.  So from  
21 your expert opinion, did the fact that he had a history of  
22 alcohol abuse, would that have had a compounding effect on his

**DR. ROBERT OUELLETTE, Direct Examination**

1 PTSD and his chronic major depression?

2       **A.** I think so because alcohol and cannabis can have  
3 depressant effects on people. Cannabis can have effects on the  
4 cognitive conditions of a person, and in the case of Mr.  
5 Desmond, it could have had depressant effects and the effects on  
6 his cognition, you know, attention, concentration, maybe memory.  
7 And that's why people taking cannabis don't drive. So in his  
8 case, there already, I had noted that his concentration was bad,  
9 so it would not be a good idea to take alcohol or cannabis.

10       **Q.** I'm going to move to your fourth principal diagnosis  
11 and I'm going to spend some time here. The fourth principal  
12 diagnosis, traits of mixed personality. So clinically, what are  
13 traits of mixed personality?

14       **A.** Okay. Well, you see, personality in a person is a way  
15 of adapting to the outside world, the reality. It's a way ...  
16 in a normal person, the personality helps him to adapt to  
17 stressors or things in their reality, but when we have a person  
18 with personality traits, it means that they are more rigid.  
19 They adapt less well to their reality, to stressors, and they do  
20 that in a specific way. For example, some people have  
21 obsessive- compulsive traits of personality. So they are always  
22 cleaning, always arranging things. But other persons may have

**DR. ROBERT OUELLETTE, Direct Examination**

1 paranoid personality, okay, traits. So with traits, they are  
2 more suspicious of others. They don't give ... they don't trust  
3 others so easily. They have doubts about others. Maybe they  
4 have some doubts about the spouse. They can have jealousy or be  
5 mis- ... have some ... they don't trust the others so well.  
6 They are suspicious. So this is paranoid.

7       And then you have other traits, borderline traits, schizoid  
8 traits, and it is not so important as a personality trouble.  
9 When you have a personality trouble, you have the whole problem,  
10 you know, it is intense and severe. So ... and usually begins  
11 at the end of adolescence or early adulthood.

12       So you have personality trouble. They begin very early at  
13 life and it's a way of being with others, really severe. And  
14 then you can have personality traits, like what I said for Mr.  
15 Desmond, which is a less severe condition, but it is a condition  
16 colouring the frame, you know, colouring the life of the  
17 patient. And then, in the case of Mr. Desmond, I thought and I  
18 saw that there were paranoid traits.

19       **Q.** Now that was going to be my question. What were the  
20 mixed personality traits and what were the paranoid traits?

21       **A.** Yeah. Well, in the case of Mr. Desmond, there were  
22 obsessive-compulsive traits. He was cleaning all the time.

**DR. ROBERT OUELLETTE, Direct Examination**

1 They gave him a task to clean the kitchen, to clean the ... he  
2 liked to do that a lot and he was really angry seeing persons  
3 putting dirty feet on the floor. Things like that. And he also  
4 had a paranoid personality disorder. So that's why we see  
5 mixed. There are traits of many - sometimes two, sometimes  
6 three - type of personality. Why did I say that for paranoid  
7 personality? It is ... the fact that Mr. Desmond ... there ...  
8 it was really difficult to gain his trust, to gain a therapeutic  
9 alliance with him. And, for example, giving him medication to  
10 improve. This was really quite impossible with him. While he  
11 was with the nurses and with other persons, he would not give  
12 them his trust easily. He would be ambivalent, okay? Should I  
13 stay in the stabilization program or go back home? He was  
14 ambivalent about that and he was easily angry if someone would  
15 contradict him and he had problems in controlling his emotions.

16 **(12:10)**

17 So that's why this was said about him. It was with a  
18 diagnosis. I understand that a psychologist ... I recommended a  
19 psychometric test for him to have a detailed vision of his  
20 personality, and I understand that Isabelle Gagnon, the  
21 psychologist, the health psychologist we had, did some testing  
22 with him, and although it was in the residential program after

**DR. ROBERT OUELLETTE, Direct Examination**

1 that, she arrived to almost the same conclusions as me. She  
2 said he had personality traits. She talked about borderline  
3 traits. I talked about the paranoid traits, but we had the same  
4 conclusion about the traits of personality.

5 **Q.** Is there a difference between borderline traits and  
6 having personality traits?

7 **A.** Some of the symptoms are almost the same, but there is  
8 a good difference between both of them. In the borderline  
9 traits, someone always feels fear of being rejected. They have  
10 outbursts always. Anger is omnipresent in their state of mind.  
11 They can be paranoid too. They can have some paranoid thoughts.

12 So in both, we can have the same features, but essentially,  
13 the borderline presents himself in another way, okay? He can  
14 have ... he can be very, very glad and feeling great one day  
15 with some person, and then the other day, it's a contrary. He  
16 feels very low and very ...

17 **Q.** Did you observe any of that with Lionel Desmond?

18 **A.** With Lionel Desmond, I observed mostly that he feared  
19 to be ... to trust the other persons. For example, if two  
20 persons talked together, he would think that they were talking  
21 about him. And during the time he was in the army, he was  
22 alone, he was rather isolated, and when he tried to work ... for

**DR. ROBERT OUELLETTE, Direct Examination**

1 example, he tried to work after he was released from the army  
2 and then he was having difficulties with the other persons  
3 working with him because they were not acting properly for him  
4 in this sense, yes.

5 Q. So you indicated here, you said, "Traits of mixed  
6 personality", and you described how they could involve paranoid  
7 personality traits. If I'm recalling correctly, did you say  
8 Lionel Desmond had paranoid personality disorder?

9 A. No. It was paranoid personality traits, not disorder,  
10 because to say that it was a disorder, you have to go back in  
11 his history and know exactly how he was behaving as he was an  
12 adolescent and in early adulthood. "Disorder" means it began a  
13 long time ago.

14 Q. And these personality ...

15 A. I did not feel those were from ...

16 Q. And these personality traits and paranoid personality  
17 traits, did you get a sense of whether they were independent of  
18 his trauma experienced in Afghanistan? Were they existing  
19 separate and apart from military trauma?

20 A. Yes. The personality, the traits of personality, are  
21 independent of the PTSD and I could understand then why the  
22 treatment had been difficult with him. It was because of those

**DR. ROBERT OUELLETTE, Direct Examination**

1 personality traits. You see, when we see patients with PTSD,  
2 they often have, or almost all of the time they have what we  
3 call comorbid diagnosis, okay? So we have someone with PTSD,  
4 but also he has depression, he has anxiety problems, he has  
5 alcohol problems, and he can have personality traits. All those  
6 conditions, comorbid conditions, interfere with the PTSD, and  
7 then we have to treat the comorbidity before treating the PTSD.  
8 And those comorbid conditions, they have symptoms of their own  
9 and it can relate with the PTSD. It can worsen the symptom of  
10 PTSD.

11 So with some patients; for example, a patient who has a  
12 real personality disorder, the disorder is so intense that it is  
13 difficult to treat the PTSD, okay? So we have to do something  
14 with the comorbidities before treating the PTSD. But anyway,  
15 that's why the medication is very important because ...

16 **Q.** So in Lionel ... Go ahead.

17 **A.** Yeah. And if the patient is not medicated, it's going  
18 to be very difficult to treat the comorbidities and to have  
19 therapeutic alliance and treat a patient, yeah.

20 **Q.** So in Lionel Desmond's case, were his mixed  
21 personality and paranoid personality going to factor into the  
22 difficulty in treating his PTSD?

**DR. ROBERT OUELLETTE, Direct Examination**

1 (12:20)

2 A. Yes. Indeed.

3 Q. So if Lionel Desmond was sent to a psychologist to be  
4 treated for PTSD, in your opinion, was there more to be treating  
5 Lionel Desmond than just simply psychotherapy for PTSD?

6 A. I think so. I think that psychotherapy could be aimed  
7 at the PTSD, yes, but in the same time, he needed to be  
8 addressed for comorbid features in his case.

9 Q. Could you get a sense of how long those personality  
10 and paranoid personality traits were present in Lionel Desmond?  
11 Could you get a sense ... I realize you can't trace it back  
12 forever, but did you get a sense of when they would've developed  
13 and when they came to be? Were they always there?

14 A. I have a sense that, with the PTSD, with the intensity  
15 of the PTSD, his personality traits were worsened, I think, yes.  
16 They could have been there for a long time, but under pressure,  
17 under ... with the PTSD, they could have been worse.

18 Q. Did you get a sense of how his personality and  
19 paranoid personality traits impacted his relationship with his  
20 wife and daughter?

21 A. Surely, because they are around him all the time and  
22 he was surely very symptomatic, so with the PTSD, we have a lot

**DR. ROBERT OUELLETTE, Direct Examination**

1 of symptoms. For example, irritability. I have here  
2 hypervigilance, startling reaction, problems with concentration,  
3 difficulty ... irritability, for example, is very high and it  
4 can be very, very difficult with the persons around you, yes.

5 **Q.** What about issues of trust as it came to, say, Shanna  
6 Desmond in the relationship?

7 **A.** Mm-hmm. Yes. Well, with paranoid traits, you can  
8 have jealousy, you can have doubts about the spouse fidelity.  
9 So this is important ... an important factor in those cases.  
10 They doubt everybody. They doubt their spouse, they doubt their  
11 best friend. They don't ... they will not make confidence to  
12 others because they fear it's going to work against them and  
13 sometimes they perceive aggressivity from others without motive.  
14 So their interpersonal reactions are quite uneasy and especially  
15 with the spouse ...

16 **Q.** So Doctor ...

17 **A.** ... because she is the one ...

18 **Q.** Go ahead. Especially with the spouse ...

19 **A.** Because they live together.

20 **Q.** I'm going to conclude this bit about mixed personality  
21 traits with sort of two questions. One, what was the ... in  
22 your opinion, what level of insight did Lionel Desmond have into

**DR. ROBERT OUELLETTE, Direct Examination**

1 his condition generally and his condition about having mixed and  
2 paranoid personality traits? What was his level of insight and  
3 understanding?

4 **A.** He didn't have insight of that. He began to say, I  
5 think I have PTSD. While he was with us, he began to say that,  
6 but at the same time, he was saying that his condition might be  
7 due to head concussions and that he should treat himself with  
8 neuropsychologic treatment. He had a treatment in mind and this  
9 was not at all the treatment for PTSD so ...

10 **Q.** How were you going to go about treating his mixed  
11 personality and paranoid personality traits? How would you go  
12 about treating it?

13 **A.** Well, medication was the key and it always has been my  
14 opinion that he should have taken medication. When he went to  
15 the residential program, he would have group therapy about  
16 medication and I was hoping that the psychoeducation about  
17 medication, I was hoping that the fact that he saw other  
18 patients taking their medication, would help him to change his  
19 mind, but it didn't change his mind during the time he stayed  
20 with us. So this has to be done after his departure from the  
21 department and with his treating doctor at home. But no one can  
22 give medication to a patient who says no. It's impossible.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**    Would it be important for Lionel Desmond to have  
2 someone perhaps, like you had said, a doctor at home in the  
3 community that would actively encourage him to take medication?

4           **A.**    I think that the work had to be continued with his  
5 family doctor or his psychiatrist at home. I'm not sure he  
6 would have changed his mind because he was categoric with me.  
7 Yes, I'm not sure.

8           **Q.**    Do you think ... I realize I told you I was going to  
9 ask two questions. I'm almost done here this morning, Doctor.

10          **A.**    Yes.

11          **Q.**    Do you think, without taking those medications and in  
12 the community, did Lionel Desmond sort of pose a risk that he  
13 would quickly destabilize?

14          **A.**    I ... it's ... I cannot answer this question because I  
15 saw him only at the stabilization program. I saw that he became  
16 angry talking of certain subjects, like medication or sometimes  
17 money, but to what extent would he react at home, I cannot  
18 answer that. It's impossible. But he was easily upset when  
19 avoiding subjects he didn't like. This, I saw.

20          **Q.**    I think, Your Honour, that's sort of a natural  
21 stopping point, subject to the Court, obviously.

22          **THE COURT:**    No, thank you, Mr. Russell.

**DR. ROBERT OUELLETTE, Direct Examination**

1 Dr. Ouellette, we would normally break for lunch. It's  
2 12:30 here. It may be just a late brunch for you in Montreal.  
3 I guess it's only 11:30, but we are going to take a break for  
4 perhaps an hour and then resume this afternoon, if that's  
5 agreeable to your schedule, hopefully?

6 **A.** Okay.

7 **Q.** All right.

8 **A.** Yes.

9 **Q.** Thank you, Doctor. So we'll get back to you sometime  
10 within the hour.

11 Maybe about 12:15, 12:20 your time, if you could be around  
12 your computer and we'll re-establish the link.

13 **A.** Okay.

14 **Q.** Thank you very much.

15 **A.** Okay.

16 **Q.** Appreciate your time. Thank you.

17 **A.** Have a good appetite.

18 **Q.** Thank you.

19 **COURT RECESSED (12:29 HRS)**

20 **COURT RESUMED (13:31 HRS)**

21 **THE COURT:** Mr. Russell?

22 **MR. RUSSELL:** Good afternoon, Doctor.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Good afternoon.

2           **Q.**    So where we left off, we left it off our discussion  
3 with mixed personality traits. I'm going to take you to page 15  
4 of the report from Ste. Anne's.

5           **A.**    I don't ... okay.

6           **Q.**    Do you see that there, Doctor?

7           **A.**    Yes, I see it.

8           **Q.**    I'm just going to read it so it's ... this is a report  
9 that was done by you. Correct?

10          **A.**    Yes, in a way. It is an administrative procedure and  
11 it's probably a clerk that took the file and filled the report  
12 with the diagnosis I had made.

13          **Q.**    Okay. So I'm just going to read to you first the  
14 diagnosis, the number of things. It says: "Post-traumatic  
15 stress disorder, mental and behavioural disorders due to the use  
16 of alcohol dependent syndrome, low back pain, tinnitus, severe  
17 depressive episode without psychotic symptoms, mixed personality  
18 disorders and other personality disorder, paranoid personality,  
19 anxiety disorder unspecified." So is that an accurate  
20 description of the various diagnoses and mental health stressors  
21 Lionel Desmond had?

22          **A.**    No. And it was not me who filled this diagnosis. I

**DR. ROBERT OUELLETTE, Direct Examination**

1 don't know where it comes from. Probably ... I really don't  
2 know but I would adjust the terms of the diagnosis. It is  
3 mostly accurate but I would say it in another way. Maybe if I  
4 can see all the diagnoses, I can help you with that.

5 Q. Yes.

6 A. For example, post-traumatic stress disorder, we can  
7 add to that, severe intensity. That's what I would have put.

8 Q. Okay.

9 A. Mental trouble. Well, for the alcohol, we can say:  
10 "Abuse and utilization of alcohol in recent remission". Okay?  
11 Remission.

12 Q. Okay.

13 A. The lombalgie, acouphenes, those are medical diagnoses  
14 made by Dr. Richer probably.

15 Q. And is that lower back pain?

16 A. Yes, lower back pain. And "acouphene" means tinnitus,  
17 it means having something in the ear, you know, noises in the  
18 ear due to the ... probably the military work. So those two are  
19 medical diagnoses, physical problems.

20 Q. And any other corrections?

21 A. And then ... yes. "Depression severe." When the  
22 patient went at the ... to the residential program, I would have

**DR. ROBERT OUELLETTE, Direct Examination**

1 said, "Depression in amelioration."

2 Q. And what do you mean by that?

3 A. I mean that he was less depressed than when he came to  
4 the department, so we can say, Major depression in partly ...  
5 with partial amelioration. Okay?

6 Q. Okay.

7 A. He was not as depressed anymore as when he came.

8 Q. Okay.

9 A. And then "Troubles mixies de la personalite." "And  
10 other troubles of personality." As I told you, he didn't have  
11 the intensity of a personality trouble then. It was, we can  
12 say, personality traits, mixed personality traits. This would  
13 have been the right way to say that.

14 Q. Okay.

15 A. Mixed personality traits. And then we can add (French  
16 term) and paranoia traits because "personalite paranoiaque",  
17 which is down is not accurate for him.

18 Q. Okay. So it would be ...

19 A. I don't know what ...

20 Q. So just to correct it. So it would be personality  
21 traits as opposed to personality disorder?

22 A. Yes.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **Q.**    Okay.

2           **A.**    I don't know where they took those diagnoses and why  
3 they did it that way but it's not accurate.

4           **Q.**    And finally, Doctor, where it says, "Anxiety disorder  
5 unspecified." Is that accurate?

6           **A.**    No, because the anxiety was coming from the PTSD and  
7 the depression. So it was specified that the anxiety was coming  
8 from something specified, the PTSD.

9           **Q.**    Okay. I just wanted to correct that particular page  
10 of the record based on your testimony and based on your actual  
11 report.

12           My question is this, Doctor. I guess we're guilty of some  
13 ways of referring to Lionel Desmond as a military veteran who  
14 was struggling with PTSD and really only discussing PTSD as the  
15 source for all of his difficulties.

16           **A.**    Mm-hmm.

17           **Q.**    Is it fair to say that Lionel Desmond's was much more  
18 than that? The complexity of his condition was much more than  
19 PTSD?

20           **(13:40)**

21           **A.**    Yes, it's true to say that because with that, he had  
22 what we call comorbidities. He had personality traits, mixed

**DR. ROBERT OUELLETTE, Direct Examination**

1 personality traits. He had depression, he had alcohol abuse,  
2 and he also had marijuana consumption, medically prescribed. So  
3 he had some comorbidities with the PTSD. It was not only PTSD.

4 **Q.** So, Doctor, in terms of an ultimate treatment for  
5 Lionel Desmond, did it go further than just he needs treatment  
6 for post-traumatic stress disorder?

7 **A.** Mm-hmm. Yes, he needed treatment for the ... all the  
8 ... of what he got, but we had to begin with the comorbidities  
9 and treat ... yeah.

10 **Q.** So, Doctor, I'm going to ask you a question about  
11 cannabis being prescribed medically. Knowing what you know  
12 about Lionel Desmond and the comorbidities - post-traumatic  
13 stress disorder - would you have ever prescribed him cannabis?

14 **A.** First of all, we don't ... I don't prescribe cannabis  
15 to my patients because in Quebec, we don't have the right to do  
16 that. We have to have a license to prescribe cannabis. And  
17 Medical College of Quebec asked us not to prescribe and it gave  
18 permission to certain physicians to prescribe cannabis as a part  
19 of research on cannabis. Up to now, we don't have the right to  
20 do that, but if I had the right to do it, I'm not an expert in  
21 that. I don't ... I would have to study the subject. But what  
22 I know from the patients that I see taking cannabis and

**DR. ROBERT OUELLETTE, Direct Examination**

1 medication together, I can assure you that the cannabis would  
2 not have been an indication for Mr. Desmond because Mr. Desmond  
3 showed a lot of problems of attention and concentration,  
4 sometimes memory, and we know that cannabis can influence  
5 attention and concentration and memory and it would have  
6 augmented his problems with cognitive problems. So it was  
7 contraindicated with him.

8       **Q.** How would the consumption of cannabis impact his mixed  
9 personality traits and paranoid personality traits?

10       **A.** Well, being less concentrate, being ... having less  
11 attention, then his interpersonal relationships would certainly  
12 have been different, but I cannot go farther than this with him.  
13 I would have to be there. I would have to see what was going on  
14 with him taking cannabis. He didn't take cannabis at the  
15 hospital and I would have had to observe it, you know. I cannot  
16 ... but the literature tells us that cannabis can aggravate the  
17 cognitive impairments in patients and I believe that.

18       **Q.** And, Doctor, so I'm going to bring you to page 41.  
19 We'll pull it up on the screen and it's under "Reasons for  
20 Admission". Do you see that, Doctor?

21       **A.** Yes, I see that.

22       **Q.** Now obviously I'm going to read this in English and I

**DR. ROBERT OUELLETTE, Direct Examination**

1 hope it translates.

2       **A.**    Okay.

3       **Q.**    I hope it translates well.

4       **A.**    Okay, I'll listen.  Okay.

5       **Q.**    You indicate that he has side effects of most drugs  
6 given since it continues to be very symptomatic.  He is referred  
7 to the stabilization program and residential program for an in-  
8 depth assessment and for medication adjustments.  Do you see  
9 that?

10       **A.**    I see that.

11       **Q.**    And was that the main purpose for his admission to  
12 Ste. Anne's?  Medical adjustments and an in-depth assessment?

13       **A.**    It was, yes.  In the program of stabilization, this  
14 was the reason for admission.

15       **Q.**    And, Doctor, at page 42 under "Medical Surgical  
16 History", it notes that Lionel Desmond described to you a past  
17 history where he might've fell into a hole while he was injured  
18 and injured his spine during service.  Do you recall that?

19       **A.**    Yes, I see it, yes.

20       **Q.**    Did you notice anything with Lionel Desmond that would  
21 show maybe a neurocognitive deficit?

22       **A.**    Well, during his staying with us, it was observed by

**DR. ROBERT OUELLETTE, Direct Examination**

1 me and by the team that he had neurocognitive problems and I  
2 mean by that, attention or concentration or sometimes organizing  
3 his appointments, being late at meetings and things like that.  
4 It was observed and that's why, because we were aware that he  
5 has had accidents during his missions or his work, we decided to  
6 ask for him a complete neuropsychometric test to evaluate this.  
7 Those psychometric tests could not be done at Ste. Anne's  
8 Hospital because it requires to be done by a special  
9 psychologist specialized in neuropsychology. So we recommended  
10 it to his team so it would be done when he come back home  
11 because it takes a long time to do those tests, in many days,  
12 you know. It's questions and charts and he has to answer to a  
13 lot of tests before having the opinion about that, yeah.

14 **Q.** I understand. So Ste. Anne's didn't have the capacity  
15 or ability to do that test while he was there?

16 **A.** No. There were no neuropsychologists in Ste. Anne's  
17 at that time. And then we recommended it, but usually it takes  
18 a few weeks before receiving a response for that. So we prefer  
19 to tell the team in place and to suggest for them to do that  
20 with the patient.

21 **Q.** So, Doctor, I'm going to have you turn to - and we'll  
22 bring it up on the screen - page 261.

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**   Mm-hmm.

2           **Q.**   This is a report of social worker Kama Hamilton. She  
3 is a social worker, as you know, with the Ste. Anne's clinic.

4           **A.**   Yes. Very, very ...

5           **Q.**   You're familiar with ...

6           **A.**   Very dedicated. Oh yes. Very good, yes.

7   **(13:50)**

8           **Q.**   So I'm going to read to you the last paragraph that's  
9 on the page. It says "Observations". Now these are  
10 observations in a report by Ms. Hamilton. It says:

11                   With the new information of the head trauma  
12                   experienced by Mr. Desmond, the writer  
13                   believes there is a possibility of cognitive  
14                   or neurological damage that may be  
15                   contributing to his difficulties with  
16                   impulse control, mood swings, difficulty  
17                   with judgement, et cetera. We'll discuss  
18                   this with interdisciplinary team Tuesday,  
19                   August 9th.

20           So you see that passage, Doctor?

21           **A.**   Yes, I see it.

22           **Q.**   Do you agree with the observations of Ms. Hamilton

**DR. ROBERT OUELLETTE, Direct Examination**

1 that head trauma perhaps could be influencing impulse control,  
2 mood swings, difficulty with judgement?

3 **A.** Well, yes, but my opinion is that seeing those  
4 neurocognitive impairments in the patient, I would've thought  
5 that it was very important to rule out physical lesions that  
6 could explain that. But in the same time, you can have those  
7 cognitive impairments with the PTSD, with the depression, with  
8 the alcohol abuse, so the neuropsychological testing would help  
9 us to know what was with the PTSD and what was with the possible  
10 concussion. But I was a little surprised. I was asking myself,  
11 did those tests were made ... were those tests made while the  
12 soldier was still active member of Canadian Forces, because when  
13 this happened to him, he must have had some kind of assessment  
14 or treatment for those. We didn't have those informations, so  
15 it was ... the best thing to do was to ask for  
16 neuropsychological testing just to clear out this problem.

17 As for my part, I think that the neurocognitive problems  
18 that he showed might have been from PTSD as well as concussions,  
19 you know. We had to rule out the factors influencing this.

20 **Q.** And consistent with that, if we could turn to page 14.

21 **A.** Yes.

22 **Q.** So I'm mindful, Doctor, that this is not your ... I

**DR. ROBERT OUELLETTE, Direct Examination**

1 guess page 13, sorry.

2       **A.**    Okay.

3       **Q.**    I'm mindful ...

4       **A.**    Yes, this is the ... yeah?

5       **Q.**    And I'm mindful that this is not your report. This is  
6 the report of Dr. Richer?

7       **A.**    Dr. Genevieve Richer. Dr. Richer is the  
8 omnipractitioner responsible of the residential program, and  
9 when a patient as is released, she makes a final note in which  
10 note she puts the diagnosis she finds in the file.

11       **Q.**    So, Doctor, I notice that under "Other Diagnoses", she  
12 has listed as number two ...

13       **A.**    Yes.

14       **Q.**    "Former cranial and cervical trauma." Do you see  
15 that?

16       **A.**    Yes, I see that.

17       **Q.**    Do you know if that was confirmed through any medical  
18 documentation or as a fact that he had former cranial and  
19 cervical trauma?

20       **A.**    I don't know that. This is the medical part and I  
21 don't know what ... in my assessment, I said that he fell on the  
22 ... in the hole, 10-foot high, and ... but it was the patient's

**DR. ROBERT OUELLETTE, Direct Examination**

1 words for me, you know.

2 Q. Okay. Go ahead.

3 A. Yes. Dr. Richer has made ... in her first note of the  
4 patient, once she saw him for the first time, it was on the 9th  
5 of June 2006. It was not "d'admission medicale" - note of  
6 medical admission. She said that too at the end of the page,  
7 she said, "Trauma cranien" - cranium trauma - "... possible at  
8 the occasion of a fall with neuropsychologic deficits non-  
9 exclude," not rule out a fall on the back from height of ten  
10 feet. So she noted that then too.

11 Q. So this is ... is this ...

12 A. And ...

13 Q. ... consistent with your view that it warranted  
14 further exploration of whether or not there was ...

15 A. Yes. Indeed. And Dr. Richer noted the same thing.

16 Q. If we can look to page 14 - we're going to go back to  
17 medications for the moment - it says, "Departure medication".  
18 She has listed, "Prazosin, Tylenol, Seroquel XR 50 milligrams,  
19 Seroquel 25 milligrams, Sublinox 10 milligrams." So did you  
20 understand that these were the medications that were going to be  
21 recommended for when he left Ste. Anne's?

22 A. Yes, it was and it was the medication from me and from

**DR. ROBERT OUELLETTE, Direct Examination**

1 Dr. Richer together.

2 Q. So ...

3 A. For example ... yes.

4 Q. So going forward, did you want to see him take those  
5 medications when he was in the community after he left Ste.  
6 Anne's?

7 A. Yes, yes.

8 Q. And why?

9 A. It was the same medication. Well, because he was  
10 taking it in Ste. Anne and, well, it was not the medication, the  
11 ideal medication for him, but coming back home, I was hoping  
12 that his psychiatrist at home would give higher dosages of those  
13 medications because it was very low dosages.

14 Q. If we could turn to page six of the Ste. Anne's  
15 records.

16 A. Page six?

17 Q. Doctor, we're looking at a letter that appears to be  
18 ... can you see it on your screen?

19 A. Yes, I can see it.

20 Q. We're looking at a letter that appears to be written  
21 by you on September 1st, 2016, and addressed to ... who is this  
22 addressed to?

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.** Centre ... authorization centre for the medication in  
2 ... it's located in Charlottetown or (inaudible) now. And when  
3 we have to ask the permission to give some medication to a  
4 patient, we have to write them, ask the authorization, and then  
5 the patient can go at the pharmacy and buy the medication  
6 because most of the psychotropic drugs which are prescribed to  
7 our patients are given by the pharmacist, but some new  
8 medications are not on the list. So if we want the patient to  
9 have this special medication, we have to write a letter and ask  
10 permission to give it to them, and then they will receive it.  
11 It's a matter ... it's non-administrative processes, but  
12 sometimes it gives problems to the patient because at the  
13 hospital, the patient ... for example, this prescription of  
14 Sublinox, at the hospital, he received Sublinox all the time.  
15 There's no problem with that, but when he come out of the  
16 hospital, when he comes in his community, then the pharmacist  
17 will say to him, This medication has to be accepted by VAC. So  
18 they will ask his psychiatrist to write a little letter to  
19 accept it, okay? And there are delays. And sometimes the  
20 patient might pass a few days without the medication.

21 **(14:00)**

22           So what we do at the residential program, we give a

**DR. ROBERT OUELLETTE, Direct Examination**

1 prescription of the medication to the patient and we give the  
2 patient one month in advance of all his medication. So when he  
3 comes at home, he has the medication for one month and he has  
4 also an appointment with his psychiatrist. We make sure that he  
5 has an appointment, a date, when he goes back home, and he meets  
6 his psychiatrist and this process is done. The psychiatrist  
7 writes a letter and asks for the medication at VAC.

8       So that's why I did that in this case was that the patient  
9 went back home and he didn't seem to have met his psychiatrist,  
10 or I don't know if ... what happened, but they asked me to write  
11 the letter so he could have the medication, which I did  
12 graciously because it was not me, the treating psychiatrist, it  
13 was someone else. But I did that so the patient could have his  
14 medication readily.

15       **Q.** Do you know who asked you to write the letter because  
16 there wasn't a psychiatrist in the community to write that  
17 letter?

18       **A.** I think that the nurse at the admission committee  
19 asked me to do that.

20       **Q.** The nurse ... where was it? From where?

21       **A.** You know, before we admit a patient, there's a nurse  
22 gathering all the information and calling the ... This nurse

**DR. ROBERT OUELLETTE, Direct Examination**

1 asked me ... well, she told me, He doesn't have access to  
2 Sublinox. Would you write the letter while he is trying to see  
3 his psychiatrist? So I don't know what happened. I tell you  
4 this from ... it might have been this, but I'm not sure, but  
5 what I am sure of is that the nurse asked me to write the  
6 letter, yeah.

7 **Q.** And, Doctor, just so I get this straight. So Lionel  
8 Desmond left Ste. Anne's on August 15th. You send your letter  
9 September 1st, 2016. Did the request for you to write the  
10 letter happen after Lionel Desmond left the clinic, after he  
11 left Ste. Anne's?

12 **A.** Yes, it was done after that, but we have to remember  
13 that when he left the hospital the 15th, he had one month  
14 medication. He had Sublinox for one month. So it's to help the  
15 patients. Sometimes they don't have ... they don't have the  
16 occasion to meet their psychiatrist sooner, so in the meantime,  
17 they have their medication, and when they meet their  
18 psychiatrist, then he gives them a new prescription.

19 **Q.** And in the normal course of things, this type of  
20 letter ... I just want to get this straight. In the normal  
21 course, this type of letter would come from a community  
22 psychiatrist that he would've seen after he left Ste. Anne's?

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Yes.  Yes, because the psychiatrist in his area is his  
2   treating psychiatrist.  It's not me who is his treating  
3   psychiatrist when he goes back home.

4           **Q.**    If we could turn to page 44.  So, Doctor, this is  
5   again your report.  If we go to the third paragraph and I'm just  
6   going to read you that passage.  It says:

7                    In the house, the gentleman therefore  
8                    developed a lot of irritability with his  
9                    family.  This is the reason for the couple's  
10                   separation and near breakup.  Reportedly (it  
11                   refers to Shanna Desmond and it was  
12                   redacted) called the police a few times  
13                   because of irritability.  No legal  
14                   consequences have taken place.  The  
15                   gentleman feels great sadness at the loss of  
16                   confidence in his family.

17           Was that a consistent theme with Lionel Desmond that he was  
18   disappointed in his relationship with Shanna Desmond throughout  
19   his stay?

20           **A.**    Yes.  Well, it meant that Mr. Desmond was preoccupied  
21   with his family.  He wanted to improve the relationship with his  
22   wife and little girl and that's why he accepted to come in the

**DR. ROBERT OUELLETTE, Direct Examination**

1 stabilization program, to improve his personal relationships and  
2 he didn't want this to happen anymore. That's why.

3 Q. And I'm just going to read you the rest of that  
4 paragraph.

5 He hopes that the current treatments will  
6 improve his relationship. He doesn't want  
7 to part with his little girl. He would have  
8 had suicidal thoughts before but he got rid  
9 of his guns at home and he says he no longer  
10 has any suicidal thoughts now.

11 A. Mm-hmm, yes. Well, we always, during an assessment  
12 like this, we ... first, we want to know if the patient has  
13 suicidal thoughts or suicidal plans and then we make sure that  
14 he doesn't have arms, firearms, at home. And in this case, he  
15 told me that he didn't have arms at home, firearms at home,  
16 anymore. And he told me also that he didn't have suicidal  
17 thoughts. I think he was right because he was hoping to improve  
18 when he came at the hospital with us, but nothing can prove me  
19 that there were no firearms at home. It was his word that I had  
20 to take.

21 Q. And in the context of when he told you that, did you  
22 understand that to be he had suicidal thoughts before and,

**DR. ROBERT OUELLETTE, Direct Examination**

1 therefore, that's why he got rid of his guns?

2 **(14:10)**

3 **A.** Yes. I can understand that. You know, I think I saw  
4 somewhere that he did have suicidal thoughts before and it  
5 happens all the time with our patients. Sometimes they did try  
6 to suicide themselves, but usually, we ask them not to have  
7 firearms at home.

8 As a matter of fact, at the outpatient clinic where I work  
9 in Ste. Anne, the nurses who see the patient make sure that they  
10 don't have firearms at home or they would take their firearms,  
11 give them to someone they trust, and keep their firearms under  
12 key. So for almost everybody. And there is a directive at Ste.  
13 Anne, no psychiatrist or doctor is going to sign papers to say  
14 that someone can buy some firearms, okay?

15 For example, I had a patient who came to me asking me,  
16 Would you sign this paper so I can buy a firearm? And the  
17 directive at the PSO is you don't sign this.

18 **Q.** And why ...

19 **A.** It was not like this before. It was not like this  
20 before, but since two years, it has been the direction told us  
21 not to sign anything about firearms.

22 **Q.** So just so I understand this, so since this occurrence

**DR. ROBERT OUELLETTE, Direct Examination**

1 with Lionel Desmond, psychiatrists at the clinic of Ste. Anne's  
2 have received a directive not to sign off supporting firearms?

3 **A.** Oui ... yes. This is the directive and some patients  
4 don't like that at all because some like to go hunting or doing  
5 things with their firearms but the directive is there. And  
6 sometimes they go to see their treating physician to sign ...  
7 for them to sign it.

8 **Q.** Do you know who is ... do you know which person is the  
9 person that's giving the authority or the directive? Who is  
10 telling you not to do it?

11 **A.** It's ... well, in the case of the outpatient clinic,  
12 it's Josie Pierre which is the clinical administrative  
13 coordinator. She gave us this directive.

14 **Q.** And that's for ...

15 **A.** She is the head of the outpatient clinic, yes.

16 **Q.** What about inpatient?

17 **A.** Inpatient, what we do when a patient comes to us, the  
18 nurse goes through his or her luggage, everything is screened.  
19 If there are knives or arms or things with what the patient can  
20 harm himself, they are taken and kept in a locked room, and then  
21 the patient gives his car keys also and sometimes the car is  
22 "fouille" in French. I don't know. They search everything in

**DR. ROBERT OUELLETTE, Direct Examination**

1 the car so there is no firearm there. So the patients are well  
2 aware that they cannot bring arms or firearms or knives with  
3 them in our departments, and if there is suicidal thoughts, I  
4 even think they take their "ceinture" ... you know, their ...

5 **Q.** Shoelaces?

6 **FEMALE:** Belt.

7 **MR. RUSSELL:** Belt?

8 **A.** Shoelace- ... the belt, yes.

9 **Q.** And ...

10 **A.** So ... yes.

11 **Q.** So, Doctor, in terms of the directive comes from her  
12 on an outpatient basis, but is there a similar directive to you  
13 if, say, a patient that's inpatient wants to get you to sign off  
14 on firearms, do you have a similar directive not to?

15 **A.** No, because we cannot substitute to the treating team  
16 at home. At home maybe they are ... they have different  
17 directives. When he is with us, he has to act as we do with our  
18 patients, but when he comes back home, he becomes again the  
19 patient of his team and then there might be different  
20 directives.

21 **Q.** So, Doctor, I'm going to read this line again and I'm  
22 going to ask you a question about it. You said, "He ..." It's

**DR. ROBERT OUELLETTE, Direct Examination**

1 on page 44. "He would have had suicidal thoughts before, but he  
2 got rid of his guns at home." Did you understand from what  
3 Lionel Desmond is telling you that he felt that he shouldn't  
4 have firearms?

5 **A.** I'm not sure. I cannot answer your question because I  
6 don't know why he got rid of his firearms at home, who asked him  
7 to do that. Was it the police when they went to see him at  
8 home, when her (sic) wife called? Was it coming from him? Was  
9 it coming from his wife? You know, I was not there and I cannot  
10 give you an advice on that. And he did not give me his version  
11 when I saw him in assessment.

12 **Q.** So, Doctor, I'm going to ask you the question and I'm  
13 mindful of the fact that you're seeing him at a period of time.  
14 When Lionel Desmond was in your care at Ste. Anne's between May  
15 30th and June 26th, knowing what you know about Lionel Desmond,  
16 do you think he should have been able to possess firearms or was  
17 there a concern there?

18 **A.** My opinion as a psychiatrist is that he should not  
19 have had firearms because he had real problems with anger and he  
20 should have undergone anger management and anger treatment  
21 before having firearms.

22 **Q.** Were there any other ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    I would not have accepted that, yeah.

2           **Q.**    Were there any other things that you would say and  
3 observe that would've gone into that assessment or made you  
4 believe he shouldn't have firearms? Anything else?

5           **A.**    No. No.

6           **Q.**    If we could turn to page 45. Doctor, in terms of  
7 treatment plan and recommendations in your report from May 31st,  
8 where Lionel Desmond is going to be admitted to the  
9 stabilization department, you say "possibly" that he may be  
10 admitted to the residential department. What do you mean by  
11 "possibly"?

12           **(14:20)**

13           **A.**    Well, I have to look at that. Well, when a patient  
14 comes in stabilization, we have to make an assessment and many  
15 observations of the patient with (the authors?) after that. The  
16 assessment is there to make a diagnosis, but after that, we have  
17 to see if the patient collaborates with the other patients. How  
18 is his mood? Does he have suicidal thoughts at times? We have  
19 to make some observations. If our observations are okay, then  
20 he can be admitted at the residential program, but if something  
21 comes up and he's not collaborating well and he is angry, he is  
22 threatening other patients, he is not able, for example, to do

**DR. ROBERT OUELLETTE, Direct Examination**

1 group therapy, then we will sit with him and try to see other  
2 types of treatment possible in his community.

3 We won't admit a patient that can't be stabilized. The  
4 other patients in the ward because those patients in the ward  
5 have PTSD also and they are really anxious about violence,  
6 anger, and it can destabilize them very much. So we have to  
7 observe the patient when we admit him. We have to observe his  
8 ... is he collaborating well with the medication? Is he able to  
9 concentrate and do group therapies? So if we make those  
10 observations of the patient and if the patient fits with our  
11 criterias, then we admit him, but we cannot say we will admit  
12 him absolutely. Some patients do only stabilization and they go  
13 back home. It's a possibility.

14 **Q.** And so clearly, Lionel Desmond must have reached some  
15 level of stabilization because we know he did go to residential.

16 **A.** Mm-hmm.

17 **Q.** Were there still aspects of his stabilization that  
18 needed work even after June 26th?

19 **A.** Yes. Well, as a matter of fact, I was ambivalent  
20 about the fact, should he stay with us or should he go back  
21 home? And I told them ... that to the team because he didn't  
22 want to take any more medication. He was not entirely

**DR. ROBERT OUELLETTE, Direct Examination**

1 stabilized. So I told the team, What can we do with that? And  
2 that's why, if you see my notes, on the 14th of June, I ...

3 Q. Page 48.

4 A. ... I said ... yes. 14th of June, I said ... I added  
5 Topomax to his medication, and on the 16th, I had another  
6 encounter with him, in which encounter he told me that he didn't  
7 want to take the medication. He told me not to take the beast  
8 out of him, the Desmond, the beast out of him, and he showed  
9 those paranoid traits. So I was less and less interested in  
10 accepting him in the residential program and I told the team. I  
11 told that to the team. That's why, on the 23 of June, the next  
12 note, I saw the patient. I saw Mr. Desmond with Isabelle  
13 Gagnon, who was the coordinator of the program, and Julie  
14 Beauchesne. We went to see the patient, three of us, and we  
15 discussed with him about the fact that he didn't want to take  
16 medication. What did he want to do? And we realized then that  
17 he had improved, that he was sleeping better, having less  
18 nightmares. He was motivated to do good work in the residential  
19 program. Even if he didn't want to have medication without  
20 that, he would benefit from the residential program and then  
21 maybe we could have him accept to take more medication because  
22 in the residential program, there are group therapy for

**DR. ROBERT OUELLETTE, Direct Examination**

1 medication. The nurses and the person doing the group explain  
2 what is the medication, what are secondary effects, if there are  
3 some, and they explain ... they try to convince the patient to  
4 take the medication regularly.

5 So without that, this psychoeducation, would help the  
6 patient to change his mind, which it did not finally. And  
7 that's why, finally, he was admitted to the residential program.

8 **Q.** So just so I get this clear, at various points during  
9 stabilization, you had real concerns as to whether or not Lionel  
10 Desmond was stabilized to move to the residential phase.

11 **A.** Yes, indeed. And it is because when he came to see us  
12 at the stabilization program, he had said to the nurse,  
13 admission committee, he had said that he would accept medication  
14 changes and to take medication. And when he came to us, he  
15 didn't want. So it changed a lot of things.

16 To my experience, a patient who doesn't want to take  
17 medication, who doesn't cooperate with that, it is really very,  
18 very hard to treat a patient like this.

19 For example ... I will give you an example. You have a  
20 real headache, okay, and your doctor says, Well, with this  
21 medication, your headache is going to go in one day. And you  
22 say to your doctor, No, I won't take medication. I don't want

**DR. ROBERT OUELLETTE, Direct Examination**

1 to take medication.

2           So if you come back two days after that saying to your  
3 doctor, My headache is still there and I suffer a lot and the  
4 doctor says, Well, you should try this medication and you don't  
5 want to take it. What can we do? And it's the same thing with  
6 a patient suffering from PTSD. With a lot of symptoms -  
7 anxiety, problem with dreams and sleep and fatigue and  
8 depression - if we say to him, This will help you a lot and then  
9 you will be functioning well with that, and he doesn't do that,  
10 a week after that, it's going to be the same thing. And the  
11 improvement is not going to be there. He will try to improve  
12 with other techniques, and in the residential program, there are  
13 techniques like that - group therapy, yoga, psychoeducation, art  
14 therapy, there are ... they can improve with that, it's good,  
15 but this is not ... the medication is absolutely necessary too  
16 at the start ...

17 **(14:30)**

18           **Q.** And was that ...

19           **A.** ... to stabilize the patient.

20           **Q.** Was that one of the most difficult things with Lionel  
21 Desmond was the non-compliance or not wanting to take  
22 medication?

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.** I think this was the most difficult problem because I  
2 am sure that if he would have taken the medication, his relation  
3 with other, his anger, his depression would have been more under  
4 control. You know, the emotional dysregulation would have  
5 helped a lot I think ... I am sure it would help. To what  
6 extent I don't know, maybe it should have been adjusted with  
7 time and ... but usually it helps a lot.

8           **Q.** Can you think of clinically, was there any benefit to  
9 Lionel Desmond having someone that could encourage him even when  
10 he left and went home to sort of keep on top of the importance  
11 of taking medication? I realize you can't force someone but ...

12           **A.** Yes. Yes, well, the Canadian Forces have good ...  
13 very good solutions for that. They have what we call the peer  
14 support. Other soldiers, you know, soldiers who have undergone  
15 mental problems and they can go to the patient's house, they can  
16 help them in eating better, they can be friend with them and  
17 then try to convince them.

18           And usually the military men and women, they are very open  
19 to someone who has been in the army before, you know. So they  
20 accept that quite well and this is a very, very good thing. I  
21 think that we would need more than ... us, at Ste. Anne's, we  
22 have only one but we would need more, I think one or two. But

**DR. ROBERT OUELLETTE, Direct Examination**

1 this is a good solution.

2 And also we can ask what we call ... we can prescribe ...  
3 like a support from a professional ... clinical support. It's a  
4 professional who goes at home once or twice a week to help a  
5 patient to take the medication, to do his shopping, to pay his  
6 bill and who can help him in his daily activities, this is  
7 extremely good and to take the medication.

8 Q. Would this be a role of sort of an occupational  
9 therapist perhaps?

10 A. Yes, some ... I don't have the right word, it's a  
11 prescription of clinical ...

12 Q. Clinical care manager?

13 A. Yes, clinical care manager, "organisateur" in French,  
14 organization of clinical care. They go at home. They do a lot  
15 of good work with the patients, yes.

16 Q. So in your view, would it ... I take it to understand  
17 that Lionel Desmond when he returned to the community because  
18 medication compliance was so important that he would have  
19 benefited from peer support as well as a clinical worker to  
20 assist him.

21 A. Yes, I think so, and maybe it was suggested on the  
22 papers given to the other team. You know, there was ... I have

**DR. ROBERT OUELLETTE, Direct Examination**

1 the date here, there was a conference with the team ... the New  
2 Brunswick team, and it was done by our team on the 9th of  
3 August, 2016. And I was not there because I was in  
4 stabilization, but usually they give all the information there.  
5 They give the suggestion of the professionals and the ... I  
6 think they give the prescription of neuropsychological tests for  
7 the cognitive problem. They must have done that, but I don't  
8 know. And I ... usually it's like that but we have to verify  
9 that.

10 **Q.** So while Lionel Desmond was at Ste. Anne's were you  
11 aware that he had at various points conflict with other  
12 residents as well as other Ste. Anne staff? That he had  
13 conflicts and difficulties and disagreements with them?

14 **A.** I was not aware of that. I was in the stabilization  
15 program and when they go to the residential program it's Dr.  
16 Richer who is in charge there, with the clinical coordinator.  
17 But if something comes up, they always can take a prescription  
18 and ask for a consultation with the previous psychiatrist.

19 I looked at my file and I didn't see a consultation that  
20 was written to me to see Mr. Desmond in the residential, so I  
21 cannot say what happened, no.

22 **Q.** So, Doctor, were you consulted by anyone at Ste.

**DR. ROBERT OUELLETTE, Direct Examination**

1 Anne's while Mr. Desmond was in the residential phase? Were you  
2 ever consulted?

3 **A.** When he was where?

4 **Q.** At the residential portion.

5 **A.** At the ... other insti- ... No, I didn't have  
6 consultation from Dr. Richer or from the team then.

7 **Q.** Were you aware that there was various arguments with  
8 his wife on the phone while at Ste. Anne's? Was that brought to  
9 your attention? Were you aware?

10 **A.** I would have to look at my notes. He told me that  
11 there were problems with this couple. He wanted to be a better  
12 father. And what I did ... I have to look at that. What  
13 happened at the end of the month when he was supposed to go to  
14 the residential program, his wife and his little girl decided to  
15 come to Montreal ...

16 **Q.** Yes, you did mention that.

17 **A.** It was very important for me to see how it would go.  
18 We advised the patient that he could come back in the ward  
19 anytime during the weekend if something was not going well. And  
20 it was like a test, you know, to see how everything would go.

21 He had medication with him just in case and everything went  
22 well. He was glad when he come back ... came back. So it was

**DR. ROBERT OUELLETTE, Direct Examination**

1 not there that I saw problems, marital problems. It was the  
2 social worker who were talking about that.

3 **(14:40)**

4 **Q.** Okay, that's fair.

5 **A.** Yes.

6 **Q.** Were you aware that at various points ... Aside from  
7 the conflicts you had with him about medication, were you aware  
8 that at different points he told other workers that he was going  
9 to leave the program?

10 **A.** Yes. I could see that now because I have the file and  
11 I saw that he wasn't sure he would stay in the program while he  
12 was in the stabilization program. Each morning when I came to  
13 see my patients, when I come to see my patient, I look at what  
14 the nurses say that happened during the day and the night and I  
15 saw once that he wasn't sure, he told that to the nurse.

16 So, yes, he was ambivalent but why? And this was a sign  
17 that he ... you know, when we talk of paranoia traits, he was  
18 not sure if we could help him or not. He was not sure if we  
19 were working with him or against him. He was not sure if his  
20 wife was ... had fidelity or not. He had such difficulties, but  
21 it's part of his personality traits.

22 **Q.** And in your opinion ...

**DR. ROBERT OUELLETTE, Direct Examination**

1           **A.**    Yeah.

2           **Q.**    ... did he ever get that sense of distrust with  
3 healthcare workers, the distrust with his wife, the paranoid  
4 thoughts, did he ever get those under control in the time he  
5 spent with you to a satisfactory level?

6           **A.**    It was not on control. But I am sure that if you  
7 questions ... question the other member of the team that saw him  
8 in the residential program they could answer to that. Was he  
9 able to have a real trust in them or was he always showing  
10 "mefiance" - I don't know how to say that in English - mefiance,  
11 mistrust, yes. There should have been that.

12          **Q.**    And ultimately, Doctor, is it fair to say that one of  
13 your primary roles as the psychiatrist at Ste. Anne's was to  
14 reach some level of stabilization with Lionel Desmond?

15          **A.**    Mm-hmm. This is the primary role and the other role I  
16 had was was he okay to go to the residential program. Was he  
17 fit for that? So in the absence of the medication we had to be  
18 very careful not to send someone who would upset the other  
19 patients on the other side.

20          **Q.**    You've treated a lot of military veterans over the  
21 years, some I'm sure you saw the highest level of stabilization  
22 that you could possibly see, others perhaps no stabilization.

**DR. ROBERT OUELLETTE, Direct Examination**

1           Where did Lionel Desmond fit on his level of stabilization  
2 when he left Ste. Anne's on August 15th?

3           **A.**    Oh, on August 15, I didn't see him.

4           **Q.**    Okay.

5           **A.**    I didn't see him at that time, I was not ... I'm not  
6 able to say that. But when he left the stabilization program,  
7 it was 50/50, okay. He had improved with his sleep, with his  
8 nightmares, with depression. He showed some improvements, it  
9 was okay, and that's why he went to the residential program.  
10 But when he went home on June the 15th then I didn't assess how  
11 he was at that time.

12          **Q.**    So when you say he was 50/50 where you last left off  
13 in the end of stabilization phase, was there a risk that Lionel  
14 Desmond could fairly quickly decompensate or lose stabilization?

15          **A.**    Yes. Well, he was ... his personal interrelationships  
16 were good. He had some friends on the ward. He was doing  
17 bicycling, playing pool, eating with the others, so it was an  
18 amelioration, maybe we can say 55/45, something like that.

19          But what I did, I asked the social worker, Kama Hamilton  
20 ... At the end of his stay in the stabilization program I asked  
21 Kama Hamilton to contact Mrs. Desmond to have collateral  
22 informations about Mr. Desmond.

**DR. ROBERT OUELLETTE, Direct Examination**

1           Because Mr. Desmond signed papers so his team at home, they  
2 were not able to talk to Mrs. Desmond because Mr. Desmond didn't  
3 want that. So we succeeded ... In Ste. Anne, we succeeded to  
4 convince him after he had seen his wife and kid, they came for a  
5 weekend, we succeeded to have his authorization so Kama Hamilton  
6 would call his wife and ask about details that we did not have.

7           And why? It was because I didn't want problems to happen  
8 in the residential program, okay. Was he violent? Has he been  
9 violent with her before? How was he with stress or ... So Kama  
10 Hamilton called Mrs. Desmond and Mrs. Desmond told us a lot of  
11 thing about Mr. Desmond's way of relating to her at home.

12           **Q.** Okay.

13           **A.** And finally, we took a good decision for Mr. Desmond  
14 and he went to the residential program.

15           **Q.** There are two things that I want to sort of wrap up  
16 with that I left unexplored. Did he report to you fairly  
17 frequent dreams of homicidal themes or thoughts?

18           **A.** Yes, he ... the first time I saw him, he said that he  
19 had dreams and the dreams he had were obsessions about his  
20 wife's fidelity, and then once he talked about a dream where he  
21 would kill her, you know, because he was angry at her.

22           So we know that in dreams the ... when someone is in anger

**DR. ROBERT OUELLETTE, Direct Examination**

1 it goes ... it's like an explosion, there is no inhibition. He  
2 told me that once. It goes with the PTSD, but this dream he  
3 told me it was ... it was not usual ...

4 **Q.** What do you mean?

5 **A.** ... and he did ... Well, usually the dreams, the  
6 nightmares that the military have are nightmares relating to the  
7 trauma they had during mission, okay. Sometimes they see the  
8 enemy coming at them, trying to kill them or they are trying to  
9 kill the enemy but it's thing related to mission.

10 **(14:50)**

11 Sometimes when the person is having a very, very strong  
12 stress at home it's a mixed nightmare, things at home and things  
13 in the mission and violent behaviour or things like that. We  
14 can see that rarely but it happens.

15 So it didn't seem to happen often in this case and it was  
16 an indication that he was ... he was jealous, you know. He had  
17 a problem of jealousy with his wife and he didn't know if he  
18 could trust her or not. He was ... he did permit her to address  
19 ... to the finances of the home and then he would sign a paper  
20 saying, no, it's me who is going to do that, and then he would  
21 do it unproperly so she had to take control again.

22 This is ... when we talk "paranoide proces" this is what we

**DR. ROBERT OUELLETTE, Direct Examination**

1 mean: trust/distrust, not knowing exactly if he can trust his  
2 wife. So this is my answer.

3 Q. So this paranoid traits and distrust of his wife and  
4 you said dreams that he would kill her ...

5 A. Mm-hmm.

6 Q. ... did they seem connected to his PTSD directly or  
7 could they be something else in his clinical portrait?

8 A. Well, I think it was kind of thing, this special  
9 dream, it was connecting to both. To the PTSD, because it was  
10 nightmare, and it represented what he ... the traumas he had  
11 lived in Afghanistan when he saw bodies in parts and a lot of  
12 blood. But also in this dream the anger with his wife and the  
13 doubts he had about her fidelity, so it's both, you see. Yes.

14 Q. So I definitely don't want to sort of leave an  
15 impression based on your evidence that everything is ... is it  
16 fair to say that not everything that Lionel Desmond was  
17 struggling with was PTSD related?

18 A. Yes. It's ... yes, it was PTSD related. It was  
19 depression related. But there were also personality traits and  
20 those traits were complicating the treatment a lot.

21 Q. Just one moment, Doctor.

22 And finally, Doctor, I'm going to ask you a question, it's

**DR. ROBERT OUELLETTE, Direct Examination**

1 sort of a broad series of questions, but we know that Lionel  
2 Desmond is a black man from a rural community in Nova Scotia.  
3 We also know that he suffered occurrences of racism as a child  
4 and racism in the military.

5 How does that play into your overall assessment of him and  
6 the treatment? How does it factor in?

7 **A.** Mm-hmm. Well, we always take into account the fact  
8 that there are ... As a matter of fact, in the DSM-V there's  
9 always a chapter talking about, I don't know how to say that,  
10 but Culture-Related Diagnosis Issues. So about the culture. We  
11 have to take into account that some people come from other parts  
12 of the world and they have different ways of reacting according  
13 to their culture. You see here "Culture-Related Diagnostic  
14 Issues", it's with every diagnosis that we make.

15 In the case of Lionel Desmond, he ... I think he suffered  
16 of racism, I can say that he suffered. He felt rejected by his  
17 peer. And this was important in a way because this did cut him  
18 from the support of the other military men and women around him,  
19 okay. When someone is hurt, it's a good thing to have the  
20 support of the others around, but if the others around are  
21 aggressive or rejecting you then it can worsen your distress.

22 So we have to take this into account and I think it arrived

**DR. ROBERT OUELLETTE, Direct Examination**

1 for him. Maybe it arrived when he was military ... at the  
2 military, active member, but this ... I say this because he told  
3 me some little things about that, but I would have ... the rest  
4 has to be lightened, yes.

5 Q. So you talked about Lionel Desmond's undercurrent of  
6 mistrust, he didn't know who to ...

7 A. Yes.

8 Q. ... kind of confide in, and you couple that with you  
9 talked about the importance of peer support. Do you think  
10 someone like ...

11 A. Yes.

12 Q. Do you think someone like Lionel Desmond may ... could  
13 have benefitted from a former veteran who was of the same  
14 heritage as him, of the same shared experiences, that he could  
15 see as sort of a peer support or a mentor in part of his  
16 rehabilitation? Do you think there's benefits to that?

17 A. Yes, I think it could have been. Yes, I think it  
18 would have helped him a lot, yes.

19 Q. And I'm thinking in the context ...

20 A. Yes.

21 Q. ... of you had mentioned earlier about the importance  
22 that someone kind of remind him that he could phone ... that he

**DR. ROBERT OUELLETTE, Direct Examination**

1 could remind him to take his medication. If it was someone that  
2 he could relate to culturally and shared experiences ...

3 **A.** Yes.

4 **Q.** ... do you see the value in that?

5 **A.** Yeah, I see a big value in that. I give you an  
6 example. For example, those ten patients that we have in the  
7 residential and the stabilization program, many of them have  
8 gone to have "desintoxication", to have treatment in other types  
9 of treatments, you know. Portage, it's a place for the  
10 desintoxication, a place for treating PTSD.

11 But when they go there they meet not only military men and  
12 woman, but people coming from different backgrounds sometimes  
13 knowing nothing about the military and they don't feel at ease  
14 there.

15 **(15:00)**

16 But when they arrive at Ste. Anne, which is the only place  
17 in Canada where they are together for treatment like that, they  
18 feel at ease. They know that the other militaries are going to  
19 understand. They are not going to ask questions that can  
20 trigger them, you know.

21 So it ... maybe I take a long way to explain that, being  
22 the military men or woman to help someone this is extremely

**DR. ROBERT OUELLETTE, Direct Examination**

1 good. And this has been done by the Canadian Forces for a few  
2 years and it has a good success. Maybe it should be more ...  
3 there should be more of this.

4 **MR. RUSSELL:** Subject to any sort of questions in a re-  
5 direct capacity, Your Honour, those would conclude our  
6 questions.

7 **THE COURT:** All right. Thank you.

8 Dr. Ouellette, I think what we're going to do is we're just  
9 going to take a short afternoon break for maybe 15 minutes and  
10 then when we return, some of the other lawyers in the room may  
11 have some questions for you as well this afternoon.

12 **A.** Okay.

13 **THE COURT:** All right. Thank you. So we'll adjourn for  
14 15 minutes if we could. Thank you.

15 **A.** Okay.

16 **COURT RECESSED (15:02 HRS.)**

17 **COURT RESUMED (15:17 HRS)**

18 **THE COURT:** Thank you. Thank you, Dr. Ouellette. Mr.  
19 Russell, you're concluded? Mr. Anderson.

20 **MR. ANDERSON:** No questions, Your Honour.

21 **THE COURT:** No questions? Thank you. Ms. Ward? Ms.  
22 Grant?

**DR. ROBERT OUELLETTE, Direct Examination**

1       **MR. RUSSELL:**    I think, Your Honour, there was some  
2 discussion about the order potentially being adjusted.

3       **THE COURT:**        Yes, all right.

4       **MR. RODGERS:**    In the circumstances. We've concluded the  
5 order will be Mr. Macdonald, Mr. Rodgers, and Ms. Miller, and  
6 then it's sort of open at that point.

7       **THE COURT:**        All right. Mr. Macdonald.

8       **MR. MACDONALD:** Thank you, Your Honour.

9

10                                   **CROSS-EXAMINATION BY MR. MACDONALD**

11   **(15:18)**

12       **MR. MACDONALD:** Good afternoon, Dr. Ouellette. My name is  
13 Thomas Macdonald and I am the lawyer for the Borden family, so  
14 the late wife of Mr. Desmond and the grandparents and uncle of  
15 Aaliyah, his daughter.

16       I just wanted to ask you a few questions, Doctor, this  
17 afternoon. Is it fair to characterize your treatment of Mr.  
18 Desmond, that one of your observations is that he exhibited  
19 anger?

20       **A.**    Can you rephrase your question, please?

21       **Q.**    Sure, of course. As I understood your evidence  
22 earlier to ... and I'm putting the question in a different way,

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 but your evidence earlier to Mr. Russell, and I wrote it down.  
2 One of your comments was he had a real problem with anger,  
3 referring to Mr. Desmond.

4 **A.** Okay. Yes. The reason that he wanted and we wanted  
5 him to go to the residential program was to have anger  
6 management there and to learn how to control his anger. That  
7 was one of the reasons, yes.

8 **(15:20)**

9 **Q.** When you did the assessment of Mr. Desmond, did you  
10 find that that anger was often directed towards his wife?

11 **A.** No. I think his anger could be triggered by someone  
12 talking about missions, about contradicting him. I think this  
13 anger had been directed toward his case manager, for example,  
14 when she didn't want to pay for some ... the travelling of his  
15 wife. It could have been triggered by many things, including  
16 his wife, yes.

17 **Q.** Okay, thank you. Is it fair to say that despite the  
18 efforts from the team, all teams at Ste. Anne's, at the time Mr.  
19 Desmond left Ste. Anne's, his improvement, if I can put it that  
20 way, was minimal?

21 **A.** I wouldn't say minimal, but I would say, for what I  
22 could read, I think that he improved a lot at the beginning.

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 And then there were plus and minus, you know. It was  
2 dysregulating. It was up and down at the end when he was  
3 supposed to go back home. It was not a straight line.

4 Q. But, in fact, Doctor, I think ... do you remember one  
5 of the notes in one of the reports referred at the end of his  
6 treatment that he ... and Ste. Anne's appeared to be  
7 disappointed that he was exhibiting distrust of the team, if I  
8 can put it that way?

9 A. Yes, yes. I can put it that way. He was supposed to  
10 stay ... well, I cannot answer to your question because I was  
11 not there, but normally, persons stay two weeks more at the  
12 residential program. Why he left sooner, I think that some  
13 persons can answer that for me but I'm not the one who can  
14 answer that now.

15 Q. I understand. Doctor, and we won't need to turn to it  
16 unless you need to, but if you just bear with me with my  
17 question first. So there was an interdisciplinary discharge  
18 summary dated October 4th, 2016, prepared by Kama Hamilton with  
19 her electronic signature.

20 A. Kama Hamilton.

21 Q. And in that report, it, of course, collates a number  
22 of the findings of various members of the team. It's Exhibit

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 254 if you need to turn to it, Doctor, but I'm at page 268, but  
2 it's only if you need to turn to it. But you're familiar with  
3 the report in the sense you know what I'm speaking of?

4 **A.** Yes. The last report that everybody ... where  
5 everybody are writing their conclusions and their impressions  
6 and then their recommendations. Is this the report that you're  
7 referring to?

8 **Q.** Yes, it is. Doctor, did you ever have occasion to  
9 read that report?

10 **A.** I read the report but rather rapidly. I would have to  
11 read it better ...

12 **Q.** Sure. I'm not asking you to read it now. I'm just  
13 wondering if you had a chance to do it.

14 When I looked at the report, and as you say, it's findings  
15 from various members of the team, and close to the end of the  
16 report, there's a list of the people on the team and you're on  
17 that list. And my question is this, Doctor.

18 There's no specific section in that ... I'm calling it a  
19 report, but it's a discharge summary, an interdisciplinary  
20 discharge summary. There's no specific section in there from  
21 you and I'm wondering is there any reason for that? So in other  
22 words, a Dr. Ouellette section. There's not one in there that I

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 could find.

2       **A.** Yes, my name is there, but I am not a psychiatrist at  
3 the residential program, so it should not be there.

4       **Q.** Okay.

5       **A.** But usually, they send my reports with their reports  
6 usually. I think someone should ask the team, this team, they  
7 should ask them did they send my report to the doctors there.

8       **Q.** Going forward with Ste. Anne's treatment and documents  
9 and these kinds of reports in the future ... and I realize  
10 you're not a psychiatrist in the residential phase, but do you  
11 think it would be helpful if reports specifically flagged in  
12 sections, for example, a section from Dr. Ouellette in the  
13 stabilization program, a section, if it's applicable, to the  
14 patient who is leaving, dealing with ... if that person is  
15 experiencing domestic issues or their ability to possess  
16 firearms when they're leaving, so the next reader, the next  
17 treatment provider, would be able to sort of be flagged in  
18 advance, once they get that report that these are issues that  
19 could be something to look at? Would that be helpful, do you  
20 think, going forward?

21       **A.** I think it would be helpful to have the psychiatrist  
22 report, assessment, and the evolutio- ... not evolution,

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 progress notes, included in this report, yes.

2 Q. Okay.

3 A. It would be a good thing. But if you look at the  
4 structure of the stabilization program, at the end of the  
5 stabilization program, each psychiatrist makes a note, a resume,  
6 a summary, of the stay, when the patient stayed at the program,  
7 and it's called ... you see here, I don't know, it's on the  
8 28th of June 2016. It's called "Ward Transfer".

9 Q. Yes.

10 A. And every time a patient quits the stabilization  
11 program, the psychiatrist dictates a note called "ward transfer"  
12 to explain the situation, the diagnosis, the reason of  
13 treatment, and then what happened during the hospitalization in  
14 the stabilization program, and then the treatment plan and  
15 recommendations. Here, it has been done, and then the  
16 medication. We ... you have two pages here and you probably  
17 have this in your notes ... in the notes. And each time the  
18 patient leaves the stabilization, we make a "redaction" of that,  
19 a summary of the hospitalization, and then this is put in the  
20 file, and I don't know if it's sent to the other doctors at home  
21 but it should be.

22 Q. Yes.

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1           **A.** I think it is, really, but I have to ... it would be  
2 to verify that.

3           **Q.** Okay. Doctor, you mentioned earlier, when you were  
4 giving evidence with Mr. Russell, about the directive which is,  
5 I guess, about two years old at Ste. Anne's, that no doctors are  
6 to sign papers relating to firearms.

7           **A.** Allowing firearms for the patient, yes.

8           **Q.** Yes. Do you know ... do you have any personal  
9 knowledge whether that directive came about as a result of what  
10 I will call "the Desmond incident", subsequent to his stay at  
11 Ste. Anne's?

12           **(15:30)**

13           **A.** No, it was not because of that. I think that the  
14 question of firearms in outpatient clinic is a question I've  
15 seen since I was there and I've been there for ten years now.  
16 The nurses who do the assessment, the first assessment when the  
17 patient come, even before the patient sees the psychiatrist, the  
18 nurse, she follows a protocol, and in that protocol there's a  
19 section about firearms or other arms, and she asks the patient,  
20 Do you have firearms? Where do you keep them? Is it possible  
21 for you to give them to someone? If he has firearms. Can you  
22 give them to someone you trust? Can they be kept under ... with

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 a key, a lock? And then sometimes they ask the bullets should  
2 not be in the same room as the firearms.

3 Q. You mentioned in your evidence ...

4 A. So ...

5 Q. Oh sorry, go ahead, please. I don't want to cut you  
6 off.

7 A. So this is for every person. I understand that some  
8 people can have firearms at home but they are encouraged to do  
9 this, yes.

10 Q. Okay, thank you. You mentioned in your evidence to  
11 Mr. Russell - and I'm paraphrasing, I'm shortening up - that Mr.  
12 Desmond said to you, when discussing medications, words to the  
13 effect, Don't take the beast out of me. The word "beast" was  
14 used, I think?

15 A. "The Desmond." "The Desmond." "The demon ...

16 Q. "The demon?"

17 A. ... out of me."

18 Q. But "beast" too, though, or just "demon"?

19 A. "Beast" also, it was.

20 Q. Okay.

21 A. Yes, it was, because he was really angry. He didn't  
22 want to take more medication and that was his way of closing

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 this subject, yes.

2       **Q.** From a psychiatric assessment point of view, what can  
3 you share with us today? What was your impression at the time  
4 of his choice of words, the words "beast" and "demons"? Any  
5 impression with respect to that?

6       **A.** Well, my impression was that it could be that he had  
7 poor control of his aggressivity, that he had few words to  
8 express exactly what his feelings were, to put his feelings in  
9 words, you know, instead of saying aggressive things. And then  
10 I wanted to know if, in the past, he had been violent with  
11 someone - his family, his friends, and others. So that's why I  
12 asked Kama Hamilton to call his wife and to have a better review  
13 of his acting at home.

14       **Q.** And did you ever have occasion to ask him if he had  
15 occasion to be violent with his wife specifically in the past?

16       **A.** Well, in my assessment, I said that ... okay. I said  
17 here at page four of my assessment:

18               At his house, Mister developed a lot of  
19               irritability in his family. This is why  
20               they were 'distaniciated' from ... one from  
21               another, and there were almost separation  
22               with them. I think this is black here, you

**DR. ROBERT OUELLETTE, Cross-Examination by Mr. Macdonald**

1 know, because ... but I think it says  
2 'Madame', 'Madame Desmond'. She would have  
3 called the police many times because he was  
4 irritated. No legal consequences happened  
5 but Mr. Desmond feels sad about those things  
6 and he wants the actual treatment to  
7 ameliorate the situation.

8 And then when I asked Kama Hamilton to call Mrs. Desmond,  
9 she said this here. Okay. She notes: "Also to note that her  
10 daughter is frightened when he yells, but that he has never been  
11 physically violent toward either of them and she has never felt  
12 that he would hurt them." So this was reassuring, you know. He  
13 would be angry, he would be irritable, but according to his  
14 wife, he never would hurt them.

15 **Q.** Thank you, Doctor, those are my questions. Thanks.

16 **A.** Thank you.

17 **THE COURT:** Thank you, Mr. Macdonald. Mr. Rodgers?  
18

19 **CROSS-EXAMINATION BY MR. RODGERS**

20 **(15:37)**

21 **MR. RODGERS:** Thank you, Your Honour. Dr. Ouellette.

22 **A.** Hello.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           **Q.**    Good afternoon. My name is Adam Rodgers and I'm  
2 representing the personal representative of Cpl. Lionel Desmond.  
3 I have a few questions here for you.

4           **A.**    Okay.

5           **Q.**    Doctor, I just want to first situate the facility,  
6 Ste. Anne's, not physically but within the treatment complex.  
7 It seems that some veterans would come back from military  
8 conflict and just go home and be relatively fine, I guess we  
9 would say, from a clinical perspective. Some might come home  
10 and require a little bit of counselling and maybe some peer  
11 mentorship. Others may come and require longer-term  
12 counselling, and still others would require in-house treatment  
13 such as you provide at Ste. Anne's.

14          **A.**    Mm-hmm, yes.

15          **Q.**    And further to that, there are the cases such as Cpl.  
16 Desmond's where the stabilization period seems to be more  
17 difficult. So would it be fair to say then, of the potential  
18 cases that would come back from a combat situation, Cpl.  
19 Desmond's may have been one of the more complex and difficult  
20 cases?

21          **A.**    The pathology we encountered with Lionel Desmond was a  
22 pathology that we see in a lot of veterans coming back from

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 missions or that are sent to us. The problem was the treatment  
2 itself, you know. Everybody that we see at the stabilization  
3 program undergo the ... begin the treatment the same way. We  
4 have a good assessment with them, we make observations of them,  
5 and then, according to our observations, we give them the right  
6 medication and then we observe their reaction with the  
7 medication.

8 **(15:40)**

9 For example, if the ... most of the patients sleep very,  
10 very badly and we give them something to sleep well, something  
11 not to arouse during the night, and this helps a lot because  
12 sometimes they didn't sleep well for years. So the therapeutic  
13 contract gains from that. Remember? They feel better and then  
14 they want to have more. And we go on with the medication.

15 We address the anxiety during the day because some of them  
16 are very anxious when they go ... for example, when they go out  
17 of the ward, when they go out of the hospital, because they  
18 don't want to face certain aspects of the things making them  
19 think of the trauma, okay? So we give medication for anxiety.  
20 Those are antidepressants. They are called antidepressants but  
21 in reality, they act well on anxiety. And then we go on with  
22 the treatment addressing the different symptoms. We cannot give

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 all the medication at once because if something goes bad, we  
2 won't know which medication has secondary effects. We give five  
3 medications and there secondary effects. So which medication  
4 does that?

5 So we go, one by one, and we take our time because the  
6 patient is there for a few months, and we make the observation.  
7 And then this is all ... finally, there is a stabilization in  
8 symptoms. The patient sleeps better. He is less anxious. He  
9 can concentrate better and his relationships with others are  
10 better because he can tolerate stress better. And then he goes  
11 to the residential program.

12 With Mr. Desmond, I think that if he would have entered the  
13 program this way, it could have been much better in the  
14 prognosis and in the amelioration of symptoms, no?

15 Q. Dr. Ouellette, thinking from the perspective of the  
16 soldier rather than the facility, they've gone through their own  
17 process, they've gone through some counselling, they may have  
18 gone through long-term counselling without things working, and  
19 now they've got a situation where they can go to a residential  
20 facility, and it must fill them with some hope that here,  
21 finally, is something that might work for me.

22 A. Mm-hmm.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           **Q.**    So it raises a question, when an individual that may  
2 be coming in with that degree of hope maybe doesn't establish  
3 and doesn't have the trust perhaps to engage in the  
4 stabilization process and take those medications. And so I  
5 guess one of my questions is, you know, what kind of insight can  
6 you give us into individuals that have that struggle?

7           **A.**    Well, when this happens, we have to wait to establish  
8 a good contact with the person, try to gain his trust, his  
9 confidence, and then it takes longer time, much longer time, and  
10 sometimes it never happens.

11          **Q.**    And it's fair to say, Doctor ...

12          **A.**    But ...

13          **Q.**    Oh sorry, go ahead.

14          **A.**    Yes. But as you were saying, the case of Mr. Desmond  
15 is much more complicated because of that, but it doesn't mean  
16 that his symptoms, his pathology, is not reachable, is not  
17 treatable, you know.

18          **Q.**    Would it also be fair to say that beyond a treatment  
19 program such as Ste. Anne's, there's nothing else? I mean  
20 what's next if this doesn't work?

21          **A.**    Well, it depends on what you identify as a problem,  
22 okay? For example, in the case of Mr. Desmond, there were

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 personality traits, paranoid traits. So he should, he could,  
2 have been sent to a place where they treat personality traits.  
3 There are some in Quebec City. There are some in Montreal.  
4 There must be some around Halifax. Those are places where they  
5 treat personality problems.

6 As, for example, if you have someone taking alcohol, there  
7 are places where they treat alcohol problems, but if the person  
8 who has alcohol problems goes to a place where they treat  
9 anxiety, he won't have the right treatment there, okay? You  
10 have to choose the right place according to the good diagnosis.

11 **Q.** So are you characterizing Ste. Anne's then as a place  
12 that treats certain things rather than a place that treats  
13 certain people?

14 **A.** We treat PTSD and we treat depression and all kinds of  
15 stuff, but when a person has a big trouble of personality, it  
16 can be better treated elsewhere. We cannot treat everything.  
17 Because personality problems, it's chronic problems over years  
18 and it has to be treated in a certain manner, and as, in Ste.  
19 Anne, we have ten patients and it cannot be done with the  
20 others, you know.

21 **Q.** So the question I have then, Doctor, you talk about  
22 Ste. Anne's and there's ten beds there. Can I ask you just some

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 other broad questions about the facility? Roughly how many  
2 staff, how many psychiatrists, psychologists, would you have?

3       **A.** Okay. Right now, we have three psychiatrists. One of  
4 them is with us since a year and we are planning to do some work  
5 with people with drug addiction or alcohol addiction. So one of  
6 us psychiatrists is going to do some work with them. We have  
7 nurses, like, in every hospital. Every eight hours, they  
8 replace themselves. They put notes in the files of the patient  
9 and we can see what happened during the day. We have  
10 psychologists. In the stabilization program, there is one  
11 psychologist seeing one-to-one the patient every week. When he  
12 goes to the residential program, the psychologists do group  
13 therapy with them. It's not one-to-one.

14       We have addiction counsellor. We have physiotherapist. We  
15 have art therapist. We have "pedagogue" (auto?) ... pedagogist  
16 auto. I don't know if it's the right word, but someone ...  
17 psychoeducation. Someone for yoga. We have the doctor, Dr.  
18 Richer, which is an omnipractitioner. I don't want to forget  
19 ... We have ...

20 **(15:50)**

21       **Q.** No, that's fine, Doctor. I ...

22       **A.** We have an ergotherapist, massotherapist. Yeah.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           **Q.** No, I don't need everybody. Just getting a sense of  
2 how many people are involved. It sounds like it might be 20 or  
3 ...

4           **A.** A lot of persons.

5           **Q.** 20, 25.

6           **A.** Yes.

7           **Q.** One building?

8           **A.** Yes. We are one building at the rear of the hospital  
9 and the ward is entirely for those patients. We have ten  
10 patients. Each has its room, and then there is a cafeteria for  
11 them only with a kitchen and things to make the cooking, and  
12 they have a television place for ... with a lot of ... ten  
13 chairs. You know the big chairs with the screen for TV. They  
14 have a place for internet, a lot of computers. They can contact  
15 their families. They have place for activities and downstairs  
16 there's a place to do some woodwork, wood carving, "menuiserie".  
17 They can do that there. And then they are provided with  
18 bicycles if they want to go around the hospital.

19           **Q.** All right, Doctor. And I take it from your evidence  
20 that this is somewhat of a ... this is a unique facility within  
21 Canada that treats exclusively veterans.

22           **A.** Oh yes.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           **Q.**    Okay.

2           **A.**    Yes.

3           **Q.**    Doctor, I want to come back to that in a moment but I  
4 want to ask a little bit about your analysis of Cpl. Desmond  
5 when you first observed him through the stabilization period, I  
6 guess. It seems as though you observed some dissociative  
7 episodes and you talked about a mixed personality disorder. I  
8 take it this was something of a ... it was observed in Cpl.  
9 Desmond but maybe not thoroughly flushed out, if I can put it  
10 that way, just over the course of a couple of meetings.

11           I guess I'd like you to, if you could, expand on what that  
12 diagnosis might mean and how it might manifest itself. You  
13 know, is it ... were you suggesting that Cpl. Desmond may have  
14 dissociative episodes that he might have ... there seems to be  
15 two types of dissociation. One where you, in your mind, are in  
16 a different place and another where you and your mind are a  
17 different person. I don't know if those are clear distinctions  
18 in your field or in your view, but which one would you say was  
19 Cpl. Desmond's?

20           **A.**    Well, I think it was what we call a flashback, you  
21 know. The fact of being with a psychiatrist and talking about  
22 medication, he said that it was bringing him back to the trauma

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 he had in Afghanistan where he saw all those persons with blood,  
2 with death, and he had to take them and put them in the bodybag.

3 So this ... flashback is kind of a dissociation. He is not  
4 himself. He is over there with them. So this is another kind  
5 of flashback of dissociation. There can be dissociation when  
6 the person feels out of his body and not in control and other  
7 kinds, like you said. He feels that things are not real around  
8 him because of the anxiety. And there are other kinds of  
9 dissociation, yes, but with PTSD, we can see that.

10 **Q.** I wonder, Doctor, if, in your experience, you can talk  
11 about how that might manifest itself. In other words, would  
12 people act out as though they were back in a war situation and,  
13 you know, take out violence on someone that, in their mind,  
14 might be a combatant or something along those lines? Is that a  
15 possibility in what you see?

16 **A.** When they are in a dissociative state, yes, they can  
17 move, they can go around. I've seen once a patient dissociate  
18 and take his car and they found him back 300 miles away. This  
19 was a dissociation, yes.

20 **Q.** In this case, Doctor ...

21 **A.** But ...

22 **Q.** Oh sorry. Dr. Ouellette, in this case, we know that

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 in the final, you know, hours, Cpl. Desmond had visited a gun  
2 shop where he very calmly and patiently awaited his turn and  
3 purchased a firearm, returned home, got changed from nice  
4 clothes into camouflage clothing, drove into a woods road near  
5 his house, parked the car, walked through the woods, and then  
6 slashed the tires of his wife's new truck and entered the house.

7 So I don't know if you were aware of those details before,  
8 Dr. Ouellette, but does that in any way ... or can you make  
9 comment on that in terms of what we've just been talking about  
10 and dissociation and mixed personality disorder?

11 **A.** Well, no, I cannot because the only time I saw him in  
12 a dissociative state was in my office when I assessed him. And  
13 he calmed down rather quickly and everything was all right then,  
14 this, I can tell you. But I was not there to assess him when he  
15 did that and I cannot comment on that, no.

16 **Q.** Okay. Now, Dr. Ouellette, I understand that you had a  
17 limited, if any, role in the time around Cpl. Desmond's  
18 discharge from Ste. Anne's. Is that a fair statement?

19 **A.** Yes, that's fair.

20 **Q.** Now in the course of this Inquiry, we're looking at  
21 recommendations. Do you think perhaps you should be involved at  
22 that stage given that you make the initial diagnosis and just to

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 see how it's turned out and if things have been followed through  
2 upon?

3       **A.** Usually, there is a nurse at this meeting with the  
4 team and the nurse gives the vision, the psychologic,  
5 psychiatric vision. She has all the details of the medication.  
6 She has the diagnosis. She has the recommendation. Usually,  
7 it's her who would do that because we are not always there. I'm  
8 there only one-and-a-half days a week, so maybe I cannot be  
9 there at the time where there is this communication with the  
10 other team. That's why it happens like that, but there is no  
11 ... if the psychiatrist wants to be there, he can be there.  
12 It's probably more a question of logistic, you know.

13       **Q.** Okay.

14       **A.** If the "reunion", for example, takes place on a Friday  
15 afternoon, me, as a psychiatrist, I'm there only on Tuesdays,  
16 and Dr. Dallal is there on Wednesdays, and Dr. Sansfacon on  
17 Mondays. So we have to do something. And they send our reports  
18 usually.

19       **Q.** Okay.

20       **A.** But if we want to, we can be there, but it's a  
21 question of logistics, I think.

22       **Q.** Would the fact that he was so difficult to stabilize

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 and, in fact, did not stabilize, make you more likely to want to  
2 attend that discharge meeting?

3 **A.** I think he was stabilized in a way. He was not  
4 entirely non-stable. I think he had a 50/50 ... he was ... he  
5 had been improving a lot. He was 50 percent better but there  
6 were still work to do.

7 **(16:00)**

8 **Q.** There certainly was, Doctor. Some of the  
9 recommendations included that he, first of all, undergo a  
10 neuropsychological examination. There are others, such as going  
11 to a gym with a trainer, joining an art program.

12 **A.** Yes.

13 **Q.** Taking part in pet therapy, joining a cycling club,  
14 and engaging in yoga, practicing mindfulness, getting involved  
15 in his community, setting a regular schedule, and getting proper  
16 sleep. So those were recommendations, some of which may be good  
17 for anybody, but certainly specific to him, and there are quite  
18 a number of recommendations.

19 **A.** Okay.

20 **Q.** But I want to draw your attention more to Dr. Gagnon's  
21 comments and she says things like: He had a tangential speech  
22 pattern, that he cycled rapidly between extreme good moods and

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 anger leading to exhausted emotional numbing, that he had sleep  
2 difficulties and nightmares of his wife cheating on him, that he  
3 had interpersonal distrust with possible persecutory delusions,  
4 that he had difficulties assimilating information, he had  
5 cognitive rigidity, and an underdeveloped sense of his identity  
6 independent from his Canadian Armed Forces identity. So it  
7 seems, Doctor, that at that point ...

8 **A.** Who said that?

9 **Q.** This is Dr. Gagnon's ...

10 **A.** Excuse me.

11 **Q.** ... report. Dr. Gagnon's report.

12 **A.** Gagnon.

13 **Q.** Yes.

14 **A.** Okay.

15 **Q.** So, Doctor, it certainly seems, from reading these  
16 reports, that I'm not sure I would raise him up to 50 percent.  
17 In fact, if we were looking for success, it might be closer to  
18 zero than 50 percent, given some of those comments.

19 **A.** Well, this was at home probably. Maybe a lot of time  
20 after he had quit our department.

21 **Q.** Well, this was on his discharge. It seemed, Doctor,  
22 that he had some anger that was difficult to control and was

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 probably misdirecting it towards his spouse at that time.

2       **A.**    Yeah.

3       **Q.**    And there was significant relationship difficulties.

4 So ...

5       **THE COURT:**     Mr. Rodgers, I'm going to stop you.

6       **MR. RODGERS:**    Yes.

7       **THE COURT:**     Yeah.  You're asking Dr. Ouellette about  
8 what Dr. Gagnon wrote ...

9       **MR. RODGERS:**    Yes.

10       **THE COURT:**     ... in his report.  So at least maybe you  
11 could refer Dr. Ouellette to the document, to the page, if he  
12 wants to have a look at it and read it, because with your  
13 questions, you can really limit, kind of like, the background  
14 that might be important to Dr. Ouellette before he answers the  
15 question because you're really asking him to comment on Dr.  
16 Gagnon's belief versus what he saw earlier in his review and his  
17 estimation when he left the stabilization program.

18       The residential program was some number of weeks later, so  
19 you may, in part, be comparing apples and oranges, but I think,  
20 at a minimum, you should let Dr. Ouellette have a look at the  
21 document before you ask him questions that are based on it.  
22 Thank you.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           **MR. RODGERS:**   Thank you, Your Honour.  Yes, it's a fair  
2 thing, Dr. Ouellette.  These were not your comments at  
3 discharge.  You weren't involved at that stage.

4           **A.**    No.

5           **Q.**    All right.

6           **A.**    But who is Dr. Gagnon?  Dr. Gagnon?

7           **Q.**    That ...

8           **THE COURT:**    I believe he's referring to Dr. Isabelle  
9 Gagnon.

10          **MR. RODGERS:**    Yes.

11          **A.**    Ah, Isabelle Gagnon, the psychologist.  Okay.  Well,  
12 Dr. Isabelle Gagnon was the clinical coordinator there and she  
13 gave ... she was part of the summary they did of the case, and  
14 she made her recommendation, but I was not there and it was not  
15 my department and I cannot answer your question about her  
16 comments.  She could do that but I cannot do that myself.

17          **Q.**    Okay, that's fine, Doctor.

18          **A.**    I was not there.

19          **Q.**    I accept that.

20          **A.**    Yeah.

21          **Q.**    Do you know, Doctor, who might be responsible for ...  
22 well, who has the responsibility to ask - I was going to say to

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 ensure, but to ask whether any services had been put in place  
2 for a patient upon discharge?

3 **A.** Can you repeat the question, please?

4 **Q.** So services that are recommended from the Ste. Anne's  
5 facility to ensure that those are in place or to ask whether  
6 those are in place prior to a patient being discharged, is that  
7 somebody's responsibility within the Ste. Anne's facility as far  
8 ... that you're aware of?

9 **A.** Well, I would say that Dr. Isabelle Gagnon, as the  
10 clinical coordinator, would be the one in charge for this  
11 because she's the one, you know, she's the one who has the  
12 authority for that.

13 **Q.** Doctor, you know, we're not here to assign blame and  
14 I'm not trying to ask questions with that in mind; in fact, the  
15 opposite, to maybe come up with recommendations for improvement.  
16 So that's the spirit this ... the spirit I'd like to have a  
17 constructive discussion on a few ideas or areas that we might  
18 explore.

19 **A.** Yes.

20 **Q.** One is holding ...

21 **THE COURT:** Mr. Rodgers, I'm just going to stop you for  
22 a second.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           **MR. RODGERS:**    Yes.

2           **THE COURT:**     So "recommendations for improvement" depends  
3 on what you're looking at because recommendations for  
4 improvement may be beyond the scope of this Inquiry,  
5 particularly when, if you're looking at recommendations that go  
6 to the operation of federal agencies.

7           **MR. RODGERS:**    Yes.

8           **THE COURT:**     Okay? You know it's a serious question as  
9 to whether or not I can make recommendations directed in that  
10 way and whether I should even be permitting exploration of  
11 subject matter that is just focussed on that particular outcome  
12 of recommendations as it relates to federal agencies.

13           So appreciate that there is some overlap between what we  
14 will legitimately look at in terms of the terms of reference and  
15 that I can look at from a jurisdictional point of view, and what  
16 we necessarily touch on as it relates to Cpl. Desmond and his  
17 experiences.

18           So I'm not going to stop you, but I'm just going to ask you  
19 to be circumspect in the manner in which you ask your questions.  
20 Appreciate that there is that limitation.

21           **MR. RODGERS:**    I do appreciate that, Your Honour, and I  
22 will make my best attempt to make sure that those questions are

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 directed to areas that are covered by the jurisdiction of this  
2 Inquiry.

3 **THE COURT:** Between the guardrails.

4 **MR. RODGERS:** Yes.

5 **THE COURT:** Thank you.

6 **MR. RODGERS:** Thank you.

7 Dr. Ouellette, I'm thinking of how, you know, if somebody  
8 asked to be released, generally, there's freedom to allow ...  
9 you know, a person can leave. There must be circumstances  
10 whether either you don't want to let them leave, you don't think  
11 it's safe, or you're considering transferring them to a  
12 provincial facility if they are returning to their home area. I  
13 guess, how do you navigate that issue if you're sending somebody  
14 home or if you're sending them to another facility that would be  
15 a provincial facility? Is that a circumstance that you've had  
16 to deal with?

17 **A.** Well, I didn't have to deal with that when Cpl.  
18 Desmond left the hospital. This is for sure. He was not under  
19 my care. But I can assure you that every patient from our ward  
20 who leaves the ward has to be seen by a clinician so they can  
21 investigate if he is homicidal or suicidal. Nobody quits the  
22 ward if there is a danger for the person or for other persons.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 So this is done by a clinician in the ward where the patient is  
2 and it is written in the file. For example, the nurse would  
3 say, I've seen the patient. He's not suicidal or homicidal.  
4 And then the decision is taken by the authorities.

5 **(16:10)**

6 **Q.** Okay.

7 **A.** If something happens, if the patient says, I want to  
8 kill myself, I am suicidal, then he won't go. Something will be  
9 set in place for him to be treated. Usually, they are treated  
10 at the nearby hospital because in our ward, we don't have the  
11 facilities to treat them, but they go there and they don't have  
12 the choice.

13 **Q.** Okay. Thank you, Doctor. Just a couple of more  
14 topics, couple of more points.

15 There's an indication ... it seemed as though the team was  
16 able to get some valuable information from Mrs. Desmond, from  
17 Shanna Desmond, and that contact was valuable. Has the facility  
18 ... or have you thought about more ways to bring the family into  
19 the treatment program, perhaps even to have the family present  
20 in the facility for a number of days or a week or something just  
21 to make them aware of the treatment that is taking place so that  
22 they could be better prepared, for example?

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           **A.** In the residential program, there is one day  
2 expressively for the spouses or the husband and they come in the  
3 morning and they stay all day. And they do activities and they  
4 ask their questions and there is psychoeducation about PTSD and  
5 depression and what to do on medication. This is a must. Each  
6 time, there is a ... it is a must.

7           Sometimes, the spouses or husband, they don't want to do  
8 that. We have to respect that. But usually they come, yes.

9           **Q.** Okay.

10          **A.** It is imperious. And then if we have a patient in our  
11 practice who has marital difficulties, financial difficulties,  
12 we can take a prescription and send them to the right  
13 professional to help them. I do that in my outpatient clinic,  
14 yes.

15          **Q.** Very good. Thank you, Doctor.

16          So, Doctor, finally, can I ask is there anyone at Ste.  
17 Anne's with whom Cpl. Desmond would've dealt with, with whom he  
18 could identify from a racial perspective? Whether it's a  
19 treatment provider or a fellow patient? Any other African  
20 Canadians; African Nova Scotians, in particular, or even other  
21 Nova Scotians?

22          **A.** I don't know that but I know that he had one or two

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1 friends and they would play pool, do bicycle, play guitar, and I  
2 don't know if they were men of colour or white men. I don't  
3 know that.

4 **Q.** Okay. But nobody on staff that would identify as  
5 such?

6 **A.** On the staff, yes, there are a lot of nurses, black,  
7 and Chinese. Yes, it's a very cosmopolitan. Haiti ... the  
8 Haitians. (Matimaw?), he was Vietnamese. There are a lot.  
9 There was one Latino. A lot of persons coming from everywhere  
10 in the world.

11 **Q.** It strikes me, Doctor, that this facility that serves  
12 the entire country might be something that could be replicated  
13 in other locations. Here in Nova Scotia, where we have the  
14 highest per capita population of veterans and military members.  
15 Is it your view, from your position, that this facility would be  
16 possible to replicate in different locations?

17 **A.** I don't know. I have no opinion now for that, but I  
18 think that we don't have to forget that those veterans, those  
19 military men and women, when they are active in the CF, they  
20 meet a lot of other persons from everywhere in the world and  
21 from everywhere in Canada. So there are black communities,  
22 there are Chinese, Latino.

**DR. ROBERT OUELLETTE. Cross-Examination by Mr. Rodgers**

1           In the army, you can have a wide range of persons, and in  
2 the outpatient clinic where I work, the names tell us that there  
3 is a lot of persons from many communities and I think it helps  
4 them to keep together, you know, to develop friendship, and it  
5 doesn't seem to be a problem with them.

6           **Q.**    Just wondering, Doctor ...

7           **A.**    Maybe ex- ...

8           **Q.**    Go ahead.

9           **A.**    Maybe except for some persons but it's my opinion.  
10 It's not an expert opinion but ...

11          **Q.**    I just wonder, Doctor, if, you know, one of the issues  
12 seem to be mistrust, and perhaps that was one of the reasons why  
13 Cpl. Desmond wasn't taking the medication that was suggested for  
14 him and that breaking down some of those barriers culturally and  
15 geographically even, might be of assistance. Would you have a  
16 view on that?

17          **A.**    I cannot answer that, no.

18          **Q.**    All right.

19          **A.**    Sorry.

20          **Q.**    That's fine, Doctor. Those are all the questions I  
21 had for you. Thank you, Dr. Ouellette.

22          **A.**    Thank you.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **THE COURT:**           Thank you, Mr. Rodgers. Ms. Miller?

2

3

**CROSS-EXAMINATION BY MS. MILLER**

4           **(16:17)**

5           **MS. MILLER:**           Good afternoon, Dr. Ouellette.

6           **A.**           Hello.

7           **Q.**           My name is Tara Miller and I'm counsel representing  
8 the personal representative of the late Brenda Desmond. That  
9 was Cpl. Desmond's mother. And I also share representation with  
10 respect to Aaliyah Desmond, Cpl. Desmond's daughter.

11          **A.**           Mm-hmm.

12          **Q.**           I just want to make sure I've understood some  
13 components of your evidence. As I heard you speak through this  
14 morning and this afternoon, Ste. Anne's has three different  
15 components. The first would be an outpatient clinic? Is that  
16 correct?

17          **A.**           Mm-hmm.

18          **Q.**           The second is a stabili- ...

19          **A.**           Yes.

20          **Q.**           The second is a stabilization unit? Correct?

21          **A.**           Yes.

22          **Q.**           The third is the residential treatment component.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 Correct?

2 **A.** Yes.

3 **Q.** And your involvement ...

4 **A.** Correct.

5 **Q.** ... with Ste. Anne's is limited to the outpatient  
6 clinic and the stabilization clinic. Correct?

7 **A.** Yes, correct.

8 **Q.** And I think you said you see about 110 patients a year  
9 in the outpatient clinic?

10 **A.** Well, not a year, but three or four times a year, I  
11 have to see my 110 patients.

12 **Q.** Okay.

13 **A.** I have to ... yeah.

14 **Q.** And where do those patients come from? Are they  
15 former patients of the residential?

16 **A.** They are veterans.

17 **Q.** Yes.

18 **A.** They are veterans patients liberated from the army,  
19 and mostly, most of them are suffering from PTSD or work-related  
20 mental illnesses. And then we also have RCMP members. There is  
21 a contract between CF and RCMP, so we see both of them for the  
22 same reasons.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **Q.**    Okay.  And I'm assuming, given they're outpatients,  
2    are those former military members and RCMP members that live in  
3    the area, like, that live in Quebec, or do they travel from  
4    other regions?

5           **A.**    Yes.

6           **Q.**    They live in Quebec.

7           **A.**    Yes.  They live in the area.  For example, us in Ste.  
8    Anne, we see the south shore of Montreal, we see Montreal, we  
9    see the west island, and the other OTSSC see other parts of the  
10    clientele.

11    **(16:20)**

12           **Q.**    Thank you.

13           **A.**    Yes.

14           **Q.**    So when you ...  I'm going to move into the  
15    stabilization role that you play.  I think you said you see  
16    about 25 to 35 patients there a year, did I get that correct?

17           **A.**    It is just ...

18           **Q.**    Approximation?

19           **A.**    I would have to verify because I never saw the  
20    statistics, but usually, there's one per week, but sometimes, if  
21    there are too many patients, I can wait two or three weeks  
22    before seeing a new one.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 Q. Okay.

2 A. Yeah.

3 Q. And as you indicated earlier, you said there were two  
4 roles or two goals, roles, at the stabilization program and one  
5 was to help those individuals reach stabilization, and then the  
6 second goal was to make sure they were fit for the residential  
7 treatment program. Correct?

8 A. Yes, correct.

9 Q. Is it fair to say that there's a third goal of yours  
10 as well? When you meet somebody initially, is it not that you  
11 are wanting to ensure that the admitting diagnosis is correct  
12 and to see if there's anything else that the person needs to be  
13 diagnosed with? Is that correct?

14 A. Yes. Well, my role in the stabilization process is  
15 this. You see the patient that comes to us are patient that  
16 have been treated many years ago and for many years and for many  
17 months, and they need to contin- ... in the stabilization and  
18 then they still have big problems. They still need more  
19 investigations. So they are sent to us at the stabilization  
20 because there they are going to stay for a few weeks and we will  
21 have the opportunity to observe them, to see them almost every  
22 day for the personnel, for example, and to make a better and

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 more accurate diagnosis.

2 Q. Okay, thank you.

3 A. We can ... when we see someone a whole day, we see  
4 much more than in a few hours.

5 Q. Fair enough.

6 A. So we can make observations and then sometimes it  
7 helps us a lot because we discover things, you know? We  
8 discover that some patients don't take their medication so well.

9 Q. So I appreciate what you're saying is that part of  
10 what you do when you see someone initially for stabilizations,  
11 you have to do a complete assessment to make sure you have an  
12 accurate picture of the diagnosis.

13 A. Yes.

14 Q. So I want to move us now into ... again, I want to  
15 make sure I understood your evidence and look at some of the  
16 documents. So just to help orient you, this is Exhibit 254 and  
17 the first page I'm going to ask you to look at ...

18 A. Yes.

19 Q. ... is page 46.

20 A. Okay, I'll look at this.

21 Q. Okay. So yeah, let me just ask you a few questions  
22 just to help orient. So my understanding is that you saw Lionel

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 Desmond - Cpl. Desmond - five times over the course of his time  
2 in the stabilization unit. Is that correct?

3 **A.** Yes.

4 **Q.** Okay. And if I look at page 46, these look to be  
5 chart notes and I see handwriting and it looks like sometimes  
6 it's your handwriting, because I see "Robert Ouellette". Would  
7 that be fair?

8 **A.** Yes, this is my handwriting, yes.

9 **Q.** Okay. So the first ... is it fair to say the first  
10 time you saw him, of course, was May the 31st, 2016?

11 **A.** Yes.

12 **Q.** Okay. And then we see that ...

13 **A.** Well ...

14 **Q.** Sorry, I don't want to cut you off.

15 **A.** Okay.

16 **Q.** Was that the first time that you saw ...

17 **A.** What ...

18 **Q.** ... Cpl. Desmond on May the 31st?

19 **A.** Yes, 31st, it was the first time.

20 **Q.** Okay. And that's when you did your assessment and  
21 were able to come up with the diagnoses and did a thorough  
22 report which is typed up, and we've seen that.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **A.**    Yes, we've seen that there.

2           **Q.**    Okay.

3           **A.**    Yes.

4           **Q.**    Then the next chart entry I see is June 7th, 2016,  
5 it's a short entry and it has your name. It's in French and I  
6 wish I had a better command. I wish I had your command of the  
7 French language. What does that say?

8           **A.**    It says: The patient has been ... has had a second  
9 visit. "Revu" means "seen again". The case was discussed  
10 probably with the team because every Tuesday, we'd discuss, the  
11 team. And the note was dictated.

12          **Q.**    And so was that ... did you see Cpl. Desmond on that  
13 date or did you review the file with the interdisciplinary team?

14          **A.**    Well, we always discuss about the patient on Tuesday  
15 afternoon. It's always done. And I don't know. Probably the  
16 patient has been viewed on this occasion, yes.

17          **Q.**    In your review of the file for your evidence today,  
18 Dr. Ouellette, have you seen a chart note that was, or a note,  
19 that was dictated with respect to your June 7th visit?

20          **A.**    I have one for the 31st and then the next one is the  
21 14th of June. I don't have one for the 7th of June.

22          **Q.**    Okay.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **A.**    Yes.

2           **Q.**    All right.  I'm going to move to June the 14th.  We  
3 see a chart note for June the 14th at page 50 of that same  
4 exhibit.

5           **A.**    Yes.

6           **Q.**    And that's your handwriting.  That's a short chart  
7 note.  Can you just translate that for us?

8           **A.**    The meeting was done.  The note was dictated.  
9 Topamax, which is a medication, was added to the medication.

10          **Q.**    Okay.

11          **A.**    Yes.

12          **Q.**    And then I'm going to get you to look at page 48 of  
13 the same document.  This is a ... looks like a dictated ... it  
14 says, "Case History Sheet".  Do you have that in ...

15          **A.**    Yes.

16          **Q.**    ... front of you, Dr. Ouellette?  Yeah.  It's in  
17 French, but ...

18          **A.**    Yes, I have ...

19          **Q.**    Yeah.

20          **A.**    I have it here, yes.

21          **Q.**    If we look at the paragraph just about "Diagnostics".

22          **A.**    Yes.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **Q.** This is your review, I think, of how Cpl. Desmond was  
2 presenting.

3           **A.** Okay.

4           **Q.** And my question for you at this point, I interpret, or  
5 we understand, there's a sentence towards the very bottom: "Le  
6 control emotional est bon." Do you see that?

7           **A.** Okay. Yes, I see that.

8           **Q.** Okay. So at that point, can you explain to us what  
9 you were meaning when you said, Emotional control is good?

10          **A.** Well, it means that he is in control of his emotions  
11 and he is not agitated. He can express himself in words and he  
12 has a good control of his emotions.

13          **Q.** Okay. So he wasn't deregulating at that point, his  
14 emotions, he was able to ...

15          **A.** No.

16          **Q.** ... to be in control of his emotions. Okay. And the  
17 Topamax ...

18          **A.** Yes.

19          **Q.** The Topamax is the medication you had told us earlier  
20 that you were recommending he take for mood stabilizing?

21          **A.** Yes. The Topamax which I gave him at 25 milligrams  
22 only, as usually we begin with 50 milligrams and we go up, but

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 in his case, I did give him such a low dosage so he would be  
2 comfortable with this. So that's where I tried to give him more  
3 medication, Topamax, yes.

4 **(16:30)**

5 **Q.** Right. And we heard from you earlier that your  
6 efforts to prescribe this mood stabilizer were not met well.  
7 And if I can just take you again back to page 50, these are your  
8 handwritten notes.

9 **A.** Yes.

10 **Q.** My recall of your evidence is that there was a nurse  
11 who had ... you had looked at the nurse charting notes and she  
12 had expressed that Cpl. Desmond was not going to take this  
13 medication, and then that led, if I can summarize ...

14 **A.** No.

15 **Q.** ... that led to a conversation you had with him which  
16 I assume is on June the 16th?

17 **A.** Yes, June the 16th, effectively. Then ...

18 **Q.** Okay. And this is a detailed note that we see at page  
19 50, but it's all in French, so I'm not going to ask you to go  
20 through it for us now, Dr. Ouellette.

21 **A.** Okay.

22 **Q.** But we'll make a request through your counsel for a

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 transcription of that into English.

2       **A.**    Okay.

3       **Q.**    To make sure we've captured it.  But as I understand,  
4 after June the 16th, that's when you had concerns about Lionel  
5 Desmond's resistance to medication changes.  Is that fair to  
6 say?

7       **A.**    Yes, that's fair.

8       **Q.**    Okay.

9       **A.**    What happened is I prescribed Topamax on the 14th.  He  
10 didn't want to take it.  And then on the 16th, I went to see him  
11 and to know what was happening and then talk about the  
12 medication.  And that's when I saw he had such ... he was such  
13 an "interdiction", he didn't want at all to take medication, to  
14 talk about medication, and he had this reaction.

15       **Q.**    That you described earlier about not wanting to ...

16       **A.**    Yes.

17       **Q.**    ... take the Desmond out of him.

18       **A.**    Like a dissociative state, yes.  And that's ...

19       **Q.**    When you say, "Like a dissociative state", can you  
20 elaborate on that?

21       **A.**    Well, it's when he was really mad at me and he didn't  
22 want to talk about medication.  And then he said, "Don't take

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 the Desmond, the beast, out of me." He was really mad. So he  
2 was, like, dissociated. That's why I say that.

3 Q. Okay. And that's a clinical term ...

4 A. It was ...

5 Q. ... "dissociated", right?

6 A. Dissociated, yes, it's ... when we talk, for example,  
7 about a flashback, flashback is a dissociation. The military,  
8 he finds he's brought back in his mission when he was in  
9 Afghanistan. He's not there anymore. It really is the trauma.  
10 So that's what happened to him because he ...

11 Q. And that's from your expert opinion ...

12 A. Yes.

13 Q. ... Dr. Ouellette, that that day when he said he  
14 didn't want to take that medication, he didn't want to "take the  
15 Desmond out of me", he was dissociating when he said that, when  
16 you had that conversation with him?

17 A. Yes, he was, yes.

18 Q. Okay. So that wasn't a flashback, that was ... a  
19 flashback can be a dissociative episode, but this, what you're  
20 just describing ...

21 A. Yes.

22 Q. ... is separate from a flashback.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **A.**   Those are two terms saying the same thing.

2           **Q.**   Okay.

3           **A.**   Flashback and dissociation, okay?

4           **Q.**   All right. I'm going to move off of June the 16th.

5 As I understood your evidence, you did have concerns at that  
6 point, given his resistance to the medication and some anger  
7 issues.

8           **A.**   Yes.

9           **Q.**   You had concerns about whether or not he'd even be  
10 appropriate for admission into the residential program.

11 Correct?

12           **A.**   Yes.

13           **Q.**   Yes.

14           **A.**   Yes, correct.

15           **Q.**   And then according to the notes, which I'm going to be  
16 referencing page 52 of that same exhibit - Exhibit 254 - this is  
17 the next note in your chart and it says, "June 23rd, 2016".

18           **A.**   Yes.

19           **Q.**   We understand from your earlier evidence that on that  
20 date, you met with Lionel Desmond, and based on that  
21 conversation, you had confidence in him and his ability to  
22 participate in the residential program. Is that correct?

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **A.**    Well, I talked with Dr. Isabelle Gagnon and with Julie  
2 Beauchesne about the fact that I wasn't sure that Lionel Desmond  
3 could do the residential program after what happened the day  
4 before. So we decided that we would encounter him, the three of  
5 us, and evaluate the possibility of Mr. Desmond to go to  
6 residential or to go back home. And this meeting with him was  
7 rather good because he was calm and he was not angry anymore,  
8 and he was anxious, and he was expressing his ambivalence to  
9 stay or to go home. And finally, he said that his family was  
10 going to see him, coming to see him, in Montreal, and he was  
11 rather motivated to stay with us.

12           So we waited for the weekend to end. We could see that  
13 everything went well with his wife and family and it was decided  
14 that he would stay with us at the residential program without  
15 raising the medication. He would stay with us because he had  
16 some improvement in his sleep, in his relation with others, in  
17 his interest. He was very much less depressed. So we decided  
18 he would stay.

19           **Q.**    Okay.

20           **A.**    And he agreed with that.

21           **Q.**    And then you also, I think, said you had the social  
22 worker, Kama Hamilton, have a conversation with Mrs. Desmond.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **A.**    Yes.

2           **Q.**    And we have her report.  It's Exhibit 255.  And it  
3 looks like she spoke with Mrs. Desmond on June the 28th and ...

4           **A.**    Yes.

5           **EXHIBIT P-000255 - SUPPLEMENTAL DOCUMENTS - STE. ANNE'S HOSPITAL**  
6           **MEDICAL RECORDS**

7           **Q.**    Would she have shared that information with you  
8 following her phone call, Dr. Ouellette?

9           **A.**    Yes.  Yes, she did that at the meeting.  Every  
10 Tuesday, we have a meeting with the team.  Everybody is there.  
11 And then Mrs. Hamilton told us about her conversation with Mrs.  
12 Desmond and she told her the conclusions that she came to with  
13 her.  And you have a list of their conversation here on this  
14 thing here.

15          **Q.**    When ...

16          **A.**    And we realized that, for example, Mrs. Desmond was  
17 not afraid of Mr. Desmond, even if her little girl was upset  
18 with his change of humour.  She said here:  "Also to note that  
19 her daughter is frightened when he yells but that he has never  
20 been physically violent toward them, either of them, and she has  
21 never felt that he would hurt them."  So there was no physical  
22 violence in the family.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **Q.**    When you earlier gave your evidence about assessing  
2 the appropriateness of somebody for the residential facility and  
3 you said, understandably, the people that are there have PTSD  
4 and so you want to make sure that you're not introducing  
5 somebody into the environment who is going to be disruptive and  
6 enhance or increase their stress, there are two things I want to  
7 ask you about in this report, as noted by the social worker, and  
8 they both deal with anger. That would be one of the things you  
9 were worried about, anger outbursts ...

10           **A.**    Yes.

11           **Q.**    ... in the residential program. And they're on page  
12 one, points one and two. The first is anger issues. "Many  
13 triggers. Don't know what they are. Therefore, unpredictable  
14 angry episodes. Impossible not to trigger him. Unpredictable.  
15 Won't let daughter stay alone with him. For example ..."

16           **THE COURT:**    Ms. Miller, you get ahead of the document  
17 sometimes and ...

18           **MS. MILLER:**    Sorry.

19           **THE COURT:**    ... you read it more quickly than we can get  
20 it up there and let Dr. Ouellette have a read of it.

21           **(16:40)**

22           **MS. MILLER:**    Thank you. Dr. Ouellette ...

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1       **THE COURT:**       And me. Thank you.

2       **MS. MILLER:**       Sorry. More ... equally important.

3       **THE COURT:**       Thank you.

4       **MS. MILLER:**       Dr. Ouellette, I'm looking at page one of  
5 Exhibit 255 and it's up on the screen. And there's points one  
6 and two that I wanted to ...

7       **A.**       Yes, I ...

8       **Q.**       ... orient you with, yeah. So the first ...

9       **A.**       Okay.

10       **Q.**       First point deals with anger issues and triggers and  
11 unpredictability. It says: "Example - when daughter hit the  
12 car with the four-wheeler, she was afraid to tell him but he was  
13 not angry. He said it's okay, as long as she wasn't hurt. But  
14 when she spilled water on the floor, he flew into quick  
15 escalation, a rage, hollering, yelling."

16       And then the second point is, "Anger, violence. Two  
17 incidences of violence against objects. Throwing drawers around  
18 his room."

19       **A.**       Yes.

20       **Q.**       "They don't know why he went to work and came back in  
21 a different mood." And then the second incident is redacted  
22 from the document that I have but I would assume you would've

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 had the original.

2 Was there anything about what you read or what Ms. Hamilton  
3 reported to you about these anger issues, the triggers and the  
4 violence, that caused you concern or impacted your ... We know  
5 he was admitted, but did this cause concern for you?

6 **A.** Yes. I read ... already, I've read all this report  
7 many times and the number one there ... Well, it's not me who  
8 has done this assessment but I think that Mrs. Hamilton would be  
9 the best one to comment on her report. But number one, when I  
10 read this, I know that people presenting PTSD can be like that -  
11 unpredictable - and it depends on the ... what they feel inside.  
12 For example, she says: "When she spilled water on the floor, he  
13 flew a rage." Probably because he has personality issues,  
14 obsessive-compulsive traits. But it is difficult for me to  
15 interpret that because I'm not the one who did the interview and  
16 ...

17 **Q.** I appreciate that, Dr. Ouellette, but my understanding  
18 from your evidence was that you were making ... part of your  
19 role in the stabilization clinic was to make an assessment as to  
20 whether somebody was appropriate to move into the residential  
21 treatment program and ...

22 **A.** Yes.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **Q.**   ... part of that assessment involved you assessing  
2 whether or not that was going to perhaps be disruptive for the  
3 other patients and anger was something you were worried about.  
4 So that is what I was orienting you to. You had the social  
5 worker reach out to Mrs. Desmond to gather this information.  
6 You advised she shared it with you. Did that impact your  
7 decision at all or cause you to reflect on the appropriateness  
8 of Cpl. Desmond's admission?

9           **A.**   This did not change my opinion. It was something that  
10 we see in patients that we hospitalize in the residential ward.  
11 It can be seen many times and there is a reason for that. We  
12 call that "emotional dysregulation". The problem is people  
13 don't know why it happens. Seeing that, I could see that he  
14 wasn't angry with his little girl because she did something to  
15 the car, but he was angry at her because she spilled water on  
16 the floor because he is an obsessive-compulsive and he wants  
17 everything to be clean, to be well-arranged. So it is probably  
18 because of that. There is an explanation for that.

19           **Q.**   Right.

20           **A.**   But people don't know that.

21           **Q.**   The explanation ...

22           **A.**   And ...

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **Q.**    If I can put it this way, Dr. Ouellette, the  
2 explanation for this behaviour was grounded in his mental health  
3 diagnoses.  Correct?

4           **A.**    Yes, yes.

5           **Q.**    Okay, thank you.

6           **A.**    Well said, yes.

7           **Q.**    Thank you.  This wasn't something he had necessarily  
8 purposely done.  It was a result ...

9           **A.**    No.

10          **Q.**    ... of, and a symptom of, his underlying mental health  
11 diagnoses.  Correct?

12          **A.**    Anxiety, and his reaction was difficult to understand,  
13 but there was a reaction and it was not violence.

14          **Q.**    Right.  But there are some violence ... anger issues  
15 noted.

16          **A.**    The second ... yes.  And it's a little more concerning  
17 because if someone yells and say things, it's verbal, but if he  
18 moves objects, then it's a step farther, okay?  And this was a  
19 little more annoying.  But while he stayed with us, we didn't  
20 see that.

21          **Q.**    Okay.  But is it fair to say that the verbal  
22 outbursts, and even moving ... the physical anger outbursts,

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 that, from your expert opinion, those were again manifestations,  
2 behavioural manifestations, of the underlying mental health  
3 diagnoses?

4 **A.** Yes, yeah.

5 **Q.** Okay, thank you.

6 **A.** Yes.

7 **Q.** I want to ask you just a ...

8 **A.** Difficulty to control ...

9 **Q.** Go ahead.

10 **A.** Yes. He has difficulty to control his anger, yes.

11 **Q.** As a result of his mental health diagnoses, yes.

12 **A.** Yes.

13 **Q.** I have one small question before I move into my last  
14 topic, but you mentioned to, I think, my friend, Mr. Macdonald,  
15 or Mr. Rodgers, you said under the residential program, there's  
16 actually one day where spouses can come in for the day and  
17 participate and ask questions and observe. Now I understand you  
18 don't have any role in the residential program, but is that ...  
19 do you have any idea why Mrs. Desmond didn't participate in that  
20 one day at the residential program?

21 **A.** I don't know if she did or not, but I know something,  
22 that some people stay far away and have obligations and they

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 cannot come. Sometimes they have children at home, they have  
2 work, so we have to arrange a meeting. Sometimes I think they  
3 do that in the weekend. So you would have to ask Kama Hamilton  
4 about that.

5 Q. Okay.

6 A. She is the one to know. But usually, people want to  
7 come. I don't know if she met, effectively, Mrs. Desmond.

8 Q. Okay. We know your evidence is, and we know that, she  
9 was there the weekend before, physically, in Montreal.

10 A. Yes, yes.

11 Q. And then Ms. Hamilton talks to her on the 20th. We'll  
12 ask her those questions. I appreciate, Dr. Ouellette, your  
13 position on that.

14 A. Okay.

15 Q. I want to move now to your actual diagnosis of mixed  
16 personality traits, including paranoid traits. That was the  
17 diagnosis that you provided.

18 A. Yes. Paranoid ...

19 Q. One of four.

20 A. ... obsessive-compulsive, and I ... those two, yes,  
21 those two.

22 Q. You may not know this, but that diagnosis, the first

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 time we have seen that is in your report of May 31st, 2016.

2 **A.** Mm-hmm.

3 **Q.** You touched on this earlier. At the end of anyone's  
4 stay at the residential facility, there's a discharge report and  
5 we know that that was authored in August and forwarded to  
6 various people in October. My friend asked you about the  
7 absence of any section from you, as the psychiatrist.

8 **A.** Mm-hmm.

9 **Q.** When I read through that report, I don't see any  
10 mention of the diagnosis of personality trait disorder. Is that  
11 fair to say, Dr. Ouellette?

12 **A.** Mm-hmm.

13 **Q.** That's an accurate characterization?

14 **A.** At the end of what?

15 **Q.** When I read ...

16 **A.** Of the hospitalization?

17 **Q.** The residential ...

18 **A.** At the end?

19 **Q.** At the end of the residential program, the discharge

20 ...

21 **A.** Yes.

22 **Q.** ... report?

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **A.**    You didn't see?

2           **Q.**    Yes.  There's no reference to your diagnosis of  
3 personality ...

4           **A.**    No.

5           **Q.**    ... mixed personality traits.

6           **A.**    I don't know what happens to the report after the  
7 hospitalization ends.  Usually, when a patient is leaving the  
8 hospital, there is a summary of the hospitalization with a  
9 diagnosis.

10          **(16:50)**

11          **Q.**    Right.

12          **A.**    Usually.  I have it here.  I don't know if it's given  
13 to the patient.

14          **Q.**    Okay.  Do you know if Cpl. Desmond was ever told that  
15 he had mixed personality trait disorder ... or not a disorder, but  
16 he had a diagnosis of mixed personality traits?  Would you have  
17 had that conversation with him?

18          **A.**    Not this way, but patients ... ordinarily, patients  
19 have ... are very sensitive when we begin to talk about  
20 personality problems.

21          **Q.**    Right.

22          **A.**    If you look at the first time I saw him, the first

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 evaluation I made on the 31st of May.

2 Q. Yes.

3 A. And then if you look at page four under "Examen  
4 Mental"...

5 Q. Yes. And so that's page 44.

6 A. Mental status.

7 Q. For our reference, that's page 44 of our exhibit, yes.

8 A. Ahh, okay for you.

9 Q. Yeah.

10 A. Okay. Then in the middle of this paragraph, I say:  
11 "Monsieur declare ..." Do you see it? "Monsieur declare avoir  
12 de la difficulte a faire confiance aux autres." The  
13 "traduction" of that is: "Mr. Desmond say he has difficulty to  
14 trust others."

15 Q. Yes.

16 A. Okay? So this is a soft way to say, "Mr. Desmond has  
17 paranoid traits." Okay?

18 Q. Yes.

19 A. Because someone with paranoia has difficulty to trust  
20 others.

21 Q. Fair enough.

22 A. That's what I see there, but we have to say it in

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 another way so the patient will not be shocked by it.

2 Q. And when you prescribed him the Topamax, which was a  
3 mood stabilizer which you indicated was going to help with the  
4 personality traits and the dysregulation and the irregularity  
5 ...

6 A. Yes.

7 Q. ... in mood, would you have explained to him why you  
8 were prescribing him that medication, Dr. Ouellette?

9 A. All the time. Each time we give a medication to a  
10 patient, we have to explain him why we give it and what it is  
11 going to do and also, sometimes, what are the secondary effects  
12 of this medication and what to do if they appear. And every  
13 time we do that, it's okay, because if we give a new medication  
14 to someone without explaining why, he will call us back. He  
15 won't be compliant to that.

16 Q. Fair enough.

17 A. Yes.

18 Q. Would you have prescribed ...

19 A. It's the same thing for us.

20 Q. No, fair enough. Would you have ...

21 A. The same thing for us.

22 Q. Would you have prescribed the Topamax if you did not

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 have a diagnosis of mixed personality trait?

2       **A.** I might have prescribed it for PTSD because it is a  
3 real good medication for PTSD, especially when patients have  
4 tried a lot of medication before. Especially antidepressants.  
5 And antidepressants, they give secondary effect, and with a  
6 patient like Mr. Desmond, afraid of secondary effects, I  
7 preferred to give Topamax which was more acceptable and working  
8 in the same time with PTSD and in the same time as a mood  
9 regulator.

10       **Q.** Okay, thank you.

11       **A.** So I have both effects with the same medication, yeah.

12       **Q.** I want to ask a few questions about the diagnosis of  
13 mixed personality traits, Dr. Ouellette. You've said - and I'll  
14 just paraphrase your evidence - that, of course, was one of  
15 several comorbid conditions that Cpl. Desmond had. He had  
16 depression, alcohol dependency, and anxiety. And ...

17       **A.** Yes.

18       **Q.** ... as I recorded your evidence, you said, "Traits of  
19 personality can exist independent of PTSD." Is that fair?

20       **A.** Mm-hmm.

21       **Q.** Yes.

22       **A.** Yes.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **Q.** But then you went on to say, "But under pressure with  
2 PTSD, these traits can be made worse."

3           **A.** Yes.

4           **Q.** Okay. So is it possible ...

5           **A.** Yes, they ...

6           **Q.** Is it possible that somebody can effectively be  
7 asymptomatic of any personality traits and they become  
8 symptomatic as a result of the stress associated with PTSD?

9           **A.** No. No. Personality traits are there. They can be  
10 seen. It's the signature of the person, you know, like the  
11 colour of their hair. It's the way of relating to others and  
12 the way of thinking things and resenting things. They are there  
13 but they can be quiet, you know, quiet.

14          **Q.** Okay.

15          **A.** That's okay. They can be a quality too. For example,  
16 someone can have obsessive-compulsive traits and it can be a  
17 quality because they will clean the kitchen. Everything is  
18 going to be well-arranged in the house. They pay their bills.  
19 Everything is okay. But if something comes up and they have a  
20 great stress, then they will begin cleaning all the time  
21 compulsively and it becomes much more than before. So it's not  
22 ...

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 Q. Then it would become more clinical.

2 A. ... a quality anymore. Yes.

3 Q. Yes.

4 A. It becomes worse.

5 Q. Okay. So from what I've been hearing you say, you  
6 believe that Cpl. Desmond had some underlying personality traits  
7 that were not clinical.

8 A. Yes.

9 Q. Is it fair to say they were not clinical until ...

10 A. Subclinical, yes.

11 Q. ... until the trauma of the PTSD and combat in  
12 Afghanistan. Is that a fair characterization?

13 A. Yeah.

14 Q. Okay, thank you.

15 A. Yes. Exacerbation of underlying traits.

16 Q. Yes. And as we touched on earlier, just again, the  
17 symptoms of the personality trait were the paranoia, the trust  
18 issues, the anger, the irritability. I think you said the  
19 doubts about his spouse and the jealousy, those were symptoms of  
20 the personality trait disorder. Did I capture that correctly?

21 A. Yes.

22 Q. Okay.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1           **A.**    Yes.  I have a definition here of the paranoid  
2 personality traits.  Do you want to hear it?

3           **Q.**    Yes.

4           **A.**    The definition?  It says:  "A pervasive distrust and  
5 suspiciousness of others such as their motives are interpreted  
6 as malevolent beginning early adulthood and presenting a variety  
7 of context.  Suspects others exploiting, harming, deceiving,  
8 doubts about loyalty and trustworthiness of friends.  No  
9 confidence."  They make no confidence to anybody because they  
10 don't want it to be maliciously against them.  Reads:  "Even  
11 demeaning in the actions of others.  Persistent.  Bear grudges.  
12 Perceived attacks are on reputation, no (appearance?) to others,  
13 and recurrent suspicious regarding fidelity of spouse."  This is  
14 the definition.

15          **Q.**    Okay, thank you.  And ...

16          **A.**    And that's why ...

17          **Q.**    Go ahead.

18          **A.**    Yes.

19          **Q.**    You were going to say, That's why ...

20          **A.**    And that's why I gave this diagnosis to Mr. Desmond.

21    **(17:00)**

22          **Q.**    And I think you said in your evidence this morning

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Miller**

1 with Mr. Russell that that diagnosis actually explained, in  
2 hindsight, a lot of the challenges that he had been having.

3       **A.** Mm-hmm.

4       **Q.** Correct?

5       **A.** Yes. And in the suspiciousness he has against  
6 medication, against people around.

7       **Q.** Yeah. And one issue you talked about earlier was bill  
8 payments and how he ... this was, I think, around the ... you  
9 were talking about giving permission to talk to his wife, and  
10 not, and taking back bills, and not. If I can characterize it  
11 this way, Dr. Ouellette, him taking back the control of the  
12 paying of the bills or taking back the consent to speak to his  
13 wife, that wasn't about exercising power, that was the  
14 manifestation of his trust and mistrust as a result of the  
15 disorder that you had diagnosed him with. Is that fair to say?

16       **A.** Yes, it's fair to say. He was doubting her, doubting  
17 her capacity to ... with the finances, and maybe he was doubting  
18 that she was taking money from him too. We don't know.

19       **Q.** Yeah. But all of that was consistent with the  
20 symptoms you would expect and you had seen in him ...

21       **A.** Yes.

22       **Q.** ... of the mixed personality trait.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1           Okay, thank you, Dr. Ouellette. I appreciate your time  
2 here today. I know it's been lengthy. There may be others with  
3 questions for you.

4           **A.** Thank you. I hope I threw some light on this case.

5           **Q.** Thank you.

6           **THE COURT:** Ms. Ward, do you have any questions?

7           **MS. WARD:** I do. Thank you, Your Honour.

8

9

**CROSS-EXAMINATION BY MS. WARD**

10   **(17:02)**

11           **MS. WARD:** Good afternoon, Dr. Ouellette.

12           **A.** Hello.

13           **Q.** My name is Lori Ward and I represent ...

14           **A.** Good afternoon.

15           **Q.** ... the Government of Canada. So that includes the  
16 Armed Forces and Veterans Affairs, among others. I just have a  
17 few questions.

18           **A.** Okay.

19           **Q.** I won't keep you much longer.

20           Ste. Anne's is a provincial facility operated by the  
21 Province of Quebec. That's correct?

22           **A.** Ste. Anne's was owned by, I think it's Veterans

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 Affairs Canada, or ACC before, and then since a few years, it  
2 has been given to the Province of Quebec and integrated in the  
3 Regie de l'assurance maladie and in the Douglas Hospital, the  
4 west of the Island of Montreal. Now it's under the supervision  
5 of Douglas and McGill, yes. But the clinic, the outpatient  
6 clinic, is, I think is (French term) by Veterans Affairs Canada,  
7 but the budget is (inaudible) by Douglas, I think.

8 Q. So for a patient or a client like Mr. Desmond, though,  
9 Veterans Affairs will be paying the bill, but not directing ...

10 A. Yes.

11 Q. ... his care in any way.

12 A. No. No. Paying the bill, yes, but the administrative  
13 part is made by the Douglas Hospital, yes. But I think that the  
14 ... all the money spent for that is from Veterans Affairs  
15 Canada, yes.

16 Q. Okay. You spoke earlier about a reluctance in  
17 military members to seek treatment for mental health conditions,  
18 and I just want to ask you, you know, we know that in recent  
19 years, there's been a much more public conversation about mental  
20 health, but is it fair to say that that reluctance was not just  
21 present in military members but, in fact, in civilians or the  
22 general public, there's been a reluctance to talk about mental

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 health issues and seek treatment?

2       **A.** Mm-hmm. Are you talking about military men and women  
3 or civilians?

4       **Q.** I'm asking if that ...

5       **A.** Civilians ...

6       **Q.** ... if the reluctance to seek treatment and the  
7 perception of shame and that is also present in non-military  
8 people?

9       **A.** In non-military, yes. I can say that too because, you  
10 know, I'm an old psychiatrist. I began working in 1974, and  
11 even 1970, and at that time in my private practice, people were  
12 coming to see me and they would hide on the floor. They would  
13 not know ... want people to know they were coming to see me.  
14 And they were civilians. And sometimes they would sit at the  
15 door of another doctor, not too far, not to be identified.

16       In the history of psychiatry, it has been like that since  
17 maybe 1970, and in the civilian and in the army too. But this  
18 has evolved a lot, and nowadays, the civilian have no problem  
19 going to see a psychologist or a psychiatrist. Maybe they fear  
20 taking medication. They don't want to take too many medication,  
21 but this has evolved, and with the psychoeducation, this is much  
22 better. And it is the same thing in the army. When I began

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 working in the army at Valcartier in 2000, it was the same. The  
2 militaries, military men and women, they waited a long time to  
3 say that they had something, they were sick, they were anxious.  
4 And why? Well, because it has ... it was influencing their  
5 work, their promotions, their ability to go back to missions,  
6 okay?

7 So there, too, there were a lot of psychoeducation, people  
8 going with them, peer support, and preparation to missions,  
9 predeployment, post-deployment, after they would come back, and  
10 ... but the fact is, the problem that was ... the real problem  
11 that we saw was the fact that if they had PTSD and if they were  
12 ... they had to have treatment, they would be put in, not  
13 permanent category, medical category, but in ...

14 Q. Temporary category?

15 A. ... the contrary.

16 Q. The temporary category.

17 A. Yes, they would be put on temporary category for six  
18 months and then another six months, and sometimes a third six  
19 months. And during this time, the treatment went on and we  
20 tried to help them, we tried to help them go back to work. And  
21 a lot of things were done then because they wouldn't go back to  
22 the same place they were working. We tried to find them some

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 good jobs, but not too demanding, and then progressively go back  
2 to work, go back to the gym and things like that.

3 So ... but in the long run, the PTSD is something that, it  
4 is a very heavy load (physically?) you know. And eventually,  
5 they came to be released from the work because they cannot go  
6 back to mission. They are not fit for missions.

7 **(17:10)**

8 We tried to talk with the officers in charge, saying that  
9 maybe they are not fit to go to missions, but maybe they are fit  
10 to be accommodated and to work on the bases. So what happened  
11 was they feared to come and seek treatment because they feared  
12 to lose their job and to be ... and with, when having a family,  
13 it's not ... they fear to be released and not to be able to take  
14 care of their family. And in the same time, they had this PTSD.

15 So it was a big stress for them and I know that there was a  
16 lot of things made for them to reassure them and to assure their  
17 treatment. For example, the OSI clinics, all the help they  
18 could get. The pensions, the ... So yes, it took a long time  
19 for them to be reassured and to know that there was something to  
20 do, even if they were released. But nowadays, some of them have  
21 difficulty. So they come to consult late, very late, and ...

22 **Q.** Okay, thank you, Doctor. Switching gears for a

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 minute. I think there's been some confusion about the length of  
2 stay in Ste. Anne. As I understand it, Mr. Desmond was with you  
3 in the stabilization phase for about four weeks.

4 **A.** Four weeks, yes.

5 **Q.** Is that an average time in the stabilization phase?

6 **A.** Sometimes it's one week or two. It can be up to eight  
7 weeks. It depends on what we have to do. If the patient has to  
8 take much more medication, we have to wait to see the effects of  
9 the medication, and then if some secondary effects arise, then  
10 we have to stop it and come back to another medication. So we  
11 lose time then. We have to choose well at first, but sometimes  
12 we have to adjust and it can take a longer time. Sometimes  
13 eight weeks. But usually, in four weeks, we know exactly what  
14 is going to happen, yes.

15 **Q.** Okay. And when he moved to the residential treatment  
16 phase, it was expected that he might be there about eight weeks?

17 **A.** Usually, it's eight weeks. This is something ...  
18 almost all of the time, it's eight weeks, sometimes a little  
19 more but rarely less, yes.

20 **Q.** Okay. So I think that we heard from some witnesses  
21 earlier who were under the impression he was expected to be at  
22 your facility for six months. Would that be out of the

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 ordinary?

2       **A.** Oh no. No, this is not the case. Never ... never  
3 per- ... I've never seen someone staying six months, but I've  
4 seen persons coming and have a treatment, hospitalization, and  
5 then go back home, and then a year later, coming back from three  
6 more months. This can happen, but six months, I never saw that  
7 in 20 years.

8       **Q.** Okay, thank you.

9       Earlier, you were asked whether Mr. Desmond had insight  
10 into his condition and you talked about a point where he said, I  
11 think I have PTSD. But right after that ...

12       **A.** Mm-hmm.

13       **Q.** ... I think you indicated that he connected that  
14 possibly to a head trauma or concussions.

15       **A.** Mmm.

16       **Q.** Was that how he framed it? Was he thinking of it in  
17 terms of, I had head trauma that caused my PTSD?

18       **A.** Well, for what I could see, I saw that he was  
19 hesitating saying he had PTSD, but when he came to the ward and  
20 he discussed with other patients about PTSD, they talked  
21 together and everybody has PTSD. So maybe he realized that he  
22 might have PTSD himself. That's why maybe he said that. And

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 ... but the insight was really low, you know. For example, he  
2 said that he had the head concussions. He was complaining about  
3 pain and he wanted to undergo treatment for attention and  
4 concentration, you know. The treatment is called ... he was  
5 calling about that. Neuroperforma- ... neurofeedback. And  
6 neurofeedback is made effectively for person who have cognitive  
7 impairment. But in his case, it's him who said that. It was a  
8 surprise because he didn't want to seek treatment for PTSD with  
9 medication, but he wanted to undergo treatment for neurofeedback  
10 for neurocognitive problems. That's why we asked for those  
11 tests, and those tests, they are slow to arrive. You know, a  
12 neuropsychologist, if you want to take an appointment with a  
13 neuropsychologist, it takes ... sometimes it can take two or  
14 three months. It's long. And in regions, it's not available  
15 easily.

16 So with those tests, the result of those tests would have  
17 told us, was it okay? This treatment or this treatment? What  
18 was the cause of the cognitive problems? This was the first  
19 step, but him, he was over there with his demons, okay? It was  
20 too soon. And that's why I said he didn't have so much an  
21 insight about the ... he didn't know exactly what was going on  
22 in himself and he was doubting PTSD. He preferred to have

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 concussions and treatment for that. So it was difficult to have  
2 his trust, you know.

3 Q. Dr. Ouellette ...

4 A. If you ...

5 Q. Go ahead.

6 A. If you go to see someone who is a specialist, for  
7 example, in neurology, and he tells you you have this and that  
8 and we will do this and that, you have to ask then this person  
9 because you know he studied in that. But Mr. Desmond, if you  
10 told him, You have PTSD and you have depression and, yeah, he  
11 was not sure. He would be saying, No, I had concussions and  
12 this I should do. It was difficult to obtain trust from him and  
13 insight.

14 **(17:20)**

15 Q. Is it possible that ... you know, this goes back to  
16 what you said before about military members not seeking  
17 treatment because it's a sign of weakness. I wonder, is it  
18 possible that it might be more palatable to a military member to  
19 think that their troubles are due to some organic or physical  
20 trauma like a head trauma as opposed to a mental illness?

21 A. Yes, absolutely. And they feel less shame saying that  
22 it's something physical than saying that it's something

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 psychological.

2       **Q.** And so it was Mr. Desmond himself who raised the idea  
3 of the neurofeedback. And so are you saying that it was because  
4 of his ideas about his cognitive deficits that the  
5 recommendations were made for the neuropsychological assessments  
6 and whatnot?

7       **A.** Yes. We wanted to know exactly what was causing the  
8 neurocogni- ... the cognitive problems of Mr. Desmond, and the  
9 evaluation was very important for us to be able to send him to  
10 the right place to be treated. But, you know, depression can  
11 cause lack of concentration and attention and affect the memory.  
12 PTSD can affect the concentration, and the anxiety with PTSD can  
13 affect memory too. And drugs too. Cannabis can affect the  
14 concentration and ability ... those things ...

15       So there were so many things affecting, possibly, the  
16 cognitive aspects of Mr. Desmond that ... and the concussions,  
17 too, could have done that. So it was important to assess all  
18 those aspects.

19       **Q.** Okay, because in previous evidence from other  
20 witnesses, it doesn't appear that Mr. Desmond ever reported any  
21 head trauma and there's no record of him being treated for such  
22 head trauma.

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1           **A.**    Yes.

2           **Q.**    And the first that we heard of the head trauma was  
3 from Dr. Murgatroyd and Dr. Njoku at the OSI clinic in New  
4 Brunswick.

5           **A.**    Yes.

6           **Q.**    So apparently, he reported no history of head trauma  
7 or concussions to, say, Dr. Joshi and Dr. Rogers at the OTSSC.

8           **A.**    Yeah.

9           **Q.**    And so I'm thinking, I mean you said earlier that the  
10 neuropsychological assessment could rule out any physical causes  
11 for his cognitive deficits and that it was important to do so  
12 but ...

13          **A.**    Yes.

14          **Q.**    So as you've said, any cognitive impairments could  
15 very well have just been from his PTSD or depression.

16          **A.**    Yes indeed. And also, I saw that Dr. Isabelle Gagnon  
17 did a lot of tests with him to rule out this and I think that he  
18 could not have done ... Well, you see, I know that a military  
19 man or woman in mission, when they have concussions or are hurt,  
20 there are hospitals and doctors to see them. If it could have  
21 been something really bad, they would have ... do something for  
22 that. Radiography or ... No. And he went on working from 2007

**DR. ROBERT OUELLETTE, Cross-Examination by Ms. Ward**

1 to 2015 in the army, so if it was something very severe, he  
2 would not have been able to work with that.

3 Q. Thank you, Dr. Ouellette, those are my questions.

4 A. This is my opinion. Okay.

5 Q. Thank you.

6 **THE COURT:** Mr. MacKenzie?

7 **MR. MACKENZIE:** No questions, Your Honour.

8 **THE COURT:** Thank you. Ms. MacGregor?

9 **MS. MACGREGOR:** Yes, Your Honour, just a few.

10

11

**CROSS-EXAMINATION BY MS. MACGREGOR**

12 (17:25)

13 **MS. MACGREGOR:** Good afternoon, Dr. Ouellette.

14 A. Good afternoon.

15 Q. I only have a few questions for you, so we won't keep  
16 you very much longer.

17 Dr. Ouellette, we've heard a lot today about the meaning of  
18 stabilization and you've been asked questions about, you know,  
19 what percentage Mr. Desmond had stabilized before moving on to  
20 the residential phase. And I just want to confirm, when we talk  
21 about stabilization, we're not talking about, you know, being  
22 fully treated at that point, are you.

**MR. ROBERT OUELLETTE, Cross-Examination by Ms. MacGregor**

1           **A.**    No, absolutely not. Nobody that comes to us are fully  
2 stabilized. It would be ... we would be really good doing that,  
3 but no. Partially stabilized and then able to go on with the  
4 treatment in residential. We assume that if they can do that,  
5 if they are stabilized, partially, they can do the residential  
6 program and then they will go on stabilizing.

7           **Q.**    And so even though you had expressed some concerns  
8 early on in the program, by the time that he ultimately moved on  
9 to the residential phase, you felt he had sufficient  
10 stabilization to move on to that phase of the program.

11          **A.**    Yes.

12          **Q.**    And I think your evidence ...

13          **A.**    Yes.

14          **Q.**    ... was because he had made improvements in sleep,  
15 decreased anxiety, his depression had decreased as well,  
16 improvement in nightmares. Is that right?

17          **A.**    That's right. And it was a surprise that the  
18 depression would go so fast with him, you see, because when he  
19 arrived, he had no interest in nothing, and then suddenly began  
20 going bicycling, he began to play the guitar, he began to eat  
21 well, to have friends, to play pool. So he was really better on  
22 the subject of depression, yes.

**MR. ROBERT OUELLETTE, Cross-Examination by Ms. MacGregor**

1           **Q.**    And as part of your ongoing assessments throughout the  
2 stabilization phase, you did assessments to, I guess, assess his  
3 level of suicidal or homicidal ideation and you didn't find any  
4 indication of either of those, did you.

5           **A.**    No.

6           **Q.**    Thank you.

7           **A.**    I didn't find problems with that, no.

8           **Q.**    Thank you.

9           **A.**    And you can understand, excuse me ...

10          **Q.**    It's okay. Go ahead.

11          **A.**    ... but every day, the nurses in the programs,  
12 stabilization or residential, every day they inquire the  
13 patients about are they ... they're ... are they suicidal or  
14 homicidal. This is all the time. There's a screening for that,  
15 yes.

16          **Q.**    Okay. Thank you, Dr. Ouellette, those are my  
17 questions.

18          **A.**    And nothing happens. Thank you very much. Thank you.  
19 It's been a long day.

20          **THE COURT:**    Dr. Ouellette, I canvassed the room. No one  
21 has any additional questions for you. I can tell you that  
22 unless ... I'm sorry I ... you know, I occasionally speak out of

**MR. ROBERT OUELLETTE, Cross-Examination by Ms. MacGregor**

1 order here because I see when I made that comment, that Mr.  
2 Russell started to stir in his seat in a very uncomfortable way,  
3 which suggests to me that he may have a follow-up question for  
4 you. Mr. Russell, did I interpret your body language correctly?

5 **MR. RUSSELL:** You did, Your Honour, and I certainly won't  
6 push my luck, given the hour.

7 **THE COURT:** No. If you have a question, we have Dr.  
8 Ouellette. He's been very patient and I'll give you an  
9 opportunity to ask your final questions. Thank you.

10 **A.** Okay.

11

12

**RE-DIRECT EXAMINATION**

13 **(17:30)**

14 **MR. RUSSELL:** Yes, Doctor, and I apologize. It's late in  
15 the day, so I'll be very brief. I just have ...

16 **A.** That's okay.

17 **Q.** ... a point of sort of clarification, just so I get  
18 your evidence right.

19 So as we understand your testimony today, you had evidence  
20 and were of the opinion that Lionel Desmond was paranoid,  
21 distrusting, and a jealous husband at times.

22 **(17:30)**

**DR. ROBERT OUELLETTE, Re-Direct Examination**

1           **A.**    Yes.  The word "paranoid" ... I would say Lionel  
2 Desmond had paranoid traits.  Being paranoid means other things,  
3 but he had paranoid traits and jealous at some point.  And the  
4 second part of your affirmation, I don't remember.

5           **Q.**    Distrusting.

6           **A.**    This ... yes, this is right.

7           **Q.**    So my question is this.  Am I understanding your  
8 evidence properly that his diagnosis of PTSD, although it  
9 certainly didn't help, it didn't cause him to be paranoid  
10 traits, distrusting, or a jealous husband?

11          **A.**    No, not the diagnosis of PTSD, but this diagnosis of  
12 PTSD might have exacerbated his trait of personality.

13          **Q.**    Okay.

14          **A.**    Every ...

15          **Q.**    Nothing further, Your Honour, I just wanted to clarify  
16 it.

17          **THE COURT:**        So before I thank the Doctor, anyone else  
18 have a question they've forgotten?  Pass?  No.  All right.

19          Dr. Ouellette, I know that you have spent time with counsel  
20 preparing for your evidence today and I know it's taken a lot of  
21 time, and I know that you appreciate how important it is for us  
22 to have good insight into your time with Cpl. Desmond in his

**DR. ROBERT OUELLETTE, Re-Direct Examination**

1 time at Ste. Anne's. It really allows us to have, I think, a  
2 better and a fuller appreciation for some of the complexities  
3 that were presented to you and how Cpl. Desmond presented there  
4 as well. Again, your information has been very valuable to  
5 us and I would like to thank you for your time, as I think all  
6 the others would as well. So thank you again, Dr. Ouellette.  
7 Have a good day. Stay safe. Thank you.

8 **A.** Thank you very much, Your Honour, and have a good day  
9 too. And I want to tell you that I consider this Inquiry to be  
10 a part of our work to understand what happens to the patients  
11 that we see and to help others understand too what might have  
12 happened. And we're sorry it happened. Very sorry.

13 **THE COURT:** All right. Thank you very much, Dr.  
14 Ouellette. I don't see that we'll have a particular need to  
15 come back and ask you any further questions, but there's always  
16 that possibility we may speak to you again. Thank you.

17 **A.** Thank you very much.

18 **WITNESS WITHDREW (17:34 HRS)**


19 **Q.** All right, thank you. We'll adjourn for the day,  
20 Counsel, thank you.

21

22 **COURT CLOSED (17:34 HRS)**

**CERTIFICATE OF COURT TRANSCRIBER**

I, Margaret Livingstone, Court Transcriber, hereby certify that the foregoing is a true and accurate transcript of the evidence given in this matter, **re Desmond Fatality Inquiry**, taken by way of electronic digital recording.



---

Margaret Livingstone

(Registration No. 2006-16)

Verbatim Inc.

**DARTMOUTH, NOVA SCOTIA**

**March 20, 2021**